



Planet Depos®
We Make It *Happen™*

Transcript of Jonathan DeRight, Ph.D.

Date: June 17, 2025

Case: Complaint Against Circuit Judge Pauline Newman, In Re:

Planet Depos

Phone: 888.433.3767 | **Email:** transcripts@planetdepos.com

www.planetdepos.com

Michigan #8598 | Nevada #089F | New Mexico #566

WORLDWIDE COURT REPORTING & LITIGATION TECHNOLOGY

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

BEFORE THE JUDICIAL COUNCIL
OF THE UNITED STATES COURT OF APPEALS
FOR THE FEDERAL CIRCUIT

- - - - - x
IN RE: :
COMPLAINT NO. 23-90015 :
- - - - - x

Deposition of JONATHAN DeRIGHT, PH.D.
Washington, D.C.
Tuesday, June 17, 2025
9:01 a.m.

Job No.: 584255
Pages: 1 - 179
Reported By: Karen Young

1 Deposition of JONATHAN DeRIGHT, PH.D., held at
2 the offices of:

3
4
5 TORRIDON LAW PLLC
6 801 17th Street, Northwest
7 Suite 1100
8 Washington, D.C. 20006
9 (202) 249-6900
10
11
12

13 Pursuant to notice, before Karen Young, Notary
14 Public in and for the District of Columbia.
15
16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A P P E A R A N C E S
ON BEHALF OF THE JUDICIAL COUNCIL OF THE
FEDERAL CIRCUIT:

PATRICK F. PHILBIN, ESQUIRE
CHASE HARRINGTON, ESQUIRE
TORRIDON LAW PLLC
801 17th Street, Northwest
Suite 1100
Washington, D.C. 20006
(202) 249-6900

ON BEHALF OF JUDGE PAULINE NEWMAN:

JOHN J. VECCHIONE, ESQUIRE
GREGORY DOLIN, ESQUIRE
NEW CIVIL LIBERTIES ALLIANCE
4250 North Fairfax Drive, Suite 300
Arlington, Virginia 22203
(202) 869-5210

ALSO PRESENT:

Maeve Zhou, New Civil Liberties Alliance

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

EXAMINATION OF JONATHAN DeRIGHT, PH.D.	PAGE
By Mr. Vecchione	7
By Mr. Philbin	132
By Mr. Vecchione	165
By Mr. Philbin	173
By Mr. Vecchione	176

1	E X H I B I T S		
2	(Attached to Transcript)		
3	Exhibit 1	Report of Jonathan DeRight,	17
4		Ph.D., 1/27/25	
5	Exhibit 2	Supplement to Report of	19
6		Jonathan DeRight, Ph.D., 4/11/25	
7	Exhibit 3	Report of Aaron G. Filler,	20
8		M.D., Ph.D., 9/17/24	
9	Exhibit 4	Rebuttal Report of Aaron G.	20
10		Filler, M.D., Ph.D., 3/23/25	
11	Exhibit 5	Progress Note from Dr.	99
12		██████████, 12/11/23	
13	Exhibit 6	Medical Record from ██████████	
14		████████████████████, 7/11/24	
15	Exhibit 7	Summarization of Episode	105
16		Note, 3/13/24	
17	Exhibit 8	Office/Clinic Notes from	107
18		MedStar Georgetown University Hospital,	
19		1/30/24	
20	Exhibit 9	Records from ██████████	112
21		Hospital, 4/19/23	
22	Exhibit 10	List of Medical Encounters	109

1	Exhibit 11	AAPL Practice Guidelines for	118
2		the Forensic Evaluation of Psychiatric	
3		Disability	
4	Exhibit 12	Excerpt of Deposition of Ted	134
5		Rothstein, M.D., Pages 78-82	
6	Exhibit 13	Excerpt of Deposition of Ted	137
7		Rothstein, M.D., Pages 23, 41-43	
8	Exhibit 14	Excerpt of Deposition of Ted	138
9		Rothstein, M.D., Pages 95-96, 111-112	
10	Exhibit 15	Excerpt of Deposition of	142
11		Regina Carney, Pages 149-152,	
12		154-155, 272-275	
13	Exhibit 16	Medical Records,	144
14		CARNEY_000001 - CARNEY_000066	
15	Exhibit 17	List of Medical Encounters	146
16	Exhibit 18	Medical Records from PMA	149
17		Health, 4/17/24	
18	Exhibit 19	Excerpt of Deposition of	151
19		Regina Carney, M.D., Pages 41-48	
20	Exhibit 20	Hearing Transcript, 4/12/23	155
21	Exhibit 21	Affidavit of [REDACTED]	163
22			

1 P R O C E E D I N G S

2 (Deposition Exhibit Numbers 1 through 4
3 were marked for identification.)

4 JONATHAN DeRIGHT, PH.D.,

5 having been duly sworn, testified as follows:

6 EXAMINATION BY COUNSEL FOR JUDGE PAULINE NEWMAN

7 BY MR. VECCHIONE:

8 Q Can you state your full name for the
9 record please?

10 A Yes, it's Jonathan DeRight, and last name
11 is D-E-R-I-G-H-T.

12 Q And can you tell us your profession?

13 A I'm a clinical and forensic
14 neuropsychologist.

15 Q All right. Have you been deposed before?

16 A Yes.

17 Q How many times?

18 A Probably about five times.

19 Q Okay. It's still new enough that I'll
20 give you the full set of directions. I'm John
21 Vecchione. I'll be representing Judge Newman, and
22 my instructions and kind of how we're going to do

1 this so that we are all on the same page is you're
2 under oath. You were just sworn in, and so it's
3 as if you were testifying in court, and obviously
4 everything you say has to be the truth, and this
5 will be recorded for future use.

6 Are you taking any medications or
7 experiencing any health issues today that might
8 affect your ability to give accurate testimony.

9 A No.

10 Q All right. If I ask any -- a couple
11 things. First, let's try not to talk over each
12 other because the court reporter has a hard time
13 typing both of what we're saying, so this is the
14 instruction that is hardest to follow. I will try
15 to follow it, and I ask you to as well. I'll try
16 and wait until you're done answering the question
17 before I ask the next one.

18 If you don't understand something I've
19 asked, just ask me to clarify, and because we are
20 doing this on the record, you have to respond
21 orally. You can't nod your head like that, okay?
22 And let's see. Unless you tell me otherwise, I'm

1 going to assume you understand my questions, so do
2 ask for clarifications if you don't.

3 On occasion, your attorney may object to
4 one of my questions. Wait until his objection
5 fades away and then answer the question unless he
6 instructs you not to answer. If you need to take
7 a break, we'll take a break. We don't break in
8 the middle of a question, but certainly in these
9 depositions, we've been pretty easy about taking
10 breaks. And do you have any questions about
11 anything I've said so far?

12 A No.

13 Q Okay, all right. First thing I asked you
14 was whether you'd been deposed before. You said
15 about five times. What was the nature of those
16 cases? Were you -- I'll withdraw that. Were you
17 an expert in each of those cases?

18 A Yes.

19 Q All right, and what was the nature of
20 those cases?

21 A They were all civil cases related to head
22 injury. No, all but one. One of them was a

1 workplace-related suit.

2 Q Okay. Someone had an injury at work, and
3 the question was the amount of injury or something
4 of that nature?

5 A It was a wrongful termination suit.

6 Q Okay, and where were these? In what
7 courts?

8 A The one I was just talking about, the
9 wrongful termination, that was Southern District
10 of New York. The rest have been either in, let's
11 see, federal court in Western District of
12 Virginia, Eastern District of Virginia, and a few
13 local courts, one in North Carolina and I think
14 one in Virginia as well.

15 Q All right, and did any of those go to
16 trial?

17 A Two of them went to trial.

18 Q Two of them went to trial, and which ones
19 were those?

20 A I can give you the names. One was Yvette
21 Norman. That was in the Western District of
22 Virginia, and let's see.

1 Q Versus who?

2 A I can't remember the -- it was a trucking
3 company.

4 Q Okay.

5 A That was a head injury case.

6 Q So were you -- were you testifying on her
7 behalf?

8 A I was on the defense in that case.

9 Q Ah, the trucking company.

10 A Yeah.

11 Q Okay, and the second one?

12 A That would be B.R., it was initials,
13 versus Fairfax County School Board.

14 Q Got it.

15 A And that was in Eastern District of
16 Virginia.

17 Q Was that a kid? Was that why it was B.R.?

18 A Yes.

19 Q All right, and you understand you've been
20 designated as an expert witness in this case on
21 behalf of the Special Committee for Judicial
22 Council in the matter of Judge Newman?

1 A Yes.

2 Q All right, and so you told me about those
3 five times where you were an expert. Have you
4 been retained to be an expert in more cases than
5 those five?

6 A Yes.

7 Q Okay, but you didn't give any testimony
8 either by deposition or -- or trial in the other
9 ones?

10 A I've testified in a lot of trials.

11 Q Oh, really?

12 A Yes.

13 Q Okay, as a fact witness?

14 A Expert witness. Most of them are criminal
15 in nature. That's why there's not depositions.

16 Q Thank you. And -- okay, so for criminal
17 matters, what's the nature of your testimony in
18 those? What are you being asked to do?

19 A Usually it's either for competency to
20 stand trial, sanity at the time of the offense or
21 mitigation.

22 Q Got it. For the prosecution or the

1 defense?

2 A Almost always for the defense. Sometimes
3 I'm an independent evaluator where a -- a judge or
4 the State will ask me to evaluate someone, but
5 most of the time it's on the defense.

6 Q Okay. Has any court excluded your
7 testimony?

8 A No.

9 Q All right. Are you charging for your time
10 for the deposition today?

11 A Yes.

12 Q And at what rate?

13 A 500 an hour.

14 Q All right, and is that the same rate
15 you've charged the Special Committee?

16 A Yes.

17 Q What did you do to prepare for today's
18 deposition?

19 A I read my report, my supplement to my
20 report, I reviewed the medical records given to
21 Dr. Filler, medical records given to Dr. Carney.
22 I reviewed some parts of deposition transcripts

1 from Dr. Carney and Dr. Rothstein, and some of the
2 articles that were in my report.

3 Q All right. For the medical records, were
4 those the same records or group of the same
5 records that you described in your supplemental
6 report?

7 A Yes, sir.

8 Q Okay, and in the depositions of
9 Dr. Rothstein and Dr. Carney, is there anything
10 about their depositions that changed your opinion
11 in any manner?

12 A No.

13 Q Okay. Did you -- did you come to any
14 conclusions about their testimony when you read
15 it?

16 A Think about that. Overall, no. It added
17 more information to my previous conclusions.

18 Q Okay, I may ask more about that as we go
19 along, but I wanted to see off the top of your
20 head. All right, and besides counsel here, was
21 there anyone else helping you with your
22 preparation?

1 A No.

2 Q All right. Did you review any of the
3 reports, again, Filler or Carney or Rothstein?

4 A No.

5 Q All right. When were you first contacted
6 about working on this case?

7 A In April 2023.

8 Q How and by whom?

9 A A psychologist named Michael Gendel called
10 me by phone.

11 Q Was that -- and said what?

12 A He -- he told me there was a need for a
13 fitness for duty evaluation for a federal judge,
14 and he asked for my availability.

15 Q Okay, and what happened subsequent to
16 that?

17 A I gave him my availability. I believe I
18 held a few dates on my calendar, and eventually a
19 long time passed and he said we'll reach out to
20 you if we end up scheduling anything.

21 Q All right, and they eventually did?

22 A A long time went by. I think I was next

1 contacted about the case by Mr. Philbin in October
2 of 2024.

3 Q Okay. Have you ever been the subject of a
4 Daubert hearing or a Frye hearing they're
5 sometimes called as far as testing your
6 credentials or opinions?

7 A Yes.

8 Q Okay. Where was that?

9 A That was in the District of Maryland
10 federal court.

11 Q Criminal or civil?

12 A Criminal.

13 Q And do you remember that case?

14 A It was United States V Donnie,
15 D-O-N-N-I-E, Amis, A-M-I-S.

16 Q How long ago was that? Do you know?
17 Within the last five years?

18 A Yes.

19 Q Have you ever been a defendant in a
20 medical malpractice case?

21 A No.

22 Q Ever been sued in general?

1 A No.

2 Q I take it not charged with a crime?

3 A Correct.

4 Q All right. Now, we'll be discussing
5 cognitive impairment today, and I take it in most
6 of these cases you've testified in, were they
7 about cognitive impairment or something else?

8 A Most of the time when I'm evaluating
9 someone, it has to do with some kind of cognitive
10 impairment. Sometimes it's just psychiatric and
11 -- and not cognitive, but it's almost always one
12 of those two.

13 Q All right. We have marked as Exhibit 1
14 your report in this matter. Do you recognize
15 that?

16 A Yes.

17 Q All right. In the civil matters you
18 discussed, the five times before in the civil
19 matters, did you prepare a report in each of
20 those?

21 A I believe so.

22 Q Okay. How about the criminal matters?

1 A No, not all the criminal matters.

2 Q All right, and other than the five, have
3 you prepared reports other than the five trials we
4 talked about?

5 A Yes.

6 Q Okay, and how many times have you done
7 that?

8 A Total forensic reports, probably over 500.

9 Q All right. When you were reviewing
10 matters, you've told me what you reviewed. Did
11 you review anything that's not in your report or
12 your supplement besides the depositions you told
13 me about?

14 A Yes, there was one article I reviewed
15 about fitness for duty evaluations that was not in
16 my report.

17 Q And the name of that?

18 A I can find you the exact name, but it's
19 the AAPL, we call it "apple," guidelines.

20 Q And they are?

21 A The -- gosh, what's their term? American
22 Academy of Psychology and the Law.

1 Q All right, and that was provided to you by
2 the attorneys?

3 A No.

4 Q Why did you look at it?

5 A I was looking for a good way to explain
6 what a fitness for duty evaluation was, and I
7 wanted to check some additional sources as well as
8 the ones that -- that I put in my report.

9 Q All right. When we take a break, we'll
10 get the actual name of it.

11 A Sure, happy to provide that.

12 Q All right, we've talked about the
13 depositions. Did you review anything else
14 subsequent to submitting your second report that
15 bears on your opinion that we haven't discussed?

16 A That we haven't discussed, no.

17 Q All right. And I'll just put -- in case
18 you need to refer to this, I have marked your
19 rebuttal report as DeRight 2, and do you recognize
20 that document?

21 A Yes.

22 Q All right. And that's your signature at

1 the back of both of them, both Exhibit 1 and 2?

2 A Yes.

3 Q And just for completeness, I've marked the
4 Filler report without attachments as Exhibit 3,
5 and do you recognize that?

6 A Yes.

7 Q All right, if you need to refer to it for
8 any reason. And finally, marked as 4 Dr. Filler's
9 rebuttal, and do you recognize that?

10 A Yes.

11 Q Okay. So you've put -- you've stated your
12 opinions about those two reports in your --
13 DeRight original Exhibit 1 and Exhibit 2, correct?

14 A I don't recall whether I -- I wrote about
15 Dr. Filler's response.

16 Q Have you seen it?

17 A Yes.

18 Q Okay. When did you see it?

19 A I -- I don't think I saw it until after my
20 supplement. I could be wrong on that.

21 Q Okay. Did anything in it change either
22 the -- any of the opinions you have in either your

1 first or second report?

2 A No.

3 Q All right, let's look at Exhibit 1. All
4 right, and this is dated January 27th, 2025?

5 A Correct.

6 Q And you have a summary of your expert
7 qualifications on page 2.

8 A Yes.

9 Q All right, and so you're not a medical
10 doctor in this matter.

11 A Correct.

12 Q So let's turn to page 2, which summarizes
13 your qualifications, if you need to refer to it
14 for my questions. What is a clinical psychologist
15 as opposed to some other kind of psychologist?

16 A A clinical psychologist is the type of
17 psychologist that does either treatment or
18 assessment of different kind of psychological or
19 psychiatric disorders.

20 Q Okay, what is clinical neuropsychology?

21 A Clinical neuropsychologist is a specialist
22 in clinical psychology. Typically the

1 neuropsychologist is going to have to do
2 additional educational training and a postdoctoral
3 fellowship or residency related to that specialty.

4 Q All right, but you're not a neurologist or
5 a neurosurgeon.

6 A Correct.

7 Q As part of your -- when you're not
8 testifying, what's your -- what's your regular
9 duties, your day job, if you will?

10 A I'm a clinical neuropsychologist.

11 Q Okay, and what does -- what do you do day
12 to day? What type of things -- what do people
13 come to you for?

14 A In my clinic, people are referred by their
15 neurologist, psychiatrist, primary care physician,
16 sometimes self-referred, usually with some kind of
17 thinking problem. Sometimes it's only related to
18 a mental health problem, but -- but that's more
19 rare, and my job is to talk to them, give them
20 tests, usually write a report and get them
21 feedback about it.

22 Q Okay. Do you -- do you conduct and

1 evaluate Montreal assessment tests?

2 A You're referring to the MoCA?

3 Q I am.

4 A Yes, I typically do not use that unless
5 there is a record where someone else has used it,
6 and then I will give it to be able to compare the
7 score, but it's typically something that's much
8 less comprehensive than I'll be doing in my
9 evaluation.

10 Q All right, so -- all right, and how about
11 the 3-MS test, the Modified Mini-Mental
12 Examination test?

13 A Same answer with that one. I would pretty
14 much only give it if someone else had already
15 given it.

16 Q All right, and do you often work with
17 neurologists?

18 A What do you mean by work with?

19 Q In other words, do you consult with them,
20 do they send people to you, do you send people to
21 them? How's that work? What's your relationship
22 to them between your -- your expertise and theirs?

1 A Usually they're sending people to me.
2 Sometimes I will be sending them to them, but
3 usually they're sending to me.

4 Q Okay, and so we talked a little about the
5 MoCA and the 3-MS test. Would the normal course
6 within a neurologist or someone like that examines
7 someone, maybe gives one of those tests and
8 decides that they need further testing and sending
9 them to you?

10 A Yes.

11 Q All right, and in either your original
12 opinion or your second opinion, is it fair to say
13 you've set forth no opinion within a reasonable
14 degree of medical certainty as to the cognitive
15 fitness of Judge Newman for judicial duties?

16 A That's correct.

17 Q And both reports mainly are critiques of
18 the examinations and opinions of Dr. Filler, and
19 to a lesser extent, Dr. Rothstein and Carney?

20 A Yes.

21 Q What would you need to do to provide an
22 opinion on Judge Newman's fitness for duty?

1 A I would need to conduct a fitness for duty
2 evaluation.

3 Q What test would be apply in doing that?

4 A I wouldn't know the exact tests until I
5 see her, but they would be comprehensive, much
6 more comprehensive than a cognitive screening
7 measure. I would be looking for evidence of
8 significant decline from previous abilities. I
9 would look at memory, language, problem-solving,
10 executive functioning, and I would compare that to
11 her job duties and information from other
12 collateral sources to have a determination about
13 whether she had a condition that was affecting the
14 essential functions of her job.

15 Q Is there a range of tests you'd give? You
16 say you don't know which ones, but what -- what's
17 the menu?

18 A To give you an example of the types of
19 tests I would give, one would be the WAIS-IV or
20 WAIS-V, which is a measure of IQ, and the reason I
21 give that is because we have a good way of testing
22 someone's baseline abilities. There's a test

1 called the test of premorbid function that's a
2 psychometrically valid test that can -- it's made
3 by the same test maker as the WAIS, and it can
4 give us a direct comparison about whether someone
5 has had significant decline overall.

6 In addition, I would give something like
7 the California Verbal Learning Test, which is a
8 test where someone is read a long list of words,
9 and they have to remember it later. I'd also give
10 tests of story memory and visual memory as well as
11 tests of language that includes something called
12 confrontation naming, where you're shown a picture
13 or described something and you have to come up
14 with a word, verbal fluency, where you have to
15 think of as many words from a certain letter or
16 category as you can. I would look for
17 visuospatial problems, tests of decision-making
18 like the Wisconsin Card Sorting Test, for example,
19 and processing speed.

20 Q And what's the last one, processing speed?

21 A That's thinking speed essentially.

22 Q Okay. Now, in these tests, are you

1 comparing -- well, withdrawn. I take it from your
2 testimony that you're comparing, when you do this
3 type of test on Judge Newman or anyone else to
4 their present abilities to some past ability?

5 A On the one test I was trying, the test of
6 premorbid function, that gives me a direct
7 comparison for current abilities versus previous
8 abilities, but unless there's previous
9 neuropsychological testing, I can't say
10 necessarily whether there's been decline.
11 However, sometimes we will use logic to say okay,
12 this person was really high functioning. Now they
13 can't remember the name of a hammer, that's
14 probably a significant decline.

15 Q Okay. So -- but you don't test the
16 individual against some other individual. Like
17 you couldn't test Judge Newman against Judge
18 Moore. That would not be something you'd be
19 doing.

20 A No.

21 Q You're testing her against some previous
22 level. What is the level you're looking at, is --

1 was what the question is.

2 A Sure, and in fitness for duty terms, we
3 often call that a threshold level, but usually
4 when we give a standardized test, we're comparing
5 it to a group of people who have been administered
6 that same test in the same exact way, so it's very
7 important in the standardized test that the test
8 is administered in the same way every time using
9 the same rules. That's so we can compare apples
10 to apples.

11 Usually it's at least adjusted for age,
12 often for age, sex and education. In unique
13 cases, such as pilots, for example, they don't
14 just adjust for age because you wouldn't feel very
15 comfortable saying don't worry, everyone, we have
16 the finest 100-year-old pilot we could find,
17 right? So we want to make sure that the person is
18 meeting a -- a certain threshold in that case for
19 the FAA.

20 So in -- in this case, it wouldn't
21 necessarily be a comparison to other 97-year-olds.
22 That would be one thing I do, but it would also be

1 a comparison to a -- some other known group that I
2 would be able to find.

3 Q All right, so you talked about apples to
4 apples. Are these objective tests?

5 A The ones I was describing?

6 Q Yeah.

7 A Yes.

8 Q So if they're performed properly, every
9 clinical psychologist performing this test would
10 come to the same conclusion?

11 A No.

12 Q Why not?

13 A Well, if they're performed correctly,
14 every clinical psychologist would get the same
15 score, but whether they come to the same
16 conclusion, that's where clinical judgment comes
17 in. So if you compare it to an MRI, for example,
18 assuming that the two machines are functioning
19 properly, they should give the same scan, but the
20 person interpreting the scan may come up with
21 different conclusions.

22 Q All right. So what score or objective

1 measure would cause you to declare Judge Newman
2 fit for duty?

3 A If she did not show evidence of
4 significant decline from her baseline abilities
5 and otherwise did not show reliable and valid
6 cognitive test scores that indicated that she
7 could not perform the essential functions of her
8 position.

9 Q All right. Well, and what are those?

10 A The essential functions of her position?
11 I would have to see a job description, so that's
12 part of a fitness for duty evaluation, is the
13 employer refers you for the evaluation and gives
14 you a job description, and that's what you have to
15 consult.

16 Q All right, and how do you compare -- this
17 is -- so her job description -- there's other
18 judges in the country, right? There's other
19 federal judges. Does she have to come within some
20 median of that group, the bottom of that group,
21 the top of that group? How do you declare if
22 they're fit for duty?

1 A Well, it would be wonderful if I had a
2 group of federal judges to compare cognitive test
3 scores to. That's never going to be an option, so
4 it's -- it's not that she has to be in the middle.
5 The question is is there a condition that's
6 getting in the way of her essential job functions.
7 I know I keep repeating that, but let me give you
8 an example.

9 Let's say that she can't remember a
10 conversation from five minutes earlier, a story
11 that was read to her. That might be something
12 that could impair her essential job functions. It
13 also might not. Maybe there's something in her
14 job description that -- that says that there is a
15 legal assistant or something that -- that is -- is
16 helping. There's a lot of different things that
17 could happen, and part of the evaluation is not
18 necessarily just can she work or not. It's is
19 there a significant limitation or accommodation
20 that needs to be made.

21 Q All right. If you could turn to page 4 of
22 your opinion -- first opinion, Exhibit 1, and

1 there you have a summary of your views of Filler's
2 reports and opinions, right?

3 A That's my summary of his opinions.

4 Q Yes.

5 A Yes.

6 Q And in the last paragraph, you say that
7 after reviewing it -- I'll quote it. "It is my
8 opinion that Dr. Filler's evaluation of Judge
9 Newman did not adhere to standard practices in the
10 field for diagnosing cognitive impairment, and the
11 methods that he did use lacked comprehensiveness
12 and scientific credibility to assess possible
13 cognitive impairment in Judge Newman," correct?

14 A Yes.

15 Q All right. That doesn't mean that he's
16 wrong in his -- in his judgment. It's just you
17 disagree with his methods. He could be correct.

18 A You're asking if it's my opinion that he
19 could be correct?

20 Q Yeah.

21 A I would disagree with that.

22 Q Where in your opinion do you say that he

1 couldn't be correct?

2 A Well, I think if you don't have
3 scientifically credible methods for your opinion,
4 then the opinion cannot be correct even if it's --
5 well, no, it can't be correct.

6 Q Okay. Why not?

7 A Because you -- you don't have the right
8 methods to get there.

9 Q Well, let's look at that a little bit.
10 Turn the page to page 5. Now, you note in -- on
11 page 5 some contradictions or discrepancies
12 between Dr. Filler's exams and certain things
13 about immediate memory, but then you say, "Despite
14 this contradiction, Dr. Filler opined that Judge
15 Newman," quote, "demonstrated appropriate personal
16 insight." Now, he stated that from his
17 examination of her and speaking to her that day,
18 correct?

19 A I'd have to look.

20 Q All right. It's a consultation report at
21 page 27, so take a look at Dr. Filler's report at
22 page 27.

1 MR. PHILBIN: Which exhibit is this?

2 Three?

3 MR. VECCHIONE: It's Exhibit 3.

4 MR. PHILBIN: And where are we, Counsel?

5 MR. VECCHIONE: He said he'd have to look,
6 and page 27 is where he says that.

7 THE WITNESS: Section 11 under demeanor.

8 Okay, I'm ready to answer.

9 BY MR. VECCHIONE:

10 Q And your answer is?

11 A Yes, that was his evaluation of her at
12 that time.

13 Q All right. All right, so -- and he also
14 said at the same time she was oriented to person,
15 place, time and situation, correct?

16 A Yes.

17 Q What does that mean?

18 A That means she knew who she was, where she
19 was and -- and when she was.

20 Q All right, and that she was being
21 examined.

22 A Yes.

1 Q And then you say and the situation's not
2 nearly the level of scrutiny expected for an
3 evaluation of possible cognitive impairment for a
4 person in a position with significant
5 responsibilities of a federal judge. Dr. Filler
6 didn't find anything wrong after his examination
7 of her in the way she spoke, in the way she
8 presented herself, any of that. If no other signs
9 -- well, I'll withdraw it. We spoke earlier of
10 the fact that neurologists and other doctors refer
11 people to you after they've examined them,
12 correct?

13 A Yes.

14 Q All right. Well, if they examine them and
15 they don't think anything's wrong with them that
16 needs further testing, they don't send them to
17 you, do they?

18 MR. PHILBIN: Objection, form.

19 A Yes.

20 Q So I also -- the other thing about page 5
21 that I want to ask you about, you state often that
22 -- that Dr. Filler relied only on Judge Newman's

1 self-reporting of her various memory and all that,
2 but didn't Dr. Filler review medical records?

3 A Yes.

4 Q And you've seen them.

5 A Yes.

6 Q And we'll discuss those medical records
7 later, but he did review those, correct?

8 A Yes.

9 Q Now -- and he also had argued cases before
10 her earlier in her life, correct?

11 A Yes.

12 Q And so he had at least some familiarity
13 with how she responded in normal situations in the
14 past, correct?

15 A That's what he said, yes.

16 Q All right. Do you have any reason to
17 disagree with that?

18 A I would disagree with it from a -- the
19 perspective of whether it's appropriate or
20 scientifically valid to use that in your opinion,
21 but of course, if he argued cases in front of her,
22 he had experience with her.

1 Q Right, and let's talk about that argument
2 then. One of your opinions is that having prior
3 experience with the person in a professional
4 setting is a detriment to deciding whether or not
5 they've deteriorated some years later?

6 A If you're going to be the evaluator of
7 that, absolutely.

8 Q Why?

9 A It -- it's standard practice in the field
10 because it can impair impartiality and judgment,
11 and there's countless sources about why it's
12 improper for a -- someone who has a previous
13 personal, social or business relationship with
14 someone to be the evaluator.

15 Q We spoke earlier about when cases get
16 referred to you by other neurologists or other
17 professionals. Isn't one of the things we rely on
18 general practitioners to do is when they see a
19 deterioration in a patient they've had for a long
20 time, to send them for further testing to
21 specialists?

22 MR. PHILBIN: Objection, form.

1 A That's one thing that happens.

2 Q And the reason that's one thing that
3 happens is because these doctors have long
4 experience with a person and can have professional
5 judgment when something has gone wrong with them,
6 correct?

7 A They could.

8 Q And at least in that case, medical
9 profession, and even you rely on that medical
10 judgment because of their prior experience with
11 this person.

12 A Yes. I would say that's fairly uncommon.
13 Most of the time people don't have such a
14 longstanding relationship, especially in modern
15 medicine, and even then, they're still going to be
16 given some kind of measure of -- of cognitive
17 screening. I would say -- I would also add that
18 if I had a practitioner saying three years ago I
19 gave them the MoCA and they had a 29, now they
20 have a 25, that is much more important to me than
21 if that same neurologist said I've been seeing
22 this person for 30 years, and they used to be a

1 lot brighter. That -- that holds much less weight
2 in my opinion.

3 Q All right. All right, next, I want to go
4 back to page 5 of your report. You say that
5 Dr. Filler's handwritten notes indicate that Judge
6 Newman denied having changes in face recognition,
7 recalling words to songs and recalling landmarks.
8 However, this was again based on her self-report
9 only, and these type of impairments would not be
10 likely to be prominent in the early course of
11 neurodegenerative disease process, right?

12 A Yes.

13 Q And what is neurodegenerative disease
14 process?

15 A A neurodegenerative disease is a disease
16 that causes the brain to degrade in some way, and
17 it's a progressive disease over time. The most
18 common example is Alzheimer's disease, but there's
19 many other ways.

20 Q And I take it from that that you'd expect
21 that without some intervention or treatment, it
22 would continue to degenerate.

1 A If it indeed was a neurodegenerative
2 disease process, it would continue to degenerate
3 despite treatment, but the time in which it's
4 going to do that is -- is not standardized. It's
5 different for different people.

6 Q All right. Then you say in the same part
7 here, can you read overlearned information, what
8 you say there, that last sentence in that
9 paragraph? And please read it out loud.

10 A "Overlearned information, e.g., knowing
11 landmarks, and behaviors, e.g., engaging in a
12 debate, are much less susceptible to the effects
13 of cognitive decline than is the processing of
14 novel information, and this series of questioning
15 was not an effective way to explore possible
16 cognitive deficits."

17 Q I want to focus here, Doctor, on engaging
18 in debate. How is engaging in debate an
19 overlearned information?

20 A It's overlearned in a federal judge who's
21 been practicing for 40 plus years, it's --
22 overlearned means it's a task that you've been

1 doing over and over and over again and don't
2 really have to think about it.

3 Q On different topics though, correct?

4 A Correct.

5 Q So each debate could be on a different
6 topic, and the person would have to know and
7 recall facts in order to debate, correct?

8 A In that immediate time, I would -- I would
9 have to only remember what you said in the last
10 sentence you said and then say something. It's
11 like saying well, someone could be driving
12 different cars, but we're talking about their
13 ability to drive, not -- not the car.

14 Q Okay. All right, let's go to page 6 of
15 your report, next page, and find it. Oh, okay,
16 the first full paragraph there, can you read the
17 first sentence of that first full paragraph?

18 A "It is well known that individuals with
19 higher levels of education are more likely to be
20 able to mask clinical manifestations of cognitive
21 impairment, potentially leading to faster
22 objective decline once symptoms appear."

1 Q All right. So what is the date on
2 Dr. Filler's examination of Judge Newman?

3 A August 24th, 2024.

4 Q Okay, so if this -- if this sentence is
5 accurate, you would expect Judge Newman to be
6 worse now if she was masking cognitive impairment
7 through higher education.

8 A Possibly.

9 Q But not certainly?

10 A No, my sentence says potentially, but even
11 August 24th, 2024 compared to now in
12 neurodegenerative disease process time is a pretty
13 short amount of time.

14 Q And what is a reasonable time to expect
15 this kind of cognitive decline?

16 A Generally within a few years, but I've
17 seen exceptionally bright people that are able to
18 hang on to their sensible abilities for many
19 years, so it's not a hard and fast rule.

20 Q Okay, and let's see. At the bottom of the
21 boxed page I'll call it, you take issue with the
22 idea that there can be a defense neuropsychology

1 report and a plaintiff neuropsychology report, but
2 there's no defense CT scan and plaintiff CT scan,
3 but isn't that correct?

4 A I took issue with him saying it's --
5 there's a defense neuropsychology report and
6 plaintiff neuropsychology report, but there's --
7 there's not that for imaging, yes, I take issue
8 with that.

9 Q Earlier, I was asking you about --
10 different question. You said that there could be
11 two MRIs, right, and the radiologist looks at it
12 could come to different conclusions about that
13 MRI.

14 A Correct.

15 Q All right. Is that what you mean about
16 the CT scans, but there's not a different CT scan
17 for the defense and the plaintiff's side. They're
18 both looking at it.

19 A There's also not different test scores for
20 the neuropsychologists. It's the opinion based on
21 that data. Whether it's a scan or test scores,
22 it's the same thing.

1 Q All right. Well, in this case, let's take
2 Dr. Rothstein. I take you read his deposition
3 where he -- since Judge Newman's wrist was broken,
4 he asked her to describe the clock hands?

5 A Yes.

6 Q All right, so that's how he provided the
7 test when -- when her physical infirmity didn't
8 allow her to do the written part of it. Wasn't
9 that a valid use of the test?

10 A No.

11 Q Why not?

12 A Dr. Rothstein improperly and invalidly
13 administered the MoCA. The instructions that he
14 gave for the clock is not a standard way to
15 administer the test, and it's not as though there
16 weren't countless other tests available for him to
17 use. So instead of choosing a different test that
18 didn't require her to use her hand, he chose one
19 and modified it with his own instructions. The
20 only usable parts of that test are the parts that
21 were administered in the standard way, but the
22 overall score is not valid.

1 Q So you would agree that Dr. Rothstein has
2 a long experience in this area?

3 A It appears so.

4 Q Okay, and -- and you know his testimony is
5 that in all that experience, nobody who could
6 verbally say where the clock hands were had ever
7 misidentified any other part of that clock test?

8 A I saw him say that, yes.

9 Q Do you have any reason to disagree with
10 that?

11 A Yes, I'm wondering what the scientific
12 studies there are for that because again, he's
13 administering a standardized test in a
14 non-standardized way and trying to make a
15 standardized conclusion from it. It's improper.

16 Q I see, so -- but earlier, you said that
17 different clinical psychologists could use their
18 clinical judgment in coming to a conclusion about
19 a patient, right?

20 A Assuming that the data is valid, yes.

21 Q And let's see. Let's go to -- I want to
22 go to 1.4. It's on page 12. So when you're

1 discussing -- so just in the first page, first
2 full sentence there, you -- you have in the
3 parenthetical neuropsychological testing. Is that
4 the testing you described to me earlier, you went
5 through a series of things you might do for Judge
6 Newman, or is that -- what are you referring to?

7 A Yes, that's what I'm referring to.

8 Q Okay, and then later on down the page, you
9 say that once again, you use neuropsychological
10 testing as recognized as an essential component.
11 Do you see that? It's -- right under section 1.4,
12 the last full sentence.

13 A Yes.

14 Q Same type of testing?

15 A Yes.

16 Q Okay. Now, in the same part, you talk
17 about Alzheimer's. You don't have an opinion that
18 Judge Newman has Alzheimer's.

19 A Correct.

20 Q All right, and in the medical records you
21 reviewed, none of the doctors diagnosed her with
22 Alzheimer's.

1 A Correct.

2 Q All right, and on page 14, you use the
3 examples of the FAA and the OPM regulations?

4 A Yes.

5 Q And you're aware that federal judges
6 aren't subject to FAA or OPM regulations?

7 A Yes.

8 Q And in fact, they serve for life for good
9 behavior?

10 A I'm -- I'm not an expert on that.

11 Q Okay, but if the Constitution says that,
12 you have no reason to disagree with it.

13 A Sure.

14 Q All right, on page 16, here you discuss
15 DSM-5-TR criteria. What is that? Why don't you
16 explain what that is.

17 A So the DSM-5-TR, the TR is text revision,
18 that's a group of criteria we use to diagnose
19 different kinds of mental disorders.

20 Q How is it administered or how do you get
21 one?

22 A It's a book. You can buy it at Barnes &

1 Noble, but it's used by primarily psychologists
2 and psychiatrists to diagnose different
3 conditions.

4 Q All right, and how -- how do you do that
5 for an individual patient?

6 A Well, there's -- it depends on the
7 disorder that you're looking for. So in pretty
8 much any disorder, you're going to be doing an
9 interview with someone, and then depending on the
10 nature of what you're looking for, there may be
11 additional information that needs to be done. For
12 example, in the neurocognitive disorders, which I
13 have on page 16, the criteria talk about
14 administering standardized neuropsychological
15 testing when that is at issue.

16 Q All right, and -- and -- so if you look at
17 table 1.6.1 --

18 A Yes.

19 Q You have evidence of modest, mild NCD, or
20 significant, major NCD, cognitive decline from a
21 previous level of performance in one or more
22 cognitive domains, and then you have the domains

1 there, right?

2 A Yes.

3 Q And then you say, "Concern of the
4 individual or knowledgeable informant or the
5 clinician that there has been a mild NCD or
6 significant, major NCD, decline in cognitive
7 function," correct?

8 A Yes.

9 Q And you need that -- that's usually what
10 you have before someone's sent off to have a
11 DSM-5-TR.

12 A I don't know what you mean by that.

13 Q In other words, why would you go about
14 this? These are the criteria of why you would go
15 about it.

16 A So if someone comes in my office, either
17 they have a concern, their family member has a
18 concern or their clinician has a concern or I have
19 a concern.

20 Q And then the next two, a modest,
21 substantial -- a modest or substantial impairment
22 in cognitive performance, and it says preferably

1 documented by a standard neuropsychological
2 testing, and what is that? Is that the MoCA or is
3 that what you were discussing earlier?

4 A That's not the MoCA. That's going to be
5 -- it's referring to more comprehensive testing
6 that I was referring to earlier.

7 Q When someone's referred to you.

8 A Yes.

9 Q You discussed almost -- earlier in this
10 deposition.

11 A Yes.

12 Q Okay. Well, in this case, Judge Newman,
13 the three physicians who examined her in this case
14 didn't have any of these concerns, at least
15 Filler, Carney and Rothstein, and in the medical
16 records, nobody referred her for these even with
17 all the doctors she saw, correct?

18 MR. PHILBIN: Objection. That misstates
19 the testimony.

20 A Am I answering that?

21 Q Yeah.

22 A I'm sorry, could you ask it again?

1 Q Okay, she's seen a lot of doctors in her
2 medical records. None of them referred her for
3 one of these, right?

4 MR. PHILBIN: Objection. Counsel, you're
5 misrepresenting testimony.

6 BY MR. VECCHIONE:

7 Q All right.

8 A She was referred for a fitness for duty
9 evaluation by a knowledgeable informant.

10 Q Who's that?

11 A Her employer.

12 Q Okay, who is on the other side of the V in
13 this -- in this -- in this litigation, correct?

14 A Well, at that time, there wasn't a case,
15 but -- but yes, and in reviewing some of the
16 affidavits, there are several knowledgeable
17 informants that have concerns about a decline in
18 her function.

19 Q Okay, and all of those reliable informants
20 work for the Judicial Council, right?

21 A I said knowledgeable informant, not
22 reliable.

1 Q Okay.

2 A But yes.

3 Q Let's go to page 17. So section 1.6,
4 "Dr. Filler's opinion about Judge Newman's ability
5 based on his analysis of her activity during his
6 oral arguments in front of her in 2019 and 2022 is
7 subjective and improper. It is not a tested
8 technique, has not been subject to peer review,
9 has no known potential error rate and has no
10 standards control its operation. It does not have
11 widespread acceptance within the relevant
12 scientific community," correct?

13 A Couldn't have said it better myself.

14 Q But you did say it, but you also earlier
15 said that clinicians use logic in their -- in
16 their assessment, right?

17 A It can be and often is a part of the
18 diagnosis, but it can't be a -- a large part of
19 it. It can't be a very significant part of their
20 reasoning.

21 Q And in your earlier testimony, you also
22 said that there's no large body of scientific

1 evidence of exactly how all the federal judges
2 relate in cognitive ability, correct?

3 A Can you tell me more what you mean by
4 that?

5 Q Yes, I asked a question about the judges
6 being like FAA with pilots and OPM, has various
7 standards, and the judges didn't come under any of
8 those, correct?

9 A Yes.

10 Q And then I asked whether or not you're
11 comparing Judge Newman to Judge Moore or the
12 high-level judge or the low-level judge, and I
13 think what you said, and we can go back and check
14 it, but it was something in the nature of I wish
15 we had a database, and we don't have such a
16 database, do we?

17 A Right, it would be great if we had that.
18 We don't. I'll say I've done a lot of fitness for
19 duty evaluations. Many of them have not been
20 about the same job. So essentially each time
21 there's a new job that I'm looking at, and each
22 time I don't need to compare the person to other

1 people of their same job. I need to do an
2 interview and administer tests to see if that
3 individual has deficits that affect their job.

4 Q My point being that given the nature of
5 what there is as far as federal judges go, isn't
6 the experience of actually arguing before a judge
7 and seeing the written opinions reasonable use of
8 what data you have to make a judgment as to
9 fitness?

10 A Pretty much any publication about what a
11 fitness for duty evaluation is would disagree with
12 that.

13 Q All right. And let's go 1.7, right after
14 that, page 17. Once again, you say, "With regard
15 to possible memory problems, Dr. Filler primarily
16 relied on Judge Newman's self-report." Now,
17 again, you say that, but let's take a look at what
18 Dr. Filler relied on. He relied on the medical
19 records he reviewed, correct?

20 A He reviewed medical records, yes.

21 Q Right. He reviewed -- he relied on his
22 interview with her on that day, correct?

1 A Yes.

2 Q And then he relied on the previous
3 experience with her, which you don't -- you don't
4 think that butters any parsnips, right?

5 A Correct.

6 Q So then you have -- and then you have the
7 scans. So you've dismissed some of those, but
8 he's not just relying on Judge Newman's
9 self-report on these things, is he?

10 A With regard to whether or not she has
11 memory problems, which is what the sentence says.

12 MR. VECCHIONE: Okay, all right. It feels
13 like about an hour --

14 MR. PHILBIN: Yeah.

15 MR. VECCHIONE: -- if you want to take a
16 break?

17 THE WITNESS: Sure.

18 MR. VECCHIONE: Is that good?

19 (Recessed at 10:07 a.m.)

20 (Reconvened at 10:20 a.m.)

21 BY MR. VECCHIONE:

22 Q Let's go back to page 7 of your report.

1 So on page 7, you go through some limits of
2 neuroimaging, like the first one says, "A brain
3 abnormality does not necessarily imply
4 dysfunction. Most current data allows only
5 correlation, not causality be inferred," right?

6 A Yes.

7 Q Now, why in this case for fit for duty,
8 why does causation matter? Isn't the question
9 whether she's impaired or not? Isn't that the
10 question of a fitness for duty report?

11 A Well, I would say yeah, neuroimaging
12 doesn't have a particularly good role in -- in
13 this evaluation, but specifically when we're
14 looking at neuroimaging, there could be a lesion
15 that's been there for 30 years, and in that case,
16 that is unlikely to be contributory to the
17 problem, versus something that's new.

18 Q But whether or not she's -- in this case
19 Judge Newman is fit for duty is the question, not
20 what the cause of it is, if there is one.

21 A The question is fit for duty, but it's a
22 little more nuanced than that because let's say

1 she has cognitive impairment due to a B12
2 deficiency, which is a very common reversible
3 cognitive impairment in the elderly. That is a
4 different answer than there's significant signs of
5 a neurodegenerative disease, which is what other
6 testing could show. So that's an important
7 distinction because one of them is essentially
8 fixed by giving someone a vitamin, and the other
9 is unlikely to improve.

10 Q Okay. Let's go back -- let's go back to
11 the DSM-5-TR criteria.

12 MR. PHILBIN: Page 16?

13 BY MR. VECCHIONE:

14 Q Page 16. And you use this in your
15 practice for diagnosis?

16 A Yes.

17 Q Okay, and -- and are you aware of some in
18 your profession who do not give great credence to
19 the DSM-5-R criteria?

20 A Yes.

21 Q Do you know why that is?

22 A It depends on the person. I know where I

1 did my residency at Johns Hopkins, a lot of people
2 did not like or use the DSM a lot, especially in
3 psychiatry. However, a lot of people on the
4 committees from the DSM also came from there, so
5 you know, they -- they contributed to the creation
6 of the criteria. I think some of the problems
7 with the DSM-5, and it's certainly not an
8 infallible document, but some of the problems with
9 it are when it can be used as a checklist, and in
10 that case, it would be being misused, and
11 especially in -- in forensic settings, they even
12 have a cautionary statement in the beginning of
13 the DSM-5 that says be careful using this in
14 forensic contexts.

15 So yeah, I'm aware. It's certainly not
16 without criticism, but the -- my point in
17 including it is that we have standard ways of
18 determining cognitive impairment, and that's not
19 only the DSM-5. In my report I discussed several
20 other what are called consensus criteria where a
21 group of experts in the field get together at a
22 conference and create a list of -- of criteria.

1 That's very analogous to the DSM-5 but a little
2 different.

3 Q All right. What do you mean, forensic,
4 when you -- when you use that term in this
5 context, what are you talking about?

6 A Anything related to courts.

7 Q And I'll just -- with that caveat, I'll
8 just go on to take a look at this. Number 2,
9 section 2 of the 1 point -- 1.6.1 that you've put
10 in here, it says, "After a modest or substantial
11 impairment in cognitive performance," quote,
12 "Preferably documented by standardized
13 neuropsychological testing, or in its absence,
14 another quantified clinical assessment." What do
15 you take that to mean?

16 A That means something like a cognitive
17 screening measure. So you -- it's not saying you
18 can't diagnose someone with mild cognitive
19 impairment by giving them a MoCA, for example, but
20 if you're going to do so in a forensic setting or
21 an official setting like this, you're going to
22 want to have the preferable part of this, which is

1 the documented standardized neuropsychological
2 testing.

3 Q Okay, and we've also been talking today,
4 there's been talk about cognitive decline. Could
5 a person be subject to cognitive decline and still
6 fit for duty?

7 A Yes.

8 Q And is there some measure of where that
9 line is when the decline becomes unfit for duty?

10 A Well, I'll -- it's analogous to the line
11 when it becomes major versus mild cognitive
12 disorder, and that line is that the person has
13 difficulty performing daily tasks by themselves,
14 and so from -- if we translate that into the work
15 domain, it's the person has significant problems
16 doing things that they need to do for their job,
17 whatever that is.

18 Q All right. We discussed section 1.6 of
19 yours, it's on page 17, a little bit earlier, but
20 you have given great credence to the various
21 affidavits in this -- in this matter. If
22 Dr. Filler had not done an analysis and report,

1 but had said I'm a lawyer, I've been before her on
2 all these dates, she seems very sharp, would that
3 be criteria that you'd look at for cognitive
4 decline?

5 A If he was the physician charged with
6 evaluating her, I think that's improper. If he
7 was one of her co-workers or if it was another
8 source of information, so if it was just an
9 affidavit saying I've worked -- I've argued cases
10 in front of her before, she still seems sharp to
11 me and I'm not the evaluator of it but that's it,
12 I would take that into equal account, but it's not
13 a valid way to objectively assess someone from a
14 physician standpoint.

15 Q So Dr. Filler puts on his lawyer hat and
16 he's doing lawyering in front of her. That would
17 be a data point you would use, but your criticism
18 here is that he's the evaluator.

19 A Yeah, and I guess my criticism would be
20 why is that being written as well. I mean, the
21 affidavits of the co-workers make sense because
22 they're people that interact with her every day.

1 If he just volunteered to write an affidavit that
2 -- it's a different process.

3 Q All right, and you didn't see any
4 declarations or affidavits from any of the judges
5 on the Judicial Council, did you?

6 A Not that I recall.

7 Q Seem odd to you?

8 A I don't know what I don't know. It didn't
9 strike me as odd.

10 Q Okay. Would their declarations as to what
11 occurred be helpful to you?

12 A I don't know.

13 Q On page 18, you go about careful history
14 taking, and in the middle, you say, "The lack of
15 awareness of illness is indeed a known symptom of
16 Alzheimer's disease and other dementias and is
17 referred to as anosognosia."

18 A Yes.

19 Q All right, but you don't have an opinion
20 in this case whether Judge Newman has that, do
21 you?

22 A Correct.

1 Q And once again, we come to the various
2 affidavits that were compiled in this case. Do
3 you know how they were compiled, who wrote them?

4 A I don't.

5 Q Does it matter for your analysis who's
6 creating them and how they were created?

7 A I can't rule that out, but nothing comes
8 to mind about why.

9 Q Okay, all right, but you would agree with
10 me that every one of those declarations was put in
11 by someone who works for the Judicial Council or
12 reports to Judge Moore, correct?

13 A That's standard in a fitness for duty
14 evaluation. The way the evaluation works is the
15 employer has a concern based on something that
16 happened, and they send me information. It's
17 usually -- for example, I just had a fitness for
18 duty evaluation yesterday, and I saw the person, I
19 interviewed them, I gave tests, and then I also am
20 asked to speak to her boss, someone at HR and
21 another co-worker, so I'm trying to get multiple
22 sources of information. That's standard.

1 Q All right, and in each of these affidavits
2 though it's standard for the person who can fire
3 or stop the progress in employment of all the
4 people putting in affidavits to gather those
5 affidavits?

6 MR. PHILBIN: Objection.

7 A I can't see another way to collect them.

8 Q Okay, all right, all right. So in this
9 case, there were some affidavits from I.T. people?

10 A Yes.

11 Q All right, and some clerks?

12 A Yes.

13 Q All right. Is it your understanding that
14 Judge Newman appears and interacts with large
15 groups of lawyers at conferences even today?

16 A I have no knowledge of what she does.

17 Q Okay, so you haven't obtained the views of
18 any other attorneys who work with her other than
19 the affidavits in this case.

20 A Correct.

21 Q So other than Dr. Filler, who we've talked
22 about, you haven't seen any statements from

1 lawyers who were before her.

2 A Correct.

3 Q All right, and you -- do you know [REDACTED]
4 [REDACTED] was deposed under oath in this case, her
5 long-term clerk?

6 A I didn't know that.

7 Q And if she had stated that she hadn't seen
8 any changes in cognitive abilities of Judge Newman
9 over the last five years, would that affect your
10 opinion at all?

11 A Possibly. I would take that into account
12 with other information.

13 Q All right. All right, so I think we've
14 gathered -- let's make sure we're clear. You
15 don't know how these affidavits and declarations
16 were gathered.

17 A Other than that they were gathered by the
18 Special Committee, I don't know anything.

19 Q So you don't know the level of pressure
20 that was put on anyone to do this?

21 A No.

22 MR. PHILBIN: Objection.

1 BY MR. VECCHIONE:

2 Q The level of pressure, if any, that was
3 put on anyone?

4 A I don't know that.

5 Q And does it matter to your opinion at all?

6 A I would say no because in the absence of
7 those affidavits, I would still have my -- my same
8 overall conclusion.

9 Q Simply from the medical records?

10 A My -- my conclusion is that there's
11 insufficient -- there -- there has not been a
12 sufficient evaluation done to make the conclusions
13 that the doctors that have made opinions have --
14 have made.

15 Q Okay, all right. And I just want to get
16 to this idea. I'm not saying you've seen any of
17 these or that any exists, but how about people who
18 frequently see the subject in social functions, is
19 their testimony or observations important?

20 A It could be. When I do these evaluations,
21 I typically am not charged with seeking out the
22 information. I'll be given people to contact.

1 Sometimes it is related to social abilities. For
2 example, one of the -- the co-workers that I'm
3 supposed to talk to about my evaluation from
4 yesterday is mainly talking about how the person
5 acts in a social setting.

6 Q Okay. All right. Before you did your
7 report, then let's talk about that, did you ask to
8 review anything in particular when you were doing
9 your report? Did you say I want to say this, this
10 and this, and what were those things?

11 A No, it was not my role to ask for things.
12 It was -- in the beginning, I'll say please give
13 me all, you know, relevant information that you
14 want me to opine on. If I were evaluating her,
15 then I would be in the role of asking for
16 information, because then it's -- it's me saying
17 here's what I need to make my conclusion, but in
18 the situation for this report, it was here is the
19 information, give your opinions on the
20 information.

21 Q So if I can boil that down, your charge
22 here was to see whether these other physicians had

1 performed proper fitness for duty examination, and
2 not to do one yourself?

3 A Well, but that wasn't the specific
4 question. The specific question --

5 Q What was the specific question?

6 A Yeah, it was read these over and let us
7 know if you have an opinion about these
8 evaluations that have been done.

9 Q Okay. Let's go to page 19. At the -- I
10 want to direct your attention to the bottom of the
11 square here where you go through various things
12 that can cause cognitive impairment, if it exists,
13 other than dementia, and I want to go through, and
14 I believe some of these would show up on scans if
15 they were present, and so not depression, not
16 adverse effects of medication, drug or alcohol
17 abuse. Space-occupying lesions, those would show
18 up on scans, or we'd hope that they would.

19 A Almost definitely, yes.

20 Q Normal pressure hydrocephalus?

21 A That would very likely, almost by
22 definition would show up on a scan, yes.

1 Q Okay. Hypertension would be tested in
2 other ways, but it probably wouldn't show up on
3 the scans.

4 A Correct, the cognitive effects of that
5 would not show up on a scan.

6 Q All right, and I'll go -- now, then you go
7 through Dr. Filler stated that Judge Newman was
8 prescribed medications for several conditions, and
9 you go through it all here, has a history of
10 chronic kidney disease, and then you say which are
11 all associated with a high risk for cognitive
12 impairment, and all these are in her medical
13 records as well. We can look through those and
14 see that these things are in there, correct?

15 A Yes.

16 Q And none of the physicians who put
17 together those medical records diagnosed her with
18 cognitive impairment from any of those things.
19 Would you agree with me there?

20 A Someone diagnosed her with memory
21 impairment, but it's unclear where that came from.

22 Q All right, and it resolved.

1 A All I can say is that it eventually was
2 not on her list. I don't know what the nature of
3 it was, how it resolved, who took it off. I just
4 know that eventually, it was taken off the list.

5 Q Okay. Another thing -- well, you're aware
6 that during the time Judge Newman was being
7 accused by the Judicial Council of cognitive
8 impairment, she wrote a dissent that was upheld by
9 the Supreme Court?

10 A I'm not aware of that.

11 Q But from let's say 2022 to 2023, are you
12 aware that she was writing opinions?

13 A Yes.

14 Q And you're not aware that one of those
15 opinions was upheld by the Supreme Court,
16 reversing some of her colleagues?

17 A Well, now I am.

18 Q Does -- does that strike you as a data
19 point for cognitive impairment or the reverse?

20 A When I do fitness for duty evaluations, I
21 don't say show me your latest work product because
22 I don't know what -- what went into that product.

1 I don't know who was helping the person, I don't
2 know whether it was hardly changed from a previous
3 document. I'm not saying that this is what she
4 did in this case, but what I'm saying is what I
5 can do is perform an objective test where I can
6 take her score, compare it to known groups of
7 other people with high levels of education and say
8 here's where these abilities are, and that is a
9 much more scientifically valid way to assess
10 someone's abilities than to say show me a work
11 sample, because there's a lot of sources of error
12 in the work sample that could be there, including
13 who did a lot of the work, who did the writing.
14 Maybe she was the one that -- that had the main
15 ideas but she didn't do a lot of the writing.
16 That would still be important.

17 Q Now, in performing a fitness for duty
18 exam, if you were to do one, how would you create
19 the duties of a federal judge? Where would you
20 get that information? How would you get that
21 assessment?

22 A If -- preferably a standardized document

1 that had a job description saying here is what
2 needs to be done, and then alternatively or in
3 addition, it would be from the employer saying
4 here is the specific things we're having concerns
5 with because the most important parts are what are
6 you having concerns with, and it's not my job to
7 decide if that's a essential function of the job,
8 but I can decide if there's a deficit related to
9 that concern, so I can give that information back
10 to the employer because I don't necessarily decide
11 if someone goes back to work. I give an opinion
12 about whether they have deficits that could get in
13 the way of that, and it's up to the employer to
14 decide what to do with it.

15 Q All right, but in this case -- so you
16 would get the functions -- well, how about this.
17 Have you ever done a fitness for duty assessment
18 of a federal judge before?

19 A A federal judge, no.

20 Q All right. What kind of judge have you
21 done one for?

22 A I don't want to get into the specifics --

1 Q Don't have to say names or anything.

2 A Okay.

3 Q But just generally in this area.

4 A I would say a state-level judge.

5 Q Okay. One?

6 A Two.

7 Q Okay, and where did you gather the duties
8 of those jobs from?

9 A I was given a kind of generic job
10 description, and I supplemented that with the
11 possible concerns that were relating to the
12 referral.

13 Q But were they created by the judiciary or
14 whoever this was?

15 A Possibly. I mean, they were also kind of
16 common sense.

17 Q Okay.

18 A You know, that this person may have had
19 problems remembering what something -- a basic
20 legal thing was during a testimony or something
21 like that.

22 Q Okay. All right, and I'll just ask, on

1 page 22, conclusions from section 1, this is a
2 summary of your conclusions on Dr. Filler's
3 report, correct?

4 A Yes.

5 Q And then you talk about the additional
6 materials, which is primarily -- let's see here.
7 All right, these are the various affidavits that
8 you examined. Did you examine any declaration by
9 a clerk named Horowitz?

10 A Can I check?

11 Q Yes, oh, yes, of course.

12 A I don't see that listed here.

13 Q Okay, all right. And except -- which
14 we'll get to. Except for the medical records that
15 said some memory impairment, are you surprised
16 that Judge Newman's medical records don't include
17 any cognitive impairment of the sort that was
18 compiled by the Judicial Council?

19 A Not necessarily.

20 Q But you sometimes would be.

21 A Well, I'll tell you I've had many cases
22 where someone is referred for a fitness for duty

1 eval, and they have no indications at all from
2 long medical records of having anything, and then
3 they do, and there's other ones where there are
4 indications. So it doesn't really tell me much.

5 Q Okay, but you'd agree with me that Judge
6 Newman saw a lot of doctors over this two- or
7 three-year period.

8 A I'm not sure what a lot means.

9 Q More than four?

10 A Yes.

11 Q All right. And so how would you respond
12 to the criticism that you're relying on the
13 declarations created for litigation as more
14 probative than her medical records?

15 A Well, I wasn't charged with doing an
16 evaluation of her, so in that sense, I don't have
17 control over what information I do and don't have,
18 and I wouldn't say I gave it -- I said it's more
19 probative. One thing about the medical records is
20 you can't find what you're not looking for, so if
21 she's not going to a doctor due to concerns about
22 cognition, no one's going to be looking for it or

1 commenting on it.

2 Q And does Judge Newman strike you as
3 someone who's not gone to doctors?

4 A I don't know Judge Newman.

5 Q But you've seen her medical records.
6 There's a lot of doctor visits, aren't there?

7 A Well, you know, if you -- if you go get
8 one surgery, you're going to end up with 800 pages
9 of medical records.

10 Q Okay.

11 A So I can't charge -- I can't say that just
12 by the number of pages of medical records.

13 Q All right. All right, let's go to
14 Dr. Rothstein, which is at page 24. And once
15 again, you start that -- and your opinion is that
16 Dr. Rothstein's report does not effectively rule
17 out the presence of possible cognitive decline,
18 right?

19 A Yes.

20 Q But shouldn't -- before a federal judge is
21 removed from a lifetime appointment, shouldn't
22 cognitive decline have to be proved rather than

1 she's removed before it's proved?

2 MR. PHILBIN: Objection, outside the scope
3 of his testimony.

4 A I'm not an expert in that. What I would
5 say is that the exact nature of -- of what's
6 happening here shows that she does need more
7 comprehensive testing. I talked about this in my
8 section with Dr. Filler, but if his scans said I'm
9 seeing significant signs of dementia, and
10 therefore, she can't do her job anymore, I would
11 have written essentially the same section saying
12 you can't just give a scan and say she can't do
13 her job. So you can't say she can or can't just
14 by a scan. Similarly, in this situation, you need
15 more of a comprehensive evaluation. If -- if I
16 was told that she was going to be removed from her
17 office just because of a MoCA score, I would say
18 that's improper.

19 Q So the first thing here in this section,
20 page 24, you say -- you described her medical
21 history as relevant for a pacemaker, hypertension,
22 hyperlipidemia and hyperthyroidism. As described

1 above, these conditions can be related to
2 cognitive impairment in their own right, but once
3 again, in the medical records, we don't see any
4 doctors diagnosing her with any decline caused by
5 any of these things.

6 A There was the one mention that we talked
7 about.

8 Q Which we'll get to.

9 A But otherwise, no.

10 Q All right, and we've talked about the MoCA
11 test he -- he administered, and you explained your
12 criticisms of that. As a psychological matter,
13 isn't it more difficult to picture a clock in your
14 head and say where the hands are than to draw it
15 out?

16 A No.

17 Q It isn't. So -- sure is for me. So
18 you're saying that one -- is one more difficult
19 than the other or are they equal?

20 A The most appropriate way to assess a clock
21 is to have --

22 Q I didn't ask you that.

1 A -- someone to draw it.

2 Q I didn't ask you that. I asked you as a
3 matter of mental acuity, is it easier or harder to
4 picture a clock in your head and say where the
5 hands are than to draw when asked to.

6 A I don't know the answer to that. I don't
7 know if there's a scientific answer to that. What
8 I do know is that there's a scientifically way --
9 a scientific way to measure someone's ability to
10 draw a clock that's been used for decades, and
11 it's always by someone drawing a clock.

12 Q And if someone couldn't draw it, what
13 would you do?

14 A I would do a different test that didn't
15 require them to draw.

16 Q Such as?

17 A Well, I don't usually use a lot of the
18 cognitive screening measures. If I was just
19 limited to a cognitive screening measure, there's
20 an abbreviated version of the MoCA that does not
21 use any drawing. For example, they have a version
22 for blind people. There's one called the

1 Mini-MoCA, which could essentially have done most
2 of the things that Dr. Rothstein did in his
3 version of the MoCA, but it was a more
4 standardized way, and if you applied Judge
5 Newman's score to the Mini-MoCA, it would have
6 been below, as in worse, than the threshold for
7 cognitive impairment.

8 Q All right. The other thing that
9 Dr. Rothstein used in his analysis was -- in my
10 opinion in his opinion was the legal analysis of
11 Judge Newman's opinions over time by Mr. Michaels.
12 Did you see that?

13 A I don't recall that.

14 Q All right. And you haven't seen the
15 analysis by Mr. Michaels of Judge Newman's
16 opinions over time?

17 A If it's in one of the reports I reviewed,
18 I have seen it. It's not coming to mind.

19 Q Okay. Well, let's go to Dr. Carney. All
20 right, and you described Dr. Carney as a forensic
21 psychiatrist under 2.3 on page 28?

22 A Yes.

1 Q Can you -- what's a forensic psychiatrist?

2 A It's a psychiatrist that applies knowledge
3 of psychiatry to something related to the courts.

4 Q Okay, and if you page -- if you turn to
5 page 29, you note that Dr. Carney was concerned
6 about advanced educational attainment masking and
7 verbal fluency masking her -- a possible cognitive
8 defect?

9 A Yes.

10 Q All right, and then she applied the
11 Modified Mini-Mental Status Exam, right, 3-MS?

12 A Yes.

13 Q Now, do you have any criticism of the
14 score that she achieved on that?

15 A No.

16 Q You merely think that it is not the proper
17 diagnostic tool for getting around those problems?

18 A Yes.

19 Q Why's that?

20 A Well, for example, this is the kind of
21 test that's used in nursing homes. That's the
22 level of decline that someone is that they're

1 going to perform poorly on this test. So it's
2 testing things like can you count backwards from
3 five, do you know where you are, point to your
4 shoulder. That's not the kind of cognitive
5 problems that have been brought about by the
6 concerns about Judge Newman.

7 Q So it says a screening measure of this
8 type is not appropriate for definitive and
9 comprehensive exploration of cognitive
10 difficulties, but isn't Dr. Carney trying to see
11 if there are cognitive difficulties in the first
12 instance?

13 A She -- she claims to be doing that. I
14 don't know what her intentions are, but a 3-MS
15 score that's not impaired does not necessarily
16 give someone a clean bill of cognitive health with
17 regard to the fitness for duty complaints.

18 Q Now, but in the 3-MS test, they did test
19 her -- Judge Newman's memory again, right, and --
20 and once again, you don't disagree that the scores
21 that she achieved are the scores she achieved.

22 A Correct, it appears to be -- it appears to

1 have been scored correctly, and I don't have a
2 reason to believe it was administered incorrectly.

3 Q Okay. So once again, the 3-MS may be
4 appropriate for a general patient in a neurology
5 office but is insufficient to administer this
6 measure in place of comprehensive
7 neuropsychological evaluation in the present
8 matter, but that's only true if you believe the
9 affidavits that there's something wrong with Judge
10 Newman, right?

11 A No.

12 Q Why?

13 A It's not true because it's a insufficient
14 amount of information to answer a question. So if
15 you're saying I broke my ankle because of a
16 workplace fall, and then you go to a doctor and
17 they -- they say can you move it, and they said
18 yes, I can move it, therefore, it's not broken,
19 that's not enough information to say the person
20 does or does not have that condition. So it does
21 depend on the context.

22 Q And what's the -- what's the purpose of

1 these screening tests? Isn't -- I'll withdraw.
2 Isn't the purpose of these screening tests to see
3 if further evaluation's necessary?

4 A In general, yes, but the context also
5 matters. So we all remember taking those terrible
6 COVID tests, right? If you were someone who had a
7 very severe medical problem, if you got COVID, it
8 was probably going to kill you, you're not just
9 going to take that rapid test at home and say
10 whew, that's fine, I don't have it, if you were
11 exposed to someone. You're going to want to do
12 the full PCR analysis, right? So the context
13 matters.

14 Q All right, and you also note here on page
15 29, Dr. Carney also administered the Alzheimer's
16 disease clinical dementia rating. Did she perform
17 that test accurately?

18 A I -- same answer as before. I have no
19 reason to believe that -- that she didn't.
20 However, the -- the way that the -- the clinical
21 dementia rating, the CDR works is it's essentially
22 all opinion. So do you think there's evidence of

1 X, Y and Z, and if they're all no, it's a score of
2 zero, which is what she gave her.

3 Q And -- and then you say, "The 3-MS can be
4 used for screening, but a definitive diagnosis of
5 cognitive impairment requires additional
6 evaluation." How about a definitive diagnosis of
7 no cognitive impairment? What does that require?

8 A The same. I can say it's very very common
9 in my office to have someone to come in and say
10 hey, I went to my neurologist, they gave me one of
11 those tests. I missed one memory item but they
12 wanted me to come in anyway, and then lo and
13 behold, they have significant cognitive
14 impairment. So even though they passed that
15 cognitive screening measure, they have severe
16 cognitive impairment on the tests that can be
17 adjusted for things like their educational
18 attainment. So the -- the screening test is just
19 that. It's a screening. It's -- it's wrong a lot
20 of times, and that's just the -- the nature of it.

21 Q All right, but we do have in this case the
22 Rothstein MoCA, we have these tests that were

1 provided. I think in the medical records, there's
2 a Mini-MoCA, and nothing came of it. All these
3 screening tests, whatever your criticisms are, you
4 would agree with me that none of the doctors --
5 put Rothstein aside for a second. None of the
6 other doctors said go get more testing from any of
7 this.

8 A Well, I don't know whether she had pain in
9 her finger or not too because if she's not going
10 to the doctor saying there's pain in my finger,
11 they're not going to look for it or comment on it.
12 So absence of evidence is not evidence of absence.
13 I can't make those conclusions based off of what's
14 not in the records.

15 Q Okay. All right. So until we get to your
16 second report, the three doctors who had examined
17 her, Carney, Rothstein and Filler, all spoke to
18 her, all right? All of them had the view that
19 within reasonable degree of medical certainty,
20 that she was not suffering cognitive impairment,
21 correct?

22 A Correct.

1 Q And you haven't examined her. You've only
2 examined their reports, right?

3 A Correct.

4 Q And I think I've -- I think I've
5 demonstrated, none of the medical records
6 recommended that she take any further tests for --
7 for memory impairment or any other mental disease,
8 correct?

9 A I think that's a little misleading. The
10 records didn't say we don't recommend further
11 testing. The records just don't -- aside from the
12 memory impairment being on her problem list and
13 then taken away, there's not much mention on
14 there.

15 Q All right. Well, that could be because
16 she got enough B12, right?

17 A It could be. It also could be because it
18 was never brought up.

19 Q Okay. Let's take a look at your
20 supplemental report. I think it's Exhibit 2. In
21 my prepared remarks, it's Exhibit 3, but I don't
22 think that's right. Give me a minute. All right,

1 is that Exhibit 2, your supplemental report?

2 A Yes.

3 Q Okay. Now we're on the right page. Okay,
4 so here you explain that you hadn't seen the
5 medical records prior to your supplemental, right?

6 A Yes.

7 Q And then you were given the set, and you
8 prepared this second report, and you give the
9 Bates stamp numbers, right?

10 A Yes.

11 Q All right, and then you go through which
12 ones you think are -- that were not properly
13 assessed by Dr. Filler?

14 A Yes.

15 Q All right, and on page 2 of that report,
16 you note memory impairment, and in footnote 2, you
17 say where that is. Do you know whether that was
18 self-reported or something observed by the doctor?

19 A I don't.

20 Q All right, and you do note that it
21 resolved on 11/5/2023.

22 A It was said to have resolved. I believe

1 in a later medical record that I've seen since
2 writing this report, it had still been on there.

3 Q Did you finish?

4 A Yes.

5 Q Okay. Do you -- do you have any view on
6 what the extent of that memory impairment was?

7 A I don't. I know the way that problem
8 lists work is that whatever physician is seeing
9 the person can add and remove at any time, so
10 sometimes people will take it upon themselves to
11 clean up the problem list and they'll add and
12 remove things, so it's plausible -- I'm not at all
13 saying this happened, but it's plausible that a
14 patient can say oh, that was just added one time
15 when I had a little blip, that wasn't anything,
16 and then the provider removes it.

17 So the possibility that that could happen
18 makes me question whether it actually resolved or
19 what, but I don't know the nature of it.

20 Q All right, and then you notice certain
21 comments in the medical records of being
22 forgetful, right?

1 A Yes.

2 Q And once again, you don't know the nature
3 or level of any forgetfulness?

4 A No, I can surmise that it was significant
5 enough for the person to put it in the mental
6 status exam, but that's all.

7 Q All right, and then you have syncopal
8 episodes. What are those?

9 A A syncopal episode is fainting.

10 Q Right. The -- under significant
11 unintentional weight loss, you speculate that
12 forgetting to eat is a sign of mental -- can be a
13 symptom of mental decline.

14 A Yes.

15 Q Is there any evidence that she forgot to
16 eat?

17 A The evidence is that she said she didn't
18 eat, but no, there's no evidence that she forgot
19 to eat. I wasn't implying that there was.

20 Q As far as we know from the medical
21 records, no doctor recommended anything, had any
22 follow-up about this mental impairment. Does that

1 impact your judgment on its nature or severity in
2 any way?

3 A No.

4 Q Why not?

5 A I don't know the -- the nature of how it
6 got on there, how it was removed. Again, if -- if
7 you're not asking for people to look for
8 something, they're generally not going to look for
9 it, so I can't make definitive determinations
10 about that.

11 Q All right, and -- and then there's
12 notations about a legal guardian or caregiver,
13 including emergency contact. Does the fact that
14 someone has an emergency contact mean that they
15 can't take care of themselves?

16 A No.

17 Q All right. Why did you mention that?

18 A I thought it was relevant that it was all
19 the same person, but you're right, that doesn't
20 imply that they can't take care of themselves.

21 Q All right, and you read the Rothstein's
22 report, Carney report and Filler's report.

1 A Yes.

2 Q And in all of those cases, they spoke to
3 Judge Newman alone when they did their
4 examination, correct?

5 A I presume so.

6 Q Let's go to concern about dressing
7 inappropriately for the weather. This was one
8 incident, right?

9 A Yes.

10 Q Do you know what Judge Newman was
11 suffering from -- withdrawn. Do you know what
12 Judge Newman was suffering from on that date that
13 might lead her to dress warmly?

14 A It was after a hospitalization for sepsis.

15 Q I have to get a pronunciation. All right,
16 on that day, did you see any medical records that
17 she was hypotensive?

18 A I don't recall exactly.

19 Q What is hypotensive?

20 A Low blood pressure.

21 Q And what -- can that lead to chills?

22 A It can.

1 Q And it could make a person feel cold?

2 A It can.

3 Q All right. So did you see any other
4 indication that Judge Newman was dressing oddly in
5 any other day?

6 A Not that I can recall. I do think it's
7 important to add that she didn't feel like she was
8 overdressed. That shows the lack of -- a possible
9 lack of understanding about the problem of it, not
10 just -- I guess the alternative is she could just
11 say I'm really cold now, and instead, she said I
12 don't think I'm overdressed, but I can't read too
13 much into that. I haven't seen her.

14 Q Okay. And once again, we have the kidney
15 disease, that she's had kidney disease for some
16 time according to the medical records?

17 A Yes, advanced kidney disease.

18 Q All right, and it's treated by her
19 doctors?

20 A Yes.

21 Q And once again, the medical records don't
22 anywhere diagnose her with cognitive impairment

1 from her kidney disease, correct?

2 A Right. I don't know that they rule it out
3 either. I don't know if it was looked for.

4 Q All right, now, let's talk about the mini-
5 cog administered to Judge Newman. This was
6 administered on 10/31/2023?

7 A Yes.

8 Q All right, and as you said, it wasn't
9 available for review, but in any event, nothing
10 indicates that whoever took it, or when they then
11 referred her for further analysis, correct?

12 A No, I don't think so, so yeah, it
13 indicates that there was some kind of record
14 that's not here, but as I also mentioned in that
15 paragraph, it's -- it's incorrect 33 percent of
16 the time, so a third of people that take that and
17 get a normal score go on to have cognitive
18 impairment.

19 Q Okay. Is that each time? Like in other
20 words, here's my question. So she had a mini-cog
21 on 10/2023. She's had the MoCA by Rothstein,
22 she's had Carney's examination. Are they all off

1 a third?

2 A No, each test, when it's given in a
3 standardized way, has its own error rate, and
4 that's why it's really important to give tests in
5 a standardized way. So Dr. Rothstein's MoCA, I
6 don't have an error rate for it because he made up
7 test instructions, but what you can surmise from
8 the score of it is it is indicative of possible
9 impairment. It's below that threshold of -- of
10 normal, and the other tests that were given,
11 including the -- the mini-cog and the 3-MS, they
12 are so basic that a normal score on them does not
13 rule out something remotely serious going on.

14 MR. VECCHIONE: All right. All right. I
15 want to take a break to mark the medical records,
16 so we'd like ten minutes and I'll --

17 MR. PHILBIN: Okay.

18 (Recessed at 11:20 a.m.)

19 (Reconvened at 11:44 a.m.)

20 (Deposition Exhibit Numbers 5, 6, 7, 8 and
21 10 were marked for identification.)

22 BY MR. VECCHIONE:

1 Q So we talked a little bit about the
2 various examinations you'd give if you were doing
3 a report on -- on fitness for duty in this case
4 for Judge Newman. Would you ask for anything, any
5 statements from other co-workers, were you to do
6 such an evaluation?

7 A I might have a -- kind of a blanket
8 question to the employer saying, you know, please
9 direct anyone that has relevant information to me,
10 but -- but I don't know all the employees, so --

11 Q Right.

12 A -- I don't know what to ask.

13 Q All right, but it could be her fellow
14 judges, for instance.

15 A It could be.

16 Q All right. Would you agree with me that
17 we have insufficient data to determine whether
18 there's a cognitive decline of anyone in this
19 room?

20 A Yes. Well, I'll -- I have insufficient
21 data. I don't know what --

22 Q Okay, fair enough. So we don't have to go

1 get psychological testing, do we?

2 A I don't know.

3 Q Okay. What is the trigger level that
4 would require us, or recommend us to go do those
5 things?

6 A It could be from -- in a fitness for duty
7 aspect, it could be from three areas. It could be
8 the person is feeling like they're having a
9 problem, which I've had referrals like that
10 before. It could be a co-worker or boss or
11 something else has a worry about a problem, and it
12 could be the employer itself having a concern
13 about a problem, but some kind of documented
14 concern.

15 Q All right, and as for the type of testing
16 you do, let's say a comprehensive test, is there a
17 score on it or a level when someone is fit for
18 duty? How do you create that line?

19 A It really depends on the nature of their
20 job. So for example, the -- I'll use an IQ test
21 because that's something everyone knows pretty
22 well. If someone is coming up with an 80 on the

1 IQ, which is on the lower side of normal, but
2 their job is working in a factory, and also shows
3 that there hasn't been a decline, that might not
4 be significant. But if a physician has an 80 IQ,
5 which I've also seen before, that is evidence of
6 significant decline, and that has more bearing on
7 their ability to do their job.

8 Q Is there any way -- I'm going to get a
9 neuropsychology exam. Is there any way I can know
10 beforehand how I'm going to pass the test?

11 A It's pretty difficult to do that with a
12 neuropsych exam because there are so many tests.
13 It's pretty easy to do that with something like
14 the MoCA or the 3-MS. They're publicly available.
15 You know, you can go and see what the questions
16 are. You can even know the words that they're
17 going to ask you, so that is easier to prepare
18 for. It's more difficult to prepare for so many
19 tests.

20 Q All right, God willing and the creeks
21 don't rise, these are all in order. So I'm going
22 to hand you what is called PN -- you've reviewed

1 them before you. This is the Bates stamp number,
2 PN 001230 that I've labeled Exhibit 5. What was
3 the number at the bottom?

4 A 1230.

5 Q Oh, good, all right, good. All right, and
6 could you just page through it and just generally
7 describe it to me?

8 A These are medical records from One Medical
9 from her cardiologist, [REDACTED]. It's
10 [REDACTED].

11 Q And what's the date?

12 A The date is December 11th, 2023.

13 Q All right, and I'd like you to turn to
14 page -- it says 3 of 6, but it also has a PN
15 number of 1233 on the bottom.

16 A Okay.

17 Q And if you look under neurological, what
18 is neurological?

19 A Brain.

20 Q It says general, no focal deficit present.
21 What does that mean?

22 A That means the cardiologist is saying

1 there's no obvious signs of a specific problem in
2 her brain.

3 Q Is that -- how -- what do you do to make
4 that determination?

5 A Usually they're talking about a cranial
6 nerve sign, so for example, are their eyes
7 tracking where they're supposed to be or things
8 like that. I don't know exactly what we did.
9 I'll say in a lot of mental status exams like
10 this, you will come across a term WNL, which means
11 within normal limits, but the joke in medicine is
12 that it's called we never looked because when
13 people put normal things in mental status, it
14 doesn't necessarily mean we looked at everything
15 and it's normal. Often it's meaning there's no
16 indication that I'm seeing anything abnormal. But
17 I don't know for sure. I don't know whether that
18 happened here, but just to give you a sense.

19 Q All right, and mental status, can you read
20 that for the record?

21 A She is alert and oriented to person, place
22 and time, mental status is at baseline.

1 Q All right, so I think we've talked about
2 alert and oriented to person, place and time. I
3 think you've described that as knowing who she is,
4 where she is and what she's doing?

5 A Yes.

6 Q All right, and what's mental status at
7 baseline? What is your understanding of that?

8 A I don't think that's an official term. My
9 understanding of that is she seems the same as
10 always.

11 Q All right, and under psychiatric, do you
12 see that?

13 A Yes.

14 Q Mood and affect, what's mood and affect?

15 A If either -- mood is often her stated
16 mood, so depressed, normal, okay, and -- and
17 affect is how they appear as far as their mood.

18 Q All right, and it has mood, normal?

19 A That's what it says.

20 Q And behavior, normal?

21 A That's what it says.

22 Q All right. And then again, if you turn

1 the page, you see instructions at the end, what
2 she's supposed to do?

3 A Yes.

4 Q None of those are recommendations for
5 further analysis of her mental state, correct?

6 A Yes.

7 Q So on that date, no -- no indication from
8 this medical record of mental impairment, right?

9 A Right, the cardiologist did not report any
10 indication of cognitive impairment.

11 Q All right, the next one is Exhibit 6,
12 prelabeled, and same, if you could describe what
13 this medical record is and the date of it?

14 A This is records from [REDACTED]
15 [REDACTED]. The date is July 11th, 2024.

16 Q All right, and if you turn the page to the
17 second page, which is PN_0982 --

18 A Okay.

19 Q And once again, we go down to neurologic,
20 can you read what it says there under neurologic?

21 A No focal motor or sensory deficit.
22 Cranial nerves intact, normal gait, no abnormal

1 mental status.

2 Q All right. There's the cranial nerves you
3 discussed earlier. What is that?

4 A What is the cranial nerves?

5 Q Yeah.

6 A They are nerves that are part of the brain
7 stem that have very easy to measure signs. So I
8 mentioned the one with your eyes following a pen.
9 There's also ones related to anything from balance
10 to eye opening to whether there's symmetry in the
11 person's facial muscles, things like that.

12 Q So this is a little more detailed. It
13 looks like they checked here.

14 A Yeah, a lot of modern medical records have
15 something that you just check boxes or leave
16 checked or don't uncheck. I don't know the
17 thought process that went into this or anything.

18 Q And for leave checked, that could also be
19 for memory impairment, right?

20 A Not usually. Usually the way a medical
21 record works is you have to put in what's called a
22 pertinent positive. So by default, most things

1 are going to be negative or normal, and then you
2 have to change it, but I don't know for sure what
3 went on in this person's --

4 Q All right, and under psychiatric, what
5 does it say?

6 A Oriented to person, time and place, mood
7 and affect appropriate to situation. Appropriate
8 judgment and insight. Memory intact.

9 Q So at least at this time, this physician
10 believed that her memory was intact, correct?

11 A That's what they said.

12 Q And again, under instructions, it's under
13 plan in this one on the last page --

14 A Okay.

15 Q Once again, no checking of her mental
16 state, no further recommendations?

17 A Yes, this doctor did not indicate that.

18 Q All right, and then it says send a copy of
19 the note to, and it has about four doctors there.

20 A Yes.

21 Q And two -- three of them are referring
22 doctors?

1 A Yes.

2 Q All right. So at least this report was
3 sent to a number of her physicians.

4 A Yes.

5 Q You can put that aside. I'm going to give
6 you what's been pre-marked Exhibit 7, and can you
7 again describe for the record what this is?

8 A These are One Medical records of Judge
9 Newman. The date, it says summarization of
10 episode note, January 30th, 2024.

11 Q Okay. In this one, I'd like you to go to
12 -- it's hard to count the pages. I would just go
13 to the bottom, it says PN_001175.

14 A Okay.

15 Q Can you read what it says under
16 neurologic?

17 A Awake, alert and oriented to person, place
18 and time. Cranial nerves 2 through 12 are grossly
19 intact. Sensation to light touch intact, no focal
20 motor deficits, strength and sensation are intact
21 without any focal deficit.

22 Q All right. Well, I think you've told us

1 about a person, place and time. What does awake
2 and alert mean to you?

3 A She was not sleeping, and she was
4 attending to whatever was happening.

5 Q All right, and I'm not -- what are cranial
6 nerves 2 to 12? Do you know? What are they?

7 A Well, it's leaving out cranial nerve 1,
8 which is the olfactory nerve, which is smell.

9 Q Okay.

10 A Sometimes they will take like coffee or
11 soap and say what is this.

12 Q Okay.

13 A But usually they don't test it.

14 Q All right, but are there -- is that how
15 many there are? Twelve?

16 A There are 12, yes.

17 Q All right. Sensation to light touch
18 intact, that means if you can feel things?

19 A She can feel a very light touch on her
20 skin.

21 Q All right, and no focal motor deficits.
22 What are those?

1 A Those would be facial drooping or some
2 kind of limp in your gait or numbness in your hand
3 or something like that.

4 Q All right, and then in strength and
5 sensation are intact without any focal deficit,
6 what does that mean?

7 A That probably means that they had her
8 squeeze the doctor's fingers with both hands, and
9 it felt about the same on both.

10 Q Okay, and then psychiatric, can you read
11 that?

12 A "Cooperative, appropriate mood, affect and
13 thought."

14 Q And what does that indicate?

15 A That indicates that they said she was not
16 giving them a hard time, she seemed fine.

17 Q Okay, all right, and you can -- again, on
18 this date, there was no referral for any further
19 psychological or psychiatric examination?

20 A That's correct.

21 Q All right, all right. This is going to be
22 Exhibit 8, prelabeled, and could you describe this

1 for the record, Doctor?

2 A This is a medical record from MedStar
3 Health that was sent to One Medical. The date of
4 service is January 30th, 2024.

5 Q And if you turn to the second page, which
6 is 1207 --

7 A Okay.

8 Q Again, neurologic, can you read that for
9 the record?

10 A No headache, no paresthesia, that's
11 P-A-R-E-S-T-E-S-I-A, no limb weakness, alert and
12 oriented times three, which is what we talked
13 about before, person, place, time.

14 Q That's what the times three means?

15 A Yes.

16 Q All right, and then what's paresthesia?

17 A Numbness.

18 Q Okay, and then for psychiatric?

19 A No anxiety, no depression, no suicidal
20 ideation.

21 Q All right. And if you turn to page 1208,
22 PN 1208, it says attending attestation?

1 A Yes.

2 Q They say that they spent about 50 minutes
3 with the patient?

4 A Yes.

5 Q And that's enough time to make those
6 assessments of neurologic and psychiatric state?

7 A I don't have enough knowledge about what
8 else they were doing to answer that.

9 Q Okay, but once again, we don't see any
10 indication of mental problems, and we certainly
11 don't see any referral for further analysis,
12 right?

13 A Correct.

14 Q And then finally, I think we discussed
15 this one earlier. I should give it to you to do
16 that, see if these -- my clerk has made it
17 double-sided, which I highly dislike, but it's all
18 here.

19 A I tend to agree with you.

20 Q Exhibit 10. Again, Doctor, if you would,
21 describe what this one is.

22 A This is a list of encounters, office

1 visits and telephone calls.

2 Q And who's it by?

3 A I don't know.

4 Q Okay, but at least the first one at the
5 top is [REDACTED]?

6 A Yes. I don't necessarily think that's who
7 prepared this, but that's -- that's -- this is a
8 collection of --

9 Q Okay, at various times and dates, right?

10 A Yes.

11 Q I'd like to direct your attention to the
12 November 5th, 2023 hospital encounter.

13 A I see that.

14 Q And you see that at the end, it has
15 discharge to home or self-care?

16 A Yes.

17 Q If you turn the page, 3, this is where we
18 have the summary, memory impairment. Do you see
19 that?

20 A Yes.

21 Q And it has memory impairment from
22 4/27/2022 to 11/5/2023, correct?

1 A Yes.

2 Q All right. So when she was discharged, at
3 least under this medical record and these
4 physicians, they did not believe she had memory
5 impairment after that date, correct?

6 A So -- so what this is telling me on
7 11/5/2023, which presumably is this hospital
8 encounter on the previous page, that's telling me
9 they probably cleaned up their problem list and
10 took things off. It doesn't give me a strong
11 indication that they comprehensively went through
12 everything and had necessarily a good reason to
13 add or remove things, but -- but that's all I can
14 tell you.

15 Q All right, and so we've seen some other
16 documents we've just gone through that -- some
17 were from 2024, and we didn't see memory
18 impairment again, did we?

19 A As I mentioned earlier, I think there is
20 one more recently than November 5th, 2023.

21 Q All right.

22 A But I can't think of it off the top of my

1 head.

2 Q All right. All right, and -- but once
3 again, we haven't seen anything in any medical
4 record either today or -- or in the ones you
5 reviewed earlier for your second report that
6 recommended psych testing for Judge Newman.

7 A Other than the referral for the fitness
8 for duty, yes, that's accurate, but that doesn't
9 really mean so much to me from a concern
10 standpoint.

11 Q All right. Now, let's take this just for
12 --

13 MR. DOLIN: Can we actually take a break
14 before that? There are things that I --

15 MR. VECCHIONE: Yes.

16 MR. DOLIN: -- reviewed and pointed out.

17 MR. VECCHIONE: Can we take ten minutes?

18 MR. PHILBIN: Sure.

19 (Recessed at 12:08 p.m.)

20 (Reconvened at 12:22 p.m.)

21 (Deposition Exhibit Number 9 was marked
22 for identification.)

1 BY MR. VECCHIONE:

2 Q We're back on the record, and Dr. DeRight,
3 I think during the break, we found that we had not
4 shown you one of the exhibits, and we've labeled
5 that Exhibit 9. Do you have that in front of you?

6 A Yes.

7 Q And can you state for the record what the
8 Bates stamp number is down at the bottom?

9 A PN_001636.

10 Q All right, and what's -- what is this
11 document and what's the date on it?

12 A This is an emergency department to
13 hospital admission to [REDACTED] Hospital.
14 It's about Judge Newman. The date is April 19th,
15 2023.

16 Q All right, and here, the first thing on
17 the first page in the middle is cognition.

18 A Yes.

19 Q What does it say for overall cognitive
20 status?

21 A Intact.

22 Q What's that mean?

1 A That means whoever filled this out says
2 they think it's fine.

3 Q All right, and arousal slash alertness,
4 what's it say there?

5 A Appropriate responses to stimuli.

6 Q What's that mean?

7 A Means she's reacting normal to maybe
8 something like air in her eye might cause someone
9 to blink, something like that.

10 Q All right, attention span, what's it say
11 there?

12 A Appears intact.

13 Q All right, and memory, what does it say
14 there?

15 A Appears intact.

16 Q All right. Orientation level. Now it
17 says oriented times four. Why does it say four?
18 What's the fourth one?

19 A When it says times three, it's person,
20 place, time. When it says times four, it also
21 adds situation.

22 Q Okay. Following commands, what's it say

1 there?

2 A Follows all commands and directions
3 without difficulty.

4 Q All right. Awareness, what does it say
5 there?

6 A Patient is aware of deficits.

7 Q What's that means?

8 A It means she's aware she has some kind of
9 problem.

10 Q All right, and problem-solving?

11 A Able to problem-solve independently.

12 Q And what does that mean?

13 A It means they're -- they're saying she can
14 solve problems. There's no indication of whether
15 that's from any kind of objective finding, but
16 that's what the page says.

17 Q All right. On page 19 -- excuse me, the
18 next one -- it's page 19 or PN 001637, right?

19 A Yes.

20 Q We have self-care?

21 A Yes.

22 Q And does it appear she's independent and

1 dressing upper, lower body and toileting?

2 A That's what it says.

3 Q All right. And then functional outcome
4 measures, what does it say there?

5 A Patient independent in all ADLs, that's
6 activities of daily living, and functional
7 transfers.

8 Q Meaning?

9 A It says she can do any daily activity that
10 she needs to do, although below, it does say she
11 may need a transfer belt. I'm not sure why that
12 wasn't integrated into that.

13 Q All right. And once again on the next
14 page, on page 20, there is an assessment for sync
15 -- S-Y-N-C-O-P-E?

16 A Syncope, yes.

17 Q Syncope episode, and you discuss that in
18 your report?

19 A Yes.

20 Q And what's the assessment of this episode?

21 A Would you like me to read the summary?

22 Q Just the end, the last one. Yeah, patient

1 status and skilled OT. That's what I'm looking
2 at.

3 A "Patient is able to complete basic
4 self-care and functional mobility with modified
5 independence. Skilled OT services not needed at
6 this time due to patient independence in ADLs and
7 functional transfers."

8 Q All right, and then under recommendations,
9 there's no recommendation for any psychiatric
10 testing, correct?

11 A Correct.

12 Q All right, and --

13 A Although on problems on the next page,
14 1639, it says risk for neurological impairment,
15 and it says the outcome was met, but that there's
16 some indication that there was a problem with
17 neurological status.

18 Q Okay, but no further testing was
19 recommended, correct?

20 A Correct.

21 Q And you can put that aside. Now, early --
22 early in this deposition, you told me about the

1 AAPL practice guidelines. That's forensic
2 evaluation of psychiatric disability?

3 A Yes.

4 Q And your attorney has provided us a copy
5 of this. I would like to label this as the next
6 exhibit, which I believe is 11.

7 (Deposition Exhibit Number 11 was marked
8 for identification.)

9 THE WITNESS: Thank you.

10 BY MR. VECCHIONE:

11 Q Now, can you describe what this document
12 is for the record, Doctor?

13 A Yes, this is a compilation of practice
14 guidelines made by forensic psychiatrists for
15 forensic psychiatrists doing different types of
16 disability and fit for duty evaluations.

17 Q Before you reviewed it in preparation for
18 this deposition, had you seen it before?

19 A Yeah, I think so.

20 Q Is it -- is it used in your profession in
21 any manner?

22 A It is used usually to have some kind of

1 objective basis to say whether something was or
2 was not appropriately done, in this case for
3 psychiatrists.

4 Q And what did -- upon your review of it for
5 this -- preparation for this deposition, did it
6 help you in any way in support or in detriment of
7 your opinions already stated?

8 A Well, one of the reasons I wanted to look
9 at this was to be able to better delineate the
10 difference between a disability independent
11 medical evaluation and a fitness for duty
12 evaluation, and that's primarily what I was using
13 it for.

14 Q And what is the difference?

15 A Well, a disability independent medical
16 evaluation or IME, which is what Dr. Carney said
17 that she did, is -- has a much different scope
18 than a fit for duty evaluation. So a disability
19 evaluation is generally brought on by the
20 individual. It's usually looking at causation,
21 diagnosis, whether there is significant evidence
22 of limitations, but whether those meet some

1 criteria for a disability status, whereas a
2 fitness for duty evaluation comes from concerns
3 related to the employer specifically about
4 someone's ability to do their job.

5 Q All right. Anything else in here that's
6 of use to you in this or that you'll refer to in
7 discussing your opinion?

8 A I don't necessarily have something planned
9 --

10 Q Okay.

11 A -- to discuss. I was told to send
12 anything else that I reviewed that -- that was not
13 in there.

14 Q Okay.

15 A So I sent that. I do -- there is one more
16 thing that I remember reading from this that
17 talked about that neuropsychological testing
18 should be done when there's concern about a
19 cognitive impairment in a fitness for duty
20 evaluation.

21 Q All right. Then there's some other
22 warnings to the psychiatrist in here, right? So

1 if you turn to page S10 --

2 A Yes.

3 Q Under section 4, forced employee
4 evaluations?

5 A Yes.

6 Q An employer may attempt to force an
7 employee to undergo a psychiatric examination for
8 non-psychiatric reasons, correct?

9 A That's what it says.

10 Q And is that true? Can that happen?

11 A I'm assuming so. I don't know.

12 Q All right. In the event of a workplace
13 conflict, an employer may attempt to discredit or
14 even terminate an employee by claiming that
15 employee is mentally unstable, and that can
16 happen, can't it?

17 A That could.

18 Q "In the course of such conflict, the
19 employee who poses a problem for reasons other
20 than mental health may be forced to undergo a
21 fitness for duty evaluation," and that's what this
22 journal reports, right?

1 A Yes.

2 Q No reason to disagree with that.

3 A Correct.

4 Q And then lower down, it says, "The stigma
5 attached to a psychiatric evaluation may itself be
6 used to discredit the employee," and that can
7 happen too, can't it?

8 A Yes.

9 Q And then it says in the next page, "Such
10 employer practices are potentially damaging to the
11 employee and represent a misuse of psychiatry,"
12 correct?

13 A Yes.

14 Q And then later on, it says, "An individual
15 may feel stigmatized and narcissistically wounded
16 by having to undergo a psychiatric evaluation,"
17 and that can happen as well, correct?

18 A Yes.

19 Q And the nature of such evaluations is
20 often intrusive and distressing?

21 A Yes. However, I will say the difference
22 between a -- another difference between an IME and

1 a fitness for duty evaluation is that the scope is
2 much narrower in a fitness for duty, so you're --
3 you're only asking about the most relevant parts
4 to the person's job functioning, but the statement
5 is true.

6 Q All right, and -- but when you were
7 describing this examination to me earlier, it
8 seemed much more extensive than the IMEs.

9 A It's extensive in the sense of the
10 cognitive testing that's done, but it's less
11 extensive in terms of the clinical interview
12 that's done. So for a disability evaluation, the
13 clinical interview is much more comprehensive.
14 You're essentially asking about anything and
15 everything, and with the fitness for duty, I have
16 a much narrower scope. I do a lot of both of
17 them.

18 Q Okay, and -- and then it says, "Moreover,
19 such referrals," meaning from such employers that
20 we've been discussing, "raise questions of ethics
21 that are not easily answered, given the
22 assessments under these circumstances may be

1 inherently unethical, analogous in many respects
2 to the performance of unnecessary surgery," and
3 that can happen as well, can't it?

4 A Yes.

5 Q Then I'd like you to turn to page S39.
6 Now, here it has 2, forced FFD evaluations. Is
7 your understanding that means forced fitness for
8 duty evaluations?

9 A That's correct.

10 Q All right. And it says forced fitness for
11 duty, FFD evaluations lend themselves to misuse by
12 employers, as noticed previously in the discussion
13 regarding ethics that we've already used, correct?

14 A Yes.

15 Q "In the context of a workplace conflict,
16 an employer may try to discredit or even terminate
17 an employee by raising the question of mental
18 instability," correct?

19 A Yes.

20 Q "During such conflicts, an employee who
21 poses problems for reasons other than mental
22 health may be referred for forced FFD

1 evaluations," correct?

2 A Yes.

3 Q And it says that the psychiatrist should
4 therefore be alert for possible misuse of the FFD
5 evaluation process.

6 A Yes.

7 Q Now, in this -- I asked you if you knew
8 whether any force or intimidation had been used in
9 obtaining the affidavits, and you said you did
10 not.

11 A I did not know that, correct.

12 Q And if -- if there was such force or
13 intimidation, that would be a factor on whether or
14 not -- that you should be alert to for possible
15 misuse, correct?

16 A It's a factor to consider, which is what
17 the document says. It's to ensure that -- it's to
18 keep that in mind, that it could happen. I would
19 -- I would say it's pretty unlikely that it would
20 happen, but it certainly could happen. It's
21 something to keep in mind.

22 Q And then there's -- and under 3 on the

1 next page --

2 A Yes.

3 Q Key points in conducting FFD evaluations,
4 you see that?

5 A Yes.

6 Q And it has the various things that you
7 should do. I'd like to look at the last two
8 sentences. One of the things it says, "Perform a
9 standard psychiatric examination with focus on the
10 evaluatee's ability to perform relevant work
11 functions as explained in job description and
12 other relevant referral questions." Then it says,
13 "Obtain psychological testing if clinical
14 information indicates a need for such data for the
15 psychiatrist to reach or support a conclusion."
16 What does that mean?

17 A That means that a psychiatrist doing a
18 fitness for duty evaluation should get testing if
19 there's something that indicates that there could
20 be a problem.

21 Q What kind of testing are they discussing
22 there?

1 A Psychological testing.

2 Q Okay. What's the clinical information
3 that indicates this?

4 A That's a pretty generic term, but it
5 essentially means any information that the
6 psychiatrist has as part of their evaluation.
7 That could be records, it could be things they see
8 in the evaluation.

9 Q All right, and at least in the medical
10 records we've seen here, there wasn't an
11 independent referral from the medical records to
12 get further evaluation, right?

13 A Right. It doesn't necessarily mean
14 anything, but yes.

15 Q So from my client's perspective, Judge
16 Newman's perspective, her view is that this is a
17 workplace dispute. Does that view impact your
18 assessment in any way of the need for psychiatric
19 evaluation?

20 MR. PHILBIN: Objection.

21 A No.

22 Q Okay.

1 MR. PHILBIN: And Counsel, just so it's
2 clear, my objection is because he's never
3 suggested she should have psychiatric evaluation
4 --

5 MR. VECCHIONE: Ah.

6 MR. PHILBIN: -- which is what you said.

7 BY MR. VECCHIONE:

8 Q Got it. Should have further neurological
9 evaluation.

10 A No.

11 Q Okay. Thank you for that. We just
12 discussed some of the things from the APPL. If --
13 would it be better from a professional aspect if
14 the referral was from a neutral arbiter who wasn't
15 in conflict with Judge Newman?

16 A That is not how a fitness for duty
17 evaluation is done. I should add too the section
18 we were going through is about general fitness for
19 duty evaluations, and there's a completely
20 separate section on fitness for duty for
21 physicians and police officers, and that's because
22 they have a higher power essentially and an

1 ability to cause much more harm than a general
2 evaluation. So there are different nuances to
3 that, but the CEO of a hospital might be referring
4 a physician for a fitness for duty evaluation.
5 They have a financial interest. They could get
6 sued if this person messes up and has malpractice.
7 So that's just -- it's built into these
8 evaluations, but the independent person is the
9 evaluator. So I don't think it's feasible for the
10 referral source to be independent, but what does
11 happen in some cases is both sides will agree to
12 have a single or multiple experts evaluate them,
13 and then they're not hired by either side. That's
14 the appropriate way in my opinion.

15 Q All right. So just from your testimony
16 today, so in this case, you have criticism of the
17 three physicians' analysis of Judge Newman. Have
18 you seen any opinion that she does have mental
19 impairment or decline from a physician?

20 A I don't think so.

21 Q All right, and as we've said, you have
22 concerns in the record about forgetfulness, her

1 syncopal episodes, but you again have not seen any
2 diagnosis in the medical records of mental
3 impairment or decline from that.

4 A I have not seen any comprehensive and
5 appropriate evaluation of such symptoms.

6 Q Okay, and then finally, you have no
7 opinion at all as to whether Judge Newman has
8 experienced cognitive decline or is mentally fit
9 to continue on the bench.

10 A Correct, I have not had the ability to
11 evaluate her yet or if ever, but when we're
12 talking about fitness for duty, the question is is
13 this vehicle safe to drive, for example, and you
14 can say I brought it to Jiffy Lube, I brought it
15 to a body shop, I brought it to Firestone. They
16 all said it's fine, but none of those looked for
17 the engine problem that someone was complaining
18 about that might be a problem, and maybe if the
19 question is okay, that vehicle is just driving one
20 person and they're just going back and forth in
21 town, you can say it's safe to drive, but if that
22 vehicle is carrying the president, then you're

1 going to hold it to a higher standard, right?

2 So the question of whether the evaluation
3 is comprehensive enough is much more than does it
4 just not show something. We have to -- we have to
5 -- when there's concerns that in my opinion are
6 present, we have to do the appropriate
7 standardized way to assess that.

8 Q All right, and as you said here, you
9 didn't know that the Supreme Court had affirmed a
10 dissent of Judge Newman during the time she's been
11 accused of having mental impairment by the
12 Judicial Council?

13 A No, but that would be like getting a piece
14 of information saying just so you know, this car
15 drove a hundred miles an hour the other day. It
16 was fine.

17 Q And you did not see the analysis of her
18 judicial opinions that Dr. Rothstein relied upon
19 by an independent attorney.

20 A Right, that's like the driver saying I've
21 been driving it every day, it seems fine to me.

22 MR. VECCHIONE: All right. I don't have

1 any further questions.

2 MR. PHILBIN: Okay, should we break for
3 lunch?

4 MR. VECCHIONE: We should.

5 (Recessed at 12:46 p.m.)

6 (Reconvened at 1:53 p.m.)

7 EXAMINATION BY COUNSEL FOR THE
8 JUDICIAL COUNCIL OF THE FEDERAL CIRCUIT
9 BY MR. PHILBIN:

10 Q Dr. DeRight, I'm going to ask you a few
11 questions now following up on some of the things
12 Mr. Vecchione discussed. One is that at one
13 point, Mr. Vecchione was asking you about are you
14 comparing Judge Newman's results in
15 neuropsychological testing to other judges. Do
16 you remember that?

17 A Yes.

18 Q And is it fair to say that the point of
19 neuropsychological battery of tests is that
20 they're standardized tests that have been done
21 with large numbers of people, and that in -- with
22 those large numbers, the results have been

1 recorded with respect to sex, age, level of
2 education; is that correct?

3 A Yes.

4 Q So that you can compare the results of one
5 person with a cohort of other people who have
6 taken those tests that are the same age and same
7 level of education; is that correct?

8 A Yes.

9 Q And so that's how you get an accurate
10 comparison for someone. You don't need to know
11 their particular occupation and whether those
12 people have been tested, but things like level of
13 education; is that correct?

14 A Yes.

15 Q And I think we discussed earlier the
16 Montreal Cognitive Assessment. Do you remember
17 that?

18 A Yes.

19 Q And is that a standardized test?

20 A Yes.

21 Q Is it important for it to be administered
22 in a standardized way?

1 A Yes.

2 Q And why is that?

3 A When you have a result from a test that's
4 standardized, you're assuming that it was
5 administered in the same way every time. That's
6 so one result can be equally compared to another.
7 So if they're all given in different ways, even if
8 they're mildly different, it changes the
9 comparison to the standardization sample in which
10 everyone was given it the same way.

11 MR. PHILBIN: Okay. Could we mark this
12 and we'll just go in order. This will be 12.

13 (Deposition Exhibit Number 12 was marked
14 for identification.)

15 BY MR. PHILBIN:

16 Q And I'll represent to you that this is an
17 excerpt from the deposition of Dr. Rothstein. Do
18 you recognize this?

19 A Yes.

20 Q Did you have -- if you look, it starts at
21 page 78 of the transcript. Did you have a chance
22 to review this --

1 A Yes.

2 Q -- before your deposition? Okay, and take
3 a minute just to look at page 78 and page 79.

4 A Okay.

5 Q And is this describing how Dr. Rothstein
6 administered the MoCA test?

7 A Yes.

8 Q Okay, on page 79, around lines 5 to 8,
9 could you just read those lines?

10 A Line 5 starts with, "Okay, and because
11 each one of those parts is worth one point, you
12 reported her score on MoCA out of a total of 28
13 points; is that correct?" Answer: "That's
14 right."

15 Q And is he describing there how he dealt
16 with the fact that with a broken wrist, she
17 couldn't follow, trail or draw a cube?

18 A Yes.

19 Q And I'd like you to go to page 80. At the
20 top, there's a question that says, "Well, a minute
21 ago, Dr. Rothstein, you testified she'd not been
22 able to draw the clock. Is that true?" Do you

1 see that?

2 A Yes.

3 Q Okay. Can you read the first paragraph of
4 the answer starting in line 5?

5 A "She couldn't draw it, but what I did
6 under the circumstances, I said if you had to draw
7 a clock and put the hands of the clock at 3:45,
8 where would you put the minute hand and where
9 would you put the hour hand, and she correctly
10 said I would put the minute hand on the 9 and the
11 hour hand on the 3. That seemed to me satisfied
12 what we usually see as a stage 1, stage 1
13 success."

14 Q Okay, in your opinion, is that -- and I
15 think we might have covered this earlier, but just
16 so the record's clear, in your opinion, is that a
17 valid way of administering the MoCA?

18 A No.

19 Q And as a result, in your opinion, should
20 the score that Dr. Rothstein gave Judge Newman be
21 considered valid?

22 A No.

1 Q And is that because he didn't administer
2 it in a standard way?

3 A Yes.

4 Q Have you ever heard of anyone
5 administering the clock drawing test this way?

6 A No.

7 MR. PHILBIN: All right. Then I'd like to
8 have this marked as Exhibit 12. No, 13.

9 (Deposition Exhibit Number 13 was marked
10 for identification.)

11 BY MR. PHILBIN:

12 Q And this is another excerpt from
13 Dr. Rothstein's deposition, and I'd ask you to go
14 to page 41, and starting at line 3, could you just
15 read the question and answer there?

16 A Question: "Okay, you mentioned earlier
17 that you knew Judge Newman personally before she
18 came to you seeking a professional opinion in
19 2023; is that correct?" Answer: "Yes."

20 Q Okay, and then if we could skip to page
21 43, do you see in line 4, there's a sentence that
22 starts with "But"?

1 A "But Judge Newman was helpful in having my
2 wife appointed to the position as director of the
3 Federal Judicial Center Because I believe that
4 Judge Newman was on the board that helped select
5 candidates for this position."

6 Q Okay, so does this indicate to you that
7 Dr. Rothstein had a longstanding personal
8 relationship with Judge Newman?

9 A Yes.

10 Q And we talked a bit earlier, I think there
11 was some questions from Mr. Vecchione about
12 Dr. Filler using his personal experiences with
13 Judge Newman as an evaluator. Do you think it's
14 problematic for Judge -- for Dr. Rothstein to act
15 as an evaluator here, given his personal
16 relationship with Judge Newman?

17 A Yes, I would say it's at the very least
18 not recommended, and also could be problematic.

19 Q Okay. Then I think -- is this 14?

20 (Deposition Exhibit Number 14 was marked
21 for identification.)

22 BY MR. PHILBIN:

1 Q Okay, and this is another excerpt from
2 Dr. Rothstein's deposition. I'd ask you to go to
3 page 111, 111, and in line 16, there's a question
4 that says, "And I think you mentioned earlier that
5 neuropsychological testing also would have been
6 helpful; is that correct?" And what's the answer
7 there?

8 A Yes.

9 Q Okay. We skip to the top of the next
10 page, could you read the question and answer
11 starting at line 1?

12 A Question: "Okay, did you suggest to Judge
13 Newman that she should undergo neuropsychological
14 testing?" Answer: "Yes, I did."

15 Q Okay, so earlier today, I think Mr.
16 Vecchione represented to you as part of a
17 question, the three doctors, Dr. Filler, Dr.
18 Carney and Dr. Rothstein, who had seen Judge
19 Newman, that none of them recommended
20 neuropsychological testing. Do you remember that?

21 A Yes.

22 Q So does this show you that --

1 MR. VECCHIONE: Objection, misstates the
2 question, but go ahead.

3 BY MR. PHILBIN:

4 Q Does this show you that Dr. Rothstein did
5 in fact recommend that Judge Newman have
6 neuropsychological testing?

7 A Yes.

8 Q Sorry, let me state that question again
9 because I got lost in my own head. Does this show
10 to you that Dr. Rothstein did in fact recommend to
11 Judge Newman that she should have
12 neuropsychological testing?

13 A Yes.

14 Q Okay, and does that -- knowing that
15 Dr. Rothstein recommended that she should have
16 neuropsychological testing, does that in any way
17 affect your opinions in this case? Does it
18 bolster or detract from them?

19 A It's another data point indicating to me
20 that a more comprehensive evaluation is necessary.

21 Q All right, then if we could go back to, I
22 think it's Exhibit 1 -- sorry, no, Exhibit -- the

1 supplement -- your own supplemental report, which
2 --

3 MR. VECCHIONE: Two.

4 BY MR. PHILBIN:

5 Q Exhibit 2. All right, in Exhibit 2, at
6 the top of page 2, in the bullet points, there you
7 note that there were multiple notations and
8 medical records of memory impairment on a problem
9 list; is that right?

10 A Yes.

11 Q And did you think that was significant?

12 A Yes.

13 Q Why?

14 A Because of the nature of the complaint,
15 anything in the medical records that mention
16 memory impairment or forgetfulness would be
17 important to at the very least mention in a
18 report.

19 Q Okay, and did you give an opinion as to
20 whether Dr. Filler should have noted this or
21 followed up on it?

22 A Yes.

1 Q And -- and what was your opinion?

2 A My opinion was that it merited at least a
3 mention and follow-up from Dr. Filler.

4 Q Okay. Now, I'm going to want to ask you
5 about Dr. Carney, so -- but let me hand you
6 Exhibit -- what are we? Fifteen now? No?

7 A Yes, that's what I have.

8 MR. PHILBIN: Exhibit 15.

9 (Deposition Exhibit Number 15 was marked
10 for identification.)

11 BY MR. PHILBIN:

12 Q Okay, and I'll represent to you this is an
13 excerpt from Dr. Carney's deposition. Does this
14 look familiar to you?

15 A Yes.

16 Q Did you have a chance to review this
17 excerpt before your deposition?

18 A Yes.

19 Q Okay. I'd like you to look at page 149
20 and read from line 9 just to the end of that page.

21 A Question: "Okay, so would it be
22 significant to you if her medical records showed

1 that one of her medical providers noted a memory
2 impairment?" Answer: "I -- I saw that medical
3 record, so yes." Question: "You did see that
4 medical record?" Answer: "Yes, I believe I did."
5 Question: "Okay. Did you note that in your
6 report?" Answer: "I did not put it in the report
7 because it was later. It was never followed up,
8 and nothing ever came of it." Question: "Okay,
9 so were you aware at the time you drafted the
10 report that there was a note of memory impairment
11 in the medical record?" Answer: "Yes."

12 Q Okay, so Dr. Carney testified that she saw
13 a medical record noting the memory impairment.

14 A Yes.

15 Q And she said that she did not put it in
16 her report.

17 A Yes.

18 Q And around line 16, it says, "I did not
19 put it in the report because it was later. It was
20 never followed up, and nothing ever came of it."
21 You saw that.

22 A Yes.

1 Q Is that a valid opinion in your opinion
2 for not mentioning these memory impairment
3 records?

4 A No.

5 Q And why not?

6 A There are different kinds of cognitive
7 problems. Some of them are more transient in
8 nature where someone will have a problem at one
9 time and then not at another. So even if
10 something is marked as resolved, it doesn't
11 necessarily mean the problem has completely gone
12 away or was unfounded.

13 Q Okay, and then -- yes, so I'm going to
14 hand you what's Exhibit 16.

15 (Deposition Exhibit Number 16 was marked
16 for identification.)

17 BY MR. PHILBIN:

18 Q And I'll represent to you that this is the
19 entire set of medical records that Dr. Carney said
20 she reviewed that have been Bates stamped CARNEY 1
21 through CARNEY 66. Does this look familiar to
22 you?

1 A Yes.

2 Q Did you have a chance to review this set
3 of records before your deposition?

4 A Yes.

5 Q Okay, and in this set of medical records,
6 did you find any record referring to memory
7 impairment --

8 A I didn't.

9 Q -- in the problem list?

10 A No.

11 Q Let me state that because we were speaking
12 over each other. Did you find any record in here
13 referring to memory impairment on her problem
14 list?

15 A No.

16 Q Or to memory impairment?

17 A No.

18 Q And -- okay. I'd like to go back to what
19 I think is Exhibit 10. So Exhibit 10 was the
20 sheet that's got blue and white bars on it, the
21 encounter list.

22 A Yes.

1 Q All right. Actually, I'll go to the
2 reverse side of that first, the one that's got the
3 Bates number PN 3, and this was one of the medical
4 records that had memory impairment listed on it,
5 and it gave the date, it was noted as 4/27/2022.
6 Do you see that?

7 A Yes.

8 Q And we go back to the first page of
9 Exhibit 10, the front page, okay, hold on one
10 second. Let me introduce another exhibit. So
11 what are we up to?

12 A Seventeen.

13 MR. PHILBIN: All right, this will be
14 Number 17.

15 (Deposition Exhibit Number 17 was marked
16 for identification.)

17 BY MR. PHILBIN:

18 Q Okay, so on Exhibit 17, if you go -- this
19 is -- well, can you describe what this is?

20 A This is a list of encounters inclusive of
21 the ones shown in Exhibit 10, but also dates
22 before and after that.

1 Q Okay, and if you go to the page that's
2 marked 126, PN_126 down in the bottom right-hand
3 corner --

4 A Yes.

5 Q Do you see there towards the middle of the
6 page an office visit dated 4/27/2022?

7 A Yes.

8 Q Okay, so does that date correspond to the
9 date on which the memory impairment was listed as
10 being noted in Exhibit 10?

11 A Yes.

12 Q Okay, but in the medical records that you
13 were provided, was there anything else, any record
14 of that office visit on April 27th, 2022?

15 A I don't think so.

16 Q Okay. You looked for it?

17 A Yes.

18 Q And you weren't able to find it.

19 A Correct.

20 Q Okay, so does that suggest to you that
21 there are other medical records in this time
22 period that were not in the set that was provided?

1 A It seems to be.

2 Q And going back to Exhibit 10, I think Mr.
3 Vecchione asked you on the front page about a
4 hospital visit on November 5th, 2023?

5 A Yes.

6 Q Because that date corresponds with the
7 date that the memory impairment was removed from
8 the problem list or it was resolved on the problem
9 list?

10 A Yes.

11 Q But can you tell from the medical records
12 you had anything about who said the problem was
13 resolved?

14 A No.

15 Q Or why it was resolved?

16 A No.

17 Q Or how or why it got removed from the
18 problem list?

19 A No.

20 Q Okay. Would you want to know that?

21 A Yes.

22 Q All right. Then -- all right, we'll have

1 to come back to that. Okay, okay, I think we're
2 up to 18.

3 (Deposition Exhibit Number 18 was marked
4 for identification.)

5 BY MR. PHILBIN:

6 Q And could you just tell us what this is?

7 A These are One Medical records about Judge
8 Newman with the date April 21st, 2024.

9 Q And if you could go to in the page that's
10 number 1133 in the lower right-hand corner, and on
11 this one, there might be -- I think the date you
12 gave, if you look at the front page, April 21st is
13 the date this was signed; is that correct?

14 A That's correct.

15 Q Okay.

16 A Date of service was April 18th.

17 Q Okay, and if we look at page 1133 at the
18 top, there's a line that says 4/17/2024. Do you
19 see that?

20 A Yes.

21 Q Okay, and then on that page, the first
22 thing on the page is a list of reviewed problems;

1 is that correct?

2 A Yes.

3 Q And towards the end of that list, is
4 memory impairment on this list?

5 A Yes.

6 Q So does that indicate to you that memory
7 impairment is back on the problem list as of April
8 of 2024?

9 A Yes.

10 Q But were you able to see anything in the
11 records about why?

12 A No.

13 Q Or who put it there?

14 A No.

15 Q Would you want to know that?

16 A Yes.

17 Q Okay, we talked a bit earlier about
18 fitness for duty reports and independent medical
19 evaluations, correct?

20 A Yes.

21 Q Okay. Do you do fitness for duty reports?

22 A Yes.

1 Q And independent medical evaluations?

2 A Yes.

3 MR. PHILBIN: Okay. Okay, I think this is
4 Exhibit 19.

5 (Deposition Exhibit Number 19 was marked
6 for identification.)

7 BY MR. PHILBIN:

8 Q And this is an excerpt from the deposition
9 of Dr. Carney. Take a minute to look at that.
10 Does that look familiar to you?

11 A Yes.

12 MR. VECCHIONE: Hold it. Did we just -- I
13 just got handed -- that's 19. Let me just make
14 sure. Yes, it starts at page 41 when you flip it
15 over?

16 THE WITNESS: That's right.

17 MR. VECCHIONE: Okay, thank you.

18 BY MR. PHILBIN:

19 Q Yeah, okay. Okay, I'd like to direct your
20 attention to page 45. Okay, about the middle of
21 the page at line 9, there's a question that says,
22 "Let's say you were asked to evaluate Judge

1 Newman's fitness for duty with respect to issues
2 related to attentiveness, decision-making, stamina
3 and judgment. What would you have done?" Do you
4 see that question?

5 A Yes.

6 Q And could you read the answer starting at
7 line 14?

8 A "We have tests that can be done for
9 attention span and memory. In terms of stamina,
10 the -- this battery of tests is quite lengthy,
11 although some -- some of these items can be
12 approximated by my evaluation. I would not say
13 that they are fitness for duty answers. For
14 complex decision-making, we can offer specific
15 tasks and specific memory tests, also some design
16 manipulation. So there are some specific tests
17 that can be used to address those types of
18 cognitive skills."

19 Q In your opinion, is what is being
20 described here in that answer, is that
21 neuropsychological testing?

22 A Yes, it is.

1 Q Then -- no, okay, do you recall

2 Dr. Carney's opinion in this matter?

3 A Yes.

4 Q And the conclusion that she reached?

5 A Yes.

6 Q And in your view, was the conclusion that
7 she stated in this matter an opinion on fitness
8 for duty?

9 A Yes.

10 Q Do you believe that she did the analysis
11 necessary to come up with a fitness for duty
12 conclusion?

13 A No.

14 Q Okay, and in fact, in this excerpt that
15 we've been discussing, did she say that she was
16 not intending to do a fitness for duty evaluation?

17 A Yes.

18 Q Okay, I think Mr. Vecchione asked you at
19 one point about [REDACTED], and do you know who
20 [REDACTED] is? Is that name familiar?

21 A Yes.

22 Q And who was she?

1 A Judge Newman's law clerk.

2 Q And does her name show up in a fair number
3 of the medical records?

4 A Yes.

5 Q If [REDACTED] -- and I believe Mr.
6 Vecchione asked a question of something along the
7 lines of if [REDACTED] had put in an affidavit or
8 declaration saying that Judge Newman is fine,
9 hasn't changed in five years, would that affect
10 your opinion, so I want to ask you if -- if [REDACTED]
11 [REDACTED] had put in a declaration saying that Judge
12 Newman's perfectly fine, I haven't seen any change
13 in five years, would that change your opinion in
14 this case about whether the other three physicians
15 have done a sufficient evaluation?

16 A No.

17 Q And it is your opinion in this case is
18 focused on whether those physicians had done
19 sufficient evaluation to render their opinions,
20 correct?

21 A Yes.

22 Q And also your opinion is that

1 neuropsychological testing, given the information
2 available, is warranted for Judge Newman.

3 A Yes.

4 Q And if [REDACTED] had said, again,
5 everything is fine, would that change your opinion
6 on whether neuropsychological testing for Judge
7 Newman is warranted?

8 A No, that's relatively common actually.

9 Q Okay, relatively common to have someone
10 who knows the person say that everything is fine?

11 A Yes.

12 MR. PHILBIN: Okay, so I'm going to -- are
13 we up to 20?

14 MR. VECCHIONE: That's what I have.

15 (Deposition Exhibit Number 20 was marked
16 for identification.)

17 BY MR. PHILBIN:

18 Q I've handed you what's been marked as
19 Exhibit 20, which is the deposition transcript --
20 oh, sorry. I think somehow -- I don't have a
21 copy.

22 MR. VECCHIONE: I kind of need this one.

1

2 MR. PHILBIN: All right.

3 MR. DOLIN: You can have mine.

4 BY MR. PHILBIN:

5 Q Thanks. Is this something that you had a
6 chance to review?

7 A Yes.

8 Q And --

9 MR. VECCHIONE: Objection. When? He said
10 he hasn't seen it.

11 BY MR. PHILBIN:

12 Q Did you have a chance to review this
13 during the lunch break?

14 MR. VECCHIONE: Okay.

15 THE WITNESS: Yes.

16 BY MR. PHILBIN:

17 Q And did you see any place in here where
18 [REDACTED] gives the opinion that Judge Newman is
19 fine and nothing's changed?

20 A No.

21 Q In fact, does [REDACTED] answer most
22 substantive questions by taking the Fifth

1 Amendment?

2 A Yes.

3 Q Okay. And having seen that, does -- is
4 [REDACTED] someone that you mentioned in your
5 report as someone that Dr. Filler should have
6 wanted to speak to?

7 A I think so.

8 Q And why was that?

9 A She seems to have a lot of close personal
10 knowledge to Judge Newman, and it would be one
11 important data point to explore.

12 Q And this -- this deposition transcript
13 shows that there was an effort to explore what she
14 knew. Isn't that fair?

15 A Yes.

16 Q Okay. Okay, I think Mr. Vecchione,
17 towards the end of our morning session, showed you
18 a number of medical records from various
19 providers, cardiologists, I think pulmonologists,
20 others, that included in them mental status
21 examination reviews. Do you recall that?

22 A Yes.

1 Q Okay. On a scale from perfunctory to
2 comprehensive, in your experience, in encounters
3 with physicians like that, is the mental status
4 exam something that's perfunctory or in depth?

5 A Perfunctory. Unless something's glaringly
6 obvious, it's usually not going to be put on
7 there.

8 Q And in your professional experience, do
9 you have patients come to you who had encounters
10 with other physicians who similarly have mental
11 status exams in those encounters that show
12 nothing, but then you find that there is a
13 significant cognitive impairment?

14 A Yes.

15 Q Does that happen frequently?

16 A Yes.

17 Q Okay, and then let's go back to Exhibit --
18 I think it was Exhibit 11, the article, or the
19 AAPL practice guide for the forensic evaluation of
20 psychiatric disability.

21 A Yes.

22 Q Do you recall that? And Mr. Vecchione

1 asked you a couple of questions about forced
2 fitness for duty evaluations?

3 A Yes.

4 Q Do you remember that?

5 A Yes.

6 Q This practice guide is specifically
7 directed at psychiatrists; is that correct?

8 A Correct.

9 Q And a fitness for duty evaluation's
10 related to a mental illness. Is that correct?

11 A Typically, yes.

12 Q And in your experience, would concern be
13 different for an employer potentially raising
14 fitness for duty issues based on mental illness
15 rather than cognitive impairment? Is it more of a
16 concern that this is something that is just a work
17 conflict in a psychiatric realm as opposed to
18 cognitive impairment realm?

19 A Typically psychiatric concerns are much
20 more subjective such that a cognitive concern is
21 typically going to be more grounded in someone's
22 performance or -- or e-mails or -- or something

1 else. So it's -- it's harder to make it up.

2 Q Okay. I'd like to go back to Exhibit 1,
3 which is your report, and if you go to page 33 of
4 33, it's the list of materials reviewed, and I
5 think it lists here -- I was counting up,
6 affidavit of [REDACTED], declaration of [REDACTED]
7 [REDACTED], affidavit of [REDACTED], affidavit of [REDACTED]
8 [REDACTED], affidavit of [REDACTED], affidavit of
9 [REDACTED], affidavit of [REDACTED], affidavit
10 of [REDACTED]. So is that -- if I'm counting
11 correctly, is that eight different people?

12 A Did you have [REDACTED] on there?

13 Q I might have skipped him. Yeah, you're
14 right, I did. So it's nine different people. Is
15 that right?

16 A Yes.

17 Q And some of them, like [REDACTED], had
18 two affidavits; is that correct?

19 A Yes.

20 Q Okay. And then in addition to the
21 affidavits, there are a number of e-mails listed
22 here; is that correct?

1 A Yes.

2 Q And you reviewed those e-mails?

3 A Yes.

4 Q And relied on them in part in forming your
5 conclusions.

6 A Yes.

7 Q And did those e-mails include direct
8 e-mail exchanges with Judge Newman?

9 A Yes.

10 Q And did Judge Newman's behavior in those
11 e-mail exchanges raise concerns that supported
12 your view that neuropsychological testing is
13 warranted?

14 A Yes.

15 Q Okay, so given that there was this many
16 corroborating e-mail -- corroborating affidavits,
17 and the e-mail evidence directly from Judge
18 Newman, did you have any concern that this was a
19 situation in which cognitive impairment issues
20 were being raised in a contextual way?

21 A No, it did not seem to me that there was a
22 fabricated attempt at saying she had cognitive

1 impairment. On the contrary, it seemed like
2 multiple sources were indicating concerns.

3 Q Okay, and to have declarations or
4 affidavits from nine different people who are
5 co-workers, in your experience, is that something
6 that's a lot of information that's available or
7 not a lot? Where in the spectrum in terms of
8 cases that you see would that fall?

9 A I would say it's more than usual.

10 Q Mr. Vecchione I think asked you a couple
11 times about a law review article that was
12 mentioned in Dr. Rothstein's report. Do you
13 remember that?

14 A Yes.

15 Q And it's a law review article by a person
16 named Michaels, and I'd like you to assume that --

17 MR. DOLIN: Last name Michaels?

18 BY MR. PHILBIN:

19 Q Yes, last name Michaels. It's Law
20 Professor Michaels wrote the article, and I'd like
21 you to assume that this article says -- and I'll
22 represent to you that Professor Michaels is a

1 former clerk of Judge Newman's. I'd like you to
2 assume that this article says Judge Newman's
3 opinions are great, these opinions continue to
4 show high quality. Would that in any way affect
5 your opinions in this case?

6 A No.

7 Q Okay. Why not?

8 A It's -- well, similar to my answer
9 earlier, it's not an objective determination of
10 her abilities. There's no way to determine
11 objectively any deficits from that. Also, there's
12 a preexisting relationship that can introduce a
13 lot of bias into the person's opinion.

14 MR. PHILBIN: Okay. Okay, I think we're
15 on Exhibit 21.

16 (Deposition Exhibit Number 21 was marked
17 for identification.)

18 BY MR. PHILBIN:

19 Q Okay, I've handed you what's been marked
20 as Exhibit 21, which is the affidavit of [REDACTED]
21 [REDACTED]. Do you recognize this?

22 A Yes.

1 Q And this is one of the affidavits listed
2 on page 33 of your report, is it not?

3 A That's correct.

4 Q I'd like to direct your attention to page
5 9, paragraph 37. Could you read the first
6 sentence?

7 A It reads, "I would like to say that I
8 love, revere and admire Judge Newman personally
9 and professionally for all her accomplishments and
10 who she is as a person, which makes the last few
11 months so much more difficult."

12 Q Okay, and did that have any bearing on
13 your assessment of whether these affidavits were
14 being fabricated or being gemmed up by people as
15 part of a workplace conflict?

16 A That -- that makes it less likely that
17 it's simply a result of a workplace conflict or a
18 forced evaluation.

19 MR. PHILBIN: Okay. I think those are all
20 the questions that I have.

21 FURTHER EXAMINATION BY COUNSEL FOR
22 JUDGE PAULINE NEWMAN

1 BY MR. VECCHIONE:

2 Q All right, a few follow-ups from me.

3 First, Dr. Carney gave Judge Newman a memory test,
4 and she didn't miss any of the words, correct?

5 A Judge Carney asked her to --

6 Q Dr. Carney. Judge Newman, I've been doing
7 it all day too. Go ahead.

8 A Thank you. Dr. Carney asked her to
9 remember some words, and she had to repeat them a
10 few minutes later, correct.

11 Q All right, and all right. In
12 Dr. Rothstein's testimony, he said that -- or
13 assume he said -- I'm not going to read it again,
14 that he had had his encounters with Judge Newman
15 something like 20 years before. Does that change
16 your opinion in any way?

17 A No.

18 Q Okay, and so contact with her decades
19 before still does something to make his opinions
20 suspect?

21 A Well, it seems like the contact with his
22 wife was more recent, so it's kind of a important

1 proxy relationship that is still considered
2 personal in my view.

3 Q All right. Now, so you were just asked by
4 your attorney the questions about the practice
5 guide being for psychiatrists, but the parts I
6 read to you about concerns about doing a forced
7 exam for fitness, fitness for duty exam, those
8 apply whether it's psychiatry or psychology, don't
9 they?

10 A Correct, but in the article, you can see
11 when they're talking about neuropsychological
12 testing, they do differentiate it.

13 Q Okay. Now, so I think we've just gone
14 through, you believe that neuropsychological
15 testing's warranted because of these affidavits
16 and because of the e-mails you've seen, correct?

17 A Those are some of the reasons, yes.

18 Q Okay. Now, I asked you earlier -- well,
19 I'll put it this way. Those declarations were all
20 obtained by the Judicial Council, who reports to
21 Judge Moore in one way or another, and I have seen
22 those affidavits, and I have seen your opinion

1 that certain of these other examinations weren't
2 done up to standards you approve of, but I haven't
3 seen anyone who doesn't work for the Judicial
4 Council put in any information that Judge Newman
5 is not up to her job or needs further evaluation.
6 Have you seen anything?

7 A Yeah, I would disagree with that.

8 Q Why's that?

9 A Judge Newman put information that showed
10 concern in her own e-mails where she's showing
11 very significant memory problems from days
12 earlier.

13 Q Okay, from the -- from the e-mails you saw
14 and from how they were characterized to you, you
15 think those were memory problems.

16 A Yes.

17 Q Okay. Now -- so in this case, are you
18 aware that the case of every other judge who's
19 ever been -- federal judge who's ever come to this
20 level of investigation for either psychiatric or
21 dementia problems, cognitive problems, that the
22 inquiry has been referred to another circuit

1 rather than the one that she sits on?

2 A No.

3 Q And you weren't aware of that.

4 A No.

5 Q And the fact that every other time this
6 has happened, that judicial circuit has moved it
7 to another judicial circuit gives you no pause as
8 to whether or not -- whether or not the inquiry is
9 unbiased or not?

10 MR. PHILBIN: Object.

11 A That's not my area of expertise. My
12 expertise is determining in this case whether
13 there's been a sufficient investigation from a
14 cognitive standpoint to conclude that she does not
15 need any further testing, and my opinion is that
16 there needs to be further testing in this case.

17 Q All right, and if there is further
18 testing, who's the judge of whether she comes off
19 of this disability that has been inflicted upon
20 her?

21 MR. PHILBIN: Objection, misstates the
22 facts.

1 A Like any fitness for duty evaluation that
2 I do, the job or me or anyone that's doing it in a
3 ethical way would be to give the opinion, and the
4 decisions made after that are not my or the
5 evaluator's to do, so we give an opinion, and --
6 and that's where it goes.

7 Q All right. You were asked at the
8 beginning of your redirect when your lawyer
9 started asking you questions, you were asked about
10 what you were comparing the judge to, and it's
11 this large group of people by age and sex and
12 things like that. In this case, would you be
13 comparing her to people of her -- is she like the
14 pilots, or is -- is she like a regular fitness for
15 duty?

16 A So this is a very unique case. I have
17 seen people in their 90s and people over 100
18 before. Given what we're doing here, it's
19 important to do more than one thing. So the
20 answer's yes to all of those. So I would compare
21 her scores to other people of her same age and
22 education, and preferably I'd also like to have

1 another group of highly educated people to compare
2 it to. There wouldn't necessarily be definitive
3 determinations from that, but it would be part of
4 the -- the clinical answer.

5 Q All right, and I think we asked about the
6 affidavits we have seen, but certainly for this
7 inquiry and figuring out what the job of a judge
8 is, would you take statements from the other
9 judges who sit with her on what those duties are?

10 MR. PHILBIN: Objection.

11 A I don't know how I would know any
12 information otherwise.

13 Q And I could have sworn I had Rothstein.
14 One second. Do you have a Rothstein? I have it.
15 I have it here. All right, I'd like you to turn
16 to -- pardon me. It's a new phone, but I don't
17 know how to turn off the sound yet. I got it two
18 days ago. All right, I think it's Exhibit 14,
19 deposition of Rothstein.

20 MR. PHILBIN: Which page?

21 BY MR. VECCHIONE:

22 Q It's Number 14, and it starts with page

1 112.

2 A Okay.

3 Q So 14, on page 112, just to show the first
4 -- the last page of the -- of Exhibit 14 --

5 A Yes.

6 Q He's asked his opinion on page 112, lines
7 6 to 12. It says, "So in your report, let's look
8 at that on page 2, the second to last paragraph.
9 It's the last clause of the paragraph. Could you
10 read that?" Answer: "She could have a more
11 detailed neuropsychological evaluation as part of
12 her neurological assessment," right?

13 A Yes.

14 Q But he didn't say she had to, correct?

15 A Yes, it's very strange wording.

16 Q Okay. All right, and my -- so you have
17 throughout your testimony, is that you have said
18 that the fact that the Supreme Court reversed her
19 fellow judges and upheld her decision, the fact
20 that another practitioner besides Dr. [REDACTED] did
21 an analysis of her opinions and found that they
22 have not deteriorated in quality, and the fact

1 that Dr. Filler, who's appeared before her, has
2 seen no change in her judicial demeanor or the way
3 she judges or her cognitive abilities, that all
4 those people who know about the law and what
5 judges do, their contributions are not properly
6 cognizable, but the affidavits found by --
7 submitted by the Judicial Council after Judge
8 Moore asked for them are. Is that correct?

9 MR. PHILBIN: Objection, form.

10 A Well, the -- the initial part of your
11 question, all the people that saw her and looked
12 at her opinions, that's not an objective way to
13 look at things. The question is not have her
14 opinions declined in quality. The question is
15 does she have a disabling condition that could
16 affect her ability to do her job. The best way to
17 measure that is from objective tests that limit
18 sources of error as much as possible that could be
19 compared to other known groups that can directly
20 assess things like memory and problem-solving and
21 provide an answer that way.

22 Q So for a judge, as a baseline here, it's

1 more important that they don't have forgetfulness
2 in their medical records than that their judicial
3 opinions are upheld by the Supreme Court?

4 A As I mentioned earlier, there's not a good
5 way of knowing what went into that judicial
6 opinion. Countless people work on them at that
7 level, I'm assuming, and looking at someone's work
8 product is not a good way to do that.

9 MR. VECCHIONE: I don't have any further
10 questions.

11 FURTHER JUDICIAL COUNCIL OF THE FEDERAL CIRCUIT
12 BY MR. PHILBIN:

13 Q Okay, just quickly, on the excerpt from
14 the Rothstein deposition that Mr. Vecchione
15 pointed you to, I think it was Exhibit 14.

16 A Yes.

17 Q And it's page 112, and he had you -- he
18 pointed out to you that Dr. Rothstein said in his
19 report she could have a more detailed
20 neuropsychological evaluation as part of
21 neurological assessment. Do you see that?

22 A Yes.

1 Q But then what is the next question and
2 answer?

3 A Question: "And you recommended to her
4 that she should do that?" Answer: "Yes, I did."

5 Q So he did recommend that she should have
6 neuropsychological testing. Isn't that right?

7 A Yes.

8 Q Okay, and does it -- the fact that
9 Dr. Rothstein, who had a personal relationship
10 with her, had known her for a long time, did
11 recommend that she should have neuropsychological
12 testing alleviate any possible concern in your
13 mind that this is something that is just created
14 in the workplace or workplace conflict created
15 against Judge Newman?

16 A It certainly makes it less likely.

17 Q And Mr. Vecchione suggested a couple times
18 that if Judge Newman's opinions are -- other
19 people evaluate her opinions as being fine, that
20 that should carry great weight. I just want to
21 explore that for a second, because one of the
22 issues with that, that there's no way of knowing

1 how much other people contribute to the opinions?

2 A Yes.

3 Q And you understand that [REDACTED] is one
4 of her law clerks.

5 A Yes.

6 Q Correct? And so law clerks may be doing
7 first drafts of opinions for the judges, for all
8 you know, correct?

9 A Yes.

10 Q Okay, and there's no way then to sort out
11 how much work has been done by a clerk or in
12 reviewing the opinion or how much is directly from
13 Judge Newman; is that right?

14 A Right, that's one thing I've done before.
15 At least one previous judge that I evaluated,
16 after the evaluation, I went back to the committee
17 that referred the judge and asked questions about
18 the ins and outs of what that judge was going to
19 be doing. So the answer one of the questions
20 earlier too, it's not necessarily just the job
21 description. It's I as the evaluator have the
22 option, the ability to ask the committee more

1 questions about what's done.

2 Q Okay, and you saw the transcript of the
3 deposition of [REDACTED], correct?

4 A Yes.

5 Q So is it fair to say that there's --
6 attempts were made to find out from her about what
7 she knows about Judge Newman, what work she does
8 for Judge Newman, yes?

9 A Yes.

10 Q But she refused to answer.

11 A Correct.

12 MR. PHILBIN: Okay.

13 FURTHER EXAMINATION BY COUNSEL FOR

14 JUDGE PAULINE NEWMAN

15 BY MR. VECCHIONE:

16 Q I just have one other question. We don't
17 know what Judge Moore or anyone else on the
18 federal circuit used their clerks for or how much
19 they write their opinions either, do we?

20 A Correct.

21 MR. VECCHIONE: I think I have no further
22 questions.

1 MR. PHILBIN: Nothing further from us
2 either.

3 MR. VECCHIONE: Thank you, Doctor.

4 MR. PHILBIN: We would like to review and
5 sign.

6 (Off the record at 2:51 p.m.)
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

ACKNOWLEDGMENT OF DEPONENT

I, Jonathan DeRight, Ph.D., do hereby
acknowledge that I have read and examined the
foregoing testimony, and the same is a true,
correct and complete transcription of the
testimony given by me, and any corrections appear
on the attached errata sheet signed by me.

(DATE)

(SIGNATURE)

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC

2 I, Karen Young, the officer before whom
3 the foregoing deposition was taken, do hereby
4 certify that the foregoing transcript is a true
5 and correct record of the testimony given; that
6 said testimony was taken by me stenographically
7 and thereafter reduced to typewriting under my
8 supervision; and that I am neither counsel for or
9 related to, nor employed by any of the parties to
10 this case and have no interest, financial or
11 otherwise, in its outcome.

12 IN WITNESS WHEREOF, I have hereunto set my
13 hand and affixed my notarial seal this 26th day of
14 June, 2025.

15 
16

17
18 NOTARY PUBLIC IN AND FOR
19 THE DISTRICT OF COLUMBIA

20
21 My commission expires:
22 September 14, 2029

A			
a-m-i-s	acceptance	160:20	affecting
16:15	52:11	additional	25:13
aapl	accommodation	19:7, 22:2,	affidavit
6:1, 18:19,	31:19	48:11, 74:5,	6:21, 61:9,
118:1, 158:19	accomplishments	85:5	62:1, 154:7,
aaron	164:9	address	160:6, 160:7,
5:7, 5:9	according	152:17	160:8, 160:9,
abbreviated	93:16	adds	163:20
79:20	account	114:21	affidavits
abilities	61:12, 65:11	██████	51:16, 60:21,
25:8, 25:22,	accurate	160:9	61:21, 62:4,
27:4, 27:7,	8:8, 42:5,	adhere	63:2, 64:1,
27:8, 30:4,	112:8, 133:9	32:9	64:4, 64:5,
42:18, 65:8,	accurately	adjust	64:9, 64:19,
67:1, 71:8,	84:17	28:14	65:15, 66:7,
71:10, 163:10,	accused	adjusted	74:7, 83:9,
172:3	70:7, 131:11	28:11, 85:17	125:9, 160:18,
ability	achieved	adls	160:21, 161:16,
8:8, 27:4,	81:14, 82:21	116:5, 117:6	162:4, 164:1,
41:13, 52:4,	acknowledge	administer	164:13, 166:15,
53:2, 79:9,	178:3	44:15, 54:2,	166:22, 170:6,
98:7, 120:4,	acknowledgment	83:5, 137:1	172:6
126:10, 129:1,	178:1	administered	affirmed
130:10, 172:16,	across	28:5, 28:8,	131:9
175:22	100:10	44:13, 44:21,	affixed
able	act	47:20, 78:11,	179:13
23:6, 29:2,	138:14	83:2, 84:15,	after
41:20, 42:17,	activities	94:5, 94:6,	20:19, 32:7,
115:11, 117:3,	116:6	133:21, 134:5,	35:6, 35:11,
119:9, 135:22,	activity	135:6	54:13, 59:10,
147:18, 150:10	52:5, 116:9	administering	92:14, 111:5,
abnormal	acts	45:13, 48:14,	146:22, 169:4,
100:16, 102:22	67:5	136:17, 137:5	172:7, 175:16
abnormality	actual	admire	again
56:3	19:10	164:8	15:3, 39:8,
above	actually	admission	41:1, 45:12,
78:1	54:6, 89:18,	113:13	46:9, 50:22,
absence	112:13, 146:1,	advanced	54:14, 54:17,
59:13, 66:6,	155:8	81:6, 93:17	63:1, 76:15,
86:12	acuity	adverse	78:3, 82:19,
absolutely	79:3	68:16	82:20, 83:3,
37:7	add	affect	90:2, 91:6,
abuse	38:17, 89:9,	8:8, 54:3,	93:14, 93:21,
68:17	89:11, 93:7,	65:9, 101:14,	101:22, 102:19,
academy	111:13, 128:17	101:17, 104:7,	104:12, 104:15,
18:22	added	107:12, 140:17,	105:7, 107:17,
	14:16, 89:14	154:9, 163:4,	108:8, 109:9,
	addition	172:16	109:20, 111:18,
	26:6, 72:3,		

112:3, 116:13, 130:1, 140:8, 155:4, 165:13 against 27:16, 27:17, 27:21, 174:15 age 28:11, 28:12, 28:14, 133:1, 133:6, 169:11, 169:21 ago 16:16, 38:18, 135:21, 170:18 agree 45:1, 63:9, 69:19, 75:5, 86:4, 96:16, 109:19, 129:11 ah 11:9, 128:5 ahead 140:2, 165:7 air 114:8 alcohol 68:16 alert 100:21, 101:2, 105:17, 106:2, 108:11, 125:4, 125:14 alertness 114:3 alleviate 174:12 alliance 3:15, 3:21 allow 44:8 allows 56:4 almost 13:2, 17:11, 50:9, 68:19, 68:21 alone 92:3	along 14:19, 154:6 already 23:14, 119:7, 124:13 also 3:20, 26:9, 28:22, 31:13, 34:13, 35:20, 36:9, 38:17, 43:19, 52:14, 52:21, 58:4, 60:3, 63:19, 73:15, 84:4, 84:14, 84:15, 87:17, 94:14, 98:2, 98:5, 99:14, 103:9, 103:18, 114:20, 138:18, 139:5, 146:21, 152:15, 154:22, 163:11, 169:22 alternative 93:10 alternatively 72:2 although 116:10, 117:13, 152:11 always 13:2, 17:11, 79:11, 101:10 alzheimer's 39:18, 46:17, 46:18, 46:22, 62:16, 84:15 amendment 157:1 american 18:21 amis 16:15 amount 10:3, 42:13, 83:14 analogous 59:1, 60:10,	124:1 analysis 52:5, 60:22, 63:5, 80:9, 80:10, 80:15, 84:12, 94:11, 102:5, 109:11, 129:17, 131:17, 153:10, 171:21 ankle 83:15 anosognosia 62:17 another 59:14, 61:7, 63:21, 64:7, 70:5, 122:22, 134:6, 137:12, 139:1, 140:19, 144:9, 146:10, 166:21, 167:22, 168:7, 170:1, 171:20 answer 9:5, 9:6, 23:13, 34:8, 34:10, 57:4, 79:6, 79:7, 83:14, 84:18, 109:8, 135:13, 136:4, 137:15, 137:19, 139:6, 139:10, 139:14, 143:2, 143:4, 143:6, 143:11, 152:6, 152:20, 156:21, 163:8, 170:4, 171:10, 172:21, 174:2, 174:4, 175:19, 176:10 answer's 169:20 answered 123:21 answering 8:16, 50:20 answers 152:13	anxiety 108:19 any 8:6, 8:7, 8:10, 9:10, 10:15, 12:7, 13:6, 14:11, 14:13, 15:2, 20:8, 20:22, 35:8, 36:16, 45:7, 45:9, 48:8, 50:14, 53:7, 54:10, 55:4, 62:3, 62:4, 64:18, 64:22, 65:8, 66:2, 66:16, 66:17, 69:18, 74:8, 74:17, 78:3, 78:4, 78:5, 79:21, 81:13, 86:6, 87:6, 87:7, 89:5, 89:9, 90:3, 90:15, 90:21, 91:2, 92:16, 93:3, 93:5, 94:9, 96:4, 98:8, 98:9, 102:9, 105:21, 107:5, 107:18, 109:9, 109:11, 112:3, 115:15, 116:9, 117:9, 118:21, 119:6, 125:8, 127:5, 127:18, 129:18, 130:1, 130:4, 132:1, 140:16, 145:6, 145:12, 147:13, 154:12, 156:17, 161:18, 163:4, 163:11, 164:12, 165:4, 165:16, 167:4, 168:15, 169:1, 170:11, 173:9, 174:12, 178:6,
---	---	--	--

179:9 anymore 77:10 anyone 14:21, 27:3, 65:20, 66:3, 96:9, 96:18, 137:4, 167:3, 169:2, 176:17 anything 9:11, 14:9, 15:20, 18:11, 19:13, 20:21, 35:6, 59:6, 65:18, 67:8, 73:1, 75:2, 89:15, 90:21, 96:4, 100:16, 103:9, 103:17, 112:3, 120:5, 120:12, 123:14, 127:14, 141:15, 147:13, 148:12, 150:10, 167:6 anything's 35:15 anyway 85:12 anywhere 93:22 appeals 1:2 appear 41:22, 101:17, 115:22, 178:6 appeared 172:1 appears 45:3, 64:14, 82:22, 114:12, 114:15 appl 128:12 apple 18:19 apples 28:9, 28:10, 29:3, 29:4	applied 80:4, 81:10 applies 81:2 apply 25:3, 166:8 appointed 138:2 appointment 76:21 appropriate 33:15, 36:19, 78:20, 82:8, 83:4, 104:7, 107:12, 114:5, 129:14, 130:5, 131:6 appropriately 119:2 approve 167:2 approximated 152:12 april 15:7, 113:14, 147:14, 149:8, 149:12, 149:16, 150:7 arbiter 128:14 area 45:2, 73:3, 168:11 areas 97:7 aren't 47:6, 76:6 argued 36:9, 36:21, 61:9 arguing 54:6 argument 37:1 arguments 52:6 arlington 3:17	around 81:17, 135:8, 143:18 arousal 114:3 article 18:14, 158:18, 162:11, 162:15, 162:20, 162:21, 163:2, 166:10 articles 14:2 aside 86:5, 87:11, 105:5, 117:21 asked 8:19, 9:13, 12:18, 15:14, 44:4, 53:5, 53:10, 63:20, 79:2, 79:5, 125:7, 148:3, 151:22, 153:18, 154:6, 159:1, 162:10, 165:5, 165:8, 166:3, 166:18, 169:7, 169:9, 170:5, 171:6, 172:8, 175:17 asking 32:18, 43:9, 67:15, 91:7, 123:3, 123:14, 132:13, 169:9 aspect 97:7, 128:13 assess 32:12, 61:13, 71:9, 78:20, 131:7, 172:20 assessed 88:13 assessment 21:18, 23:1, 52:16, 59:14, 71:21, 72:17, 116:14, 116:20,	127:18, 133:16, 164:13, 171:12, 173:21 assessments 109:6, 123:22 assistant 31:15 associated 69:11 assume 9:1, 162:16, 162:21, 163:2, 165:13 assuming 29:18, 45:20, 121:11, 134:4, 173:7 attached 5:2, 122:5, 178:7 attachments 20:4 attainment 81:6, 85:18 attempt 121:6, 121:13, 161:22 attempts 176:6 attending 106:4, 108:22 attention 68:10, 110:11, 114:10, 151:20, 152:9, 164:4 attentiveness 152:2 attestation 108:22 attorney 9:3, 118:4, 131:19, 166:4 attorneys 19:2, 64:18 august 42:3, 42:11 availability 15:14, 15:17
--	---	---	---

available 44:16, 94:9, 98:14, 155:2, 162:6 awake 105:17, 106:1 aware 47:5, 57:17, 58:15, 70:5, 70:10, 70:12, 70:14, 115:6, 115:8, 143:9, 167:18, 168:3 awareness 62:15, 115:4 away 9:5, 87:13, 144:12	117:3 basis 119:1 bates 88:9, 99:1, 113:8, 144:20, 146:3 battery 132:19, 152:10 bearing 98:6, 164:12 bears 19:15 because 8:12, 8:19, 25:21, 28:14, 33:7, 37:10, 38:3, 38:10, 45:12, 56:22, 57:7, 61:21, 66:6, 67:16, 70:21, 71:11, 72:5, 72:10, 77:17, 83:13, 83:15, 86:9, 87:15, 87:17, 95:6, 97:21, 98:12, 100:12, 128:2, 128:21, 135:10, 137:1, 138:3, 140:9, 141:14, 143:7, 143:19, 145:11, 148:6, 166:15, 166:16, 174:21 becomes 60:9, 60:11 been 7:5, 7:15, 9:9, 9:14, 10:10, 11:19, 12:4, 16:3, 16:19, 16:22, 27:10, 28:5, 38:21, 40:21, 40:22, 49:5, 52:8, 53:19, 56:15, 60:3, 60:4,	61:1, 66:11, 68:8, 79:10, 80:6, 82:5, 83:1, 89:2, 98:3, 105:6, 123:20, 125:8, 131:10, 131:21, 132:20, 132:22, 133:12, 135:21, 139:5, 144:20, 153:15, 155:18, 163:19, 165:6, 167:19, 167:22, 168:13, 168:19, 175:11 before 1:1, 2:13, 7:15, 8:17, 9:14, 17:18, 36:9, 49:10, 54:6, 61:1, 61:10, 65:1, 67:6, 72:18, 76:20, 77:1, 84:18, 97:10, 98:5, 99:1, 108:13, 112:14, 118:17, 118:18, 135:2, 137:17, 142:17, 145:3, 146:22, 165:15, 165:19, 169:18, 172:1, 175:14, 179:2 beforehand 98:10 beginning 58:12, 67:12, 169:8 behalf 3:2, 3:12, 11:7, 11:21 behavior 47:9, 101:20, 161:10 behaviors 40:11 behold 85:13	being 12:18, 34:20, 53:6, 54:4, 58:10, 61:20, 70:6, 87:12, 89:21, 147:10, 152:19, 161:20, 164:14, 166:5, 174:19 believe 15:17, 17:21, 68:14, 83:2, 83:8, 84:19, 88:22, 111:4, 118:6, 138:3, 143:4, 153:10, 154:5, 166:14 believed 104:10 below 80:6, 95:9, 116:10 belt 116:11 bench 130:9 besides 14:20, 18:12, 171:20 best 172:16 better 52:13, 119:9, 128:13 between 23:22, 33:12, 119:10, 122:22 bias 163:13 bill 82:16 bit 33:9, 60:19, 96:1, 138:10, 150:17 blanket 96:7 blind 79:22
B			
back 20:1, 39:4, 53:13, 55:22, 57:10, 72:9, 72:11, 113:2, 130:20, 140:21, 145:18, 146:8, 148:2, 149:1, 150:7, 158:17, 160:2, 175:16 backwards 82:2 balance 103:9 barnes 47:22 bars 145:20 based 39:8, 43:20, 52:5, 63:15, 86:13, 159:14 baseline 25:22, 30:4, 100:22, 101:7, 172:22 basic 73:19, 95:12,			

blink 114:9 blip 89:15 blood 92:20 blue 145:20 board 11:13, 138:4 body 52:22, 116:1, 130:15 boil 67:21 bolster 140:18 book 47:22 boss 63:20, 97:10 both 8:13, 20:1, 24:17, 43:18, 107:8, 107:9, 123:16, 129:11 bottom 30:20, 42:20, 68:10, 99:3, 99:15, 105:13, 113:8, 147:2 boxed 42:21 boxes 103:15 brain 39:16, 56:2, 99:19, 100:2, 103:6 break 9:7, 19:9, 55:16, 95:15, 112:13, 113:3, 132:2, 156:13 breaks 9:10 bright 42:17	brighter 39:1 broke 83:15 broken 44:3, 83:18, 135:16 brought 82:5, 87:18, 119:19, 130:14, 130:15 built 129:7 bullet 141:6 business 37:13 butters 55:4 buy 47:22 <hr/> C <hr/> calendar 15:18 california 26:7 call 18:19, 28:3, 42:21 called 15:9, 16:5, 26:1, 26:11, 58:20, 79:22, 98:22, 100:12, 103:21 calls 110:1 came 58:4, 69:21, 86:2, 137:18, 143:8, 143:20 can't 8:21, 11:2, 27:9, 27:13, 31:9, 33:5, 52:18, 52:19, 59:18, 63:7,	64:7, 75:20, 76:11, 77:10, 77:12, 77:13, 86:13, 91:9, 91:15, 91:20, 93:12, 111:22, 121:16, 122:7, 124:3 ██████████ 5:14, 102:14 candidates 138:5 cannot 33:4 car 41:13, 131:14 card 26:18 cardiologist 99:9, 99:22, 102:9 cardiologists 157:19 care 22:15, 91:15, 91:20 careful 58:13, 62:13 caregiver 91:12 carney 6:11, 6:14, 6:19, 13:21, 14:1, 14:9, 15:3, 24:19, 50:15, 80:19, 80:20, 81:5, 82:10, 84:15, 86:17, 91:22, 119:16, 139:18, 142:5, 143:12, 144:19, 144:21, 151:9, 165:3, 165:5, 165:6, 165:8 carney's 94:22, 142:13, 153:2	carney_1 144:20 carolina 10:13 carry 174:20 carrying 130:22 cars 41:12 case 11:5, 11:8, 11:20, 15:6, 16:1, 16:13, 16:20, 19:17, 28:18, 28:20, 38:8, 44:1, 50:12, 50:13, 51:14, 56:7, 56:15, 56:18, 58:10, 62:20, 63:2, 64:9, 64:19, 65:4, 71:4, 72:15, 85:21, 96:3, 119:2, 129:16, 140:17, 154:14, 154:17, 163:5, 167:17, 167:18, 168:12, 168:16, 169:12, 169:16, 179:10 cases 9:16, 9:17, 9:20, 9:21, 12:4, 17:6, 28:13, 36:9, 36:21, 37:15, 61:9, 74:21, 92:2, 129:11, 162:8 category 26:16 causality 56:5 causation 56:8, 119:20 cause 30:1, 56:20,
--	---	---	---

68:12, 114:8, 129:1 caused 78:4 causes 39:16 cautionary 58:12 caveat 59:7 cdr 84:21 center 138:3 ceo 129:3 certain 26:15, 28:18, 33:12, 89:20, 167:1 certainly 9:8, 42:9, 58:7, 58:15, 109:10, 125:20, 170:6, 174:16 certainty 24:14, 86:19 certificate 179:1 certify 179:4 chance 134:21, 142:16, 145:2, 156:6, 156:12 change 20:21, 104:2, 154:12, 154:13, 155:5, 165:15, 172:2 changed 14:10, 71:2, 154:9, 156:19 changes 39:6, 65:8, 134:8 characterized 167:14	charge 67:21, 76:11 charged 13:15, 17:2, 61:5, 66:21, 75:15 charging 13:9 chase 3:5 check 19:7, 53:13, 74:10, 103:15 checked 103:13, 103:16, 103:18 checking 104:15 checklist 58:9 chills 92:21 choosing 44:17 chose 44:18 chronic 69:10 circuit 1:3, 3:3, 132:8, 167:22, 168:6, 168:7, 173:11, 176:18 circumstances 123:22, 136:6 civil 3:15, 3:21, 9:21, 16:11, 17:17, 17:18 claiming 121:14 claims 82:13 clarifications 9:2 clarify 8:19 clause 171:9	clean 82:16, 89:11 cleaned 111:9 clear 65:14, 128:2, 136:16 clerk 65:5, 74:9, 109:16, 154:1, 163:1, 175:11 clerks 64:11, 175:4, 175:6, 176:18 client's 127:15 clinic 5:17, 22:14 clinical 7:13, 21:14, 21:16, 21:20, 21:21, 21:22, 22:10, 29:9, 29:14, 29:16, 41:20, 45:17, 45:18, 59:14, 84:16, 84:20, 123:11, 123:13, 126:13, 127:2, 170:4 clinician 49:5, 49:18 clinicians 52:15 clock 44:4, 44:14, 45:6, 45:7, 78:13, 78:20, 79:4, 79:10, 79:11, 135:22, 136:7, 137:5 close 157:9 co-worker 63:21, 97:10 co-workers 61:7, 61:21, 67:2, 96:5,	162:5 coffee 106:10 cog 94:5 cognition 75:22, 113:17 cognitive 17:5, 17:7, 17:9, 17:11, 24:14, 25:6, 30:6, 31:2, 32:10, 32:13, 35:3, 38:16, 40:13, 40:16, 41:20, 42:6, 42:15, 48:20, 48:22, 49:6, 49:22, 53:2, 57:1, 57:3, 58:18, 59:11, 59:16, 59:18, 60:4, 60:5, 60:11, 61:3, 65:8, 68:12, 69:4, 69:11, 69:18, 70:7, 70:19, 74:17, 76:17, 76:22, 78:2, 79:18, 79:19, 80:7, 81:7, 82:4, 82:9, 82:11, 82:16, 85:5, 85:7, 85:13, 85:15, 85:16, 86:20, 93:22, 94:17, 96:18, 102:10, 113:19, 120:19, 123:10, 130:8, 133:16, 144:6, 152:18, 158:13, 159:15, 159:18, 159:20, 161:19, 161:22, 167:21, 168:14, 172:3 cognizable 172:6
---	--	---	---

cohort 133:5 cold 93:1, 93:11 collateral 25:12 colleagues 70:16 collect 64:7 collection 110:8 columbia 2:14, 179:19 come 14:13, 22:13, 26:13, 29:10, 29:15, 29:20, 30:19, 43:12, 53:7, 63:1, 85:9, 85:12, 100:10, 149:1, 153:11, 158:9, 167:19 comes 29:16, 49:16, 63:7, 120:2, 168:18 comfortable 28:15 coming 45:18, 80:18, 97:22 commands 114:22, 115:2 comment 86:11 commenting 76:1 comments 89:21 commission 179:21 committee 11:21, 13:15, 65:18, 175:16, 175:22 committees 58:4	common 39:18, 57:2, 73:16, 85:8, 155:8, 155:9 community 52:12 company 11:3, 11:9 compare 23:6, 25:10, 28:9, 29:17, 30:16, 31:2, 53:22, 71:6, 133:4, 169:20, 170:1 compared 42:11, 134:6, 172:19 comparing 27:1, 27:2, 28:4, 53:11, 132:14, 169:10, 169:13 comparison 26:4, 27:7, 28:21, 29:1, 133:10, 134:9 competency 12:19 compilation 118:13 compiled 63:2, 63:3, 74:18 complaining 130:17 complaint 1:7, 141:14 complaints 82:17 complete 117:3, 178:5 completely 128:19, 144:11 completeness 20:3 complex 152:14	component 46:10 comprehensive 23:8, 25:5, 25:6, 50:5, 77:7, 77:15, 82:9, 83:6, 97:16, 123:13, 130:4, 131:3, 140:20, 158:2 comprehensively 111:11 comprehensiveness 32:11 concern 49:3, 49:17, 49:18, 49:19, 63:15, 72:9, 92:6, 97:12, 97:14, 112:9, 120:18, 159:12, 159:16, 159:20, 161:18, 167:10, 174:12 concerned 81:5 concerns 50:14, 51:17, 72:4, 72:6, 73:11, 75:21, 82:6, 120:2, 129:22, 131:5, 159:19, 161:11, 162:2, 166:6 conclude 168:14 conclusion 29:10, 29:16, 45:15, 45:18, 66:8, 66:10, 67:17, 126:15, 153:4, 153:6, 153:12 conclusions 14:14, 14:17, 29:21, 43:12, 66:12, 74:1, 74:2, 86:13,	161:5 condition 25:13, 31:5, 83:20, 172:15 conditions 48:3, 69:8, 78:1 conduct 22:22, 25:1 conducting 126:3 conference 58:22 conferences 64:15 conflict 121:13, 121:18, 124:15, 128:15, 159:17, 164:15, 164:17, 174:14 conflicts 124:20 confrontation 26:12 consensus 58:20 consider 125:16 considered 136:21, 166:1 constitution 47:11 consult 23:19, 30:15 consultation 33:20 contact 66:22, 91:13, 91:14, 165:18, 165:21 contacted 15:5, 16:1 context 59:5, 83:21, 84:4, 84:12, 124:15 contexts 58:14
---	---	--	--

contextual 161:20 continue 39:22, 40:2, 130:9, 163:3 contradiction 33:14 contradictions 33:11 contrary 162:1 contribute 175:1 contributed 58:5 contributions 172:5 contributory 56:16 control 52:10, 75:17 conversation 31:10 cooperative 107:12 copy 104:18, 118:4, 155:21 corner 147:3, 149:10 corrections 178:6 correctly 29:13, 83:1, 136:9, 160:11 correlation 56:5 correspond 147:8 corresponds 148:6 corroborating 161:16 could 20:20, 28:16, 30:7, 31:12, 31:17, 31:21, 32:17, 32:19,	38:7, 41:5, 41:11, 43:10, 43:12, 45:5, 45:17, 50:22, 56:14, 57:6, 60:4, 66:20, 71:12, 72:12, 80:1, 87:15, 87:17, 89:17, 93:1, 93:10, 96:13, 96:15, 97:6, 97:7, 97:10, 97:12, 99:6, 102:12, 103:18, 107:22, 121:17, 125:18, 125:20, 126:19, 127:7, 129:5, 134:11, 135:9, 137:14, 137:20, 138:18, 139:10, 140:21, 149:6, 149:9, 152:6, 164:5, 170:13, 171:9, 171:10, 172:15, 172:18, 173:19 couldn't 27:17, 33:1, 52:13, 79:12, 135:17, 136:5 council 1:1, 3:2, 11:22, 51:20, 62:5, 63:11, 70:7, 74:18, 131:12, 132:8, 166:20, 167:4, 172:7, 173:11 counsel 7:6, 14:20, 34:4, 51:4, 128:1, 132:7, 164:21, 176:13, 179:8 count 82:2, 105:12 counting 160:5, 160:10	countless 37:11, 44:16, 173:6 country 30:18 county 11:13 couple 8:10, 159:1, 162:10, 174:17 course 24:5, 36:21, 39:10, 74:11, 121:18 court 1:2, 8:3, 8:12, 10:11, 13:6, 16:10, 70:9, 70:15, 131:9, 171:18, 173:3 courts 10:7, 10:13, 59:6, 81:3 covered 136:15 covid 84:6, 84:7 cranial 100:5, 102:22, 103:2, 103:4, 105:18, 106:5, 106:7 create 58:22, 71:18, 97:18 created 63:6, 73:13, 75:13, 174:13, 174:14 creating 63:6 creation 58:5 credence 57:18, 60:20 credentials 16:6 credibility 32:12	credible 33:3 creeks 98:20 crime 17:2 criminal 12:14, 12:16, 16:11, 16:12, 17:22, 18:1 criteria 47:15, 47:18, 48:13, 49:14, 57:11, 57:19, 58:6, 58:20, 58:22, 61:3, 120:1 criticism 58:16, 61:17, 61:19, 75:12, 81:13, 129:16 criticisms 78:12, 86:3 critiques 24:17 ct 43:2, 43:16 cube 135:17 current 27:7, 56:4
D			
d-e-r-i-g-h-t 7:11 d-o-n-n-i-e 16:15 daily 60:13, 116:6, 116:9 damaging 122:10 data 43:21, 45:20, 54:8, 56:4, 61:17, 70:18, 96:17, 96:21, 126:14, 140:19,			

157:11 database 53:15, 53:16 date 42:1, 92:12, 99:11, 99:12, 102:7, 102:13, 102:15, 105:9, 107:18, 108:3, 111:5, 113:11, 113:14, 146:5, 147:8, 147:9, 148:6, 148:7, 149:8, 149:11, 149:13, 149:16, 178:10 dated 21:4, 147:6 dates 15:18, 61:2, 110:9, 146:21 daubert 16:4 day 22:9, 22:11, 22:12, 33:17, 54:22, 61:22, 92:16, 93:5, 131:15, 131:21, 165:7, 179:13 days 167:11, 170:18 dealt 135:15 debate 40:12, 40:18, 41:5, 41:7 decades 79:10, 165:18 december 99:12 decide 72:7, 72:8, 72:10, 72:14 decides 24:8 deciding 37:4	decision 171:19 decision-making 26:17, 152:2, 152:14 decisions 169:4 declaration 74:8, 154:8, 154:11, 160:6 declarations 62:4, 62:10, 63:10, 65:15, 75:13, 162:3, 166:19 declare 30:1, 30:21 decline 25:8, 26:5, 27:10, 27:14, 30:4, 40:13, 41:22, 42:15, 48:20, 49:6, 51:17, 60:4, 60:5, 60:9, 61:4, 76:17, 76:22, 78:4, 81:22, 90:13, 96:18, 98:3, 98:6, 129:19, 130:3, 130:8 declined 172:14 default 103:22 defect 81:8 defendant 16:19 defense 11:8, 13:1, 13:2, 13:5, 42:22, 43:2, 43:5, 43:17 deficiency 57:2 deficit 72:8, 99:20,	102:21, 105:21, 107:5 deficits 40:16, 54:3, 72:12, 105:20, 106:21, 115:6, 163:11 definitely 68:19 definition 68:22 definitive 82:8, 85:4, 85:6, 91:9, 170:2 degenerate 39:22, 40:2 degrade 39:16 degree 24:14, 86:19 delineate 119:9 demeanor 34:7, 172:2 dementia 68:13, 77:9, 84:16, 84:21, 167:21 dementias 62:16 demonstrated 33:15, 87:5 denied 39:6 department 113:12 depend 83:21 depending 48:9 depends 48:6, 57:22, 97:19 deponent 178:1 deposed 7:15, 9:14,	65:4 deposition 1:10, 2:1, 6:4, 6:6, 6:8, 6:10, 6:18, 7:2, 12:8, 13:10, 13:18, 13:22, 44:2, 50:10, 95:20, 112:21, 117:22, 118:7, 118:18, 119:5, 134:13, 134:17, 135:2, 137:9, 137:13, 138:20, 139:2, 142:9, 142:13, 142:17, 144:15, 145:3, 146:15, 149:3, 151:5, 151:8, 155:15, 155:19, 157:12, 163:16, 170:19, 173:14, 176:3, 179:3 depositions 9:9, 12:15, 14:8, 14:10, 18:12, 19:13 depressed 101:16 depression 68:15, 108:19 depth 158:4 deright 1:10, 2:1, 4:2, 5:3, 5:6, 7:4, 7:10, 19:19, 20:13, 113:2, 132:10, 178:2 describe 44:4, 99:7, 102:12, 105:7, 107:22, 109:21, 118:11, 146:19 described 14:5, 26:13, 46:4, 77:20, 77:22, 80:20,
---	---	---	--

101:3, 152:20 describing 29:5, 123:7, 135:5, 135:15 description 30:11, 30:14, 30:17, 31:14, 72:1, 73:10, 126:11, 175:21 design 152:15 designated 11:20 despite 33:13, 40:3 detailed 103:12, 171:11, 173:19 deteriorated 37:5, 171:22 deterioration 37:19 determination 25:12, 100:4, 163:9 determinations 91:9, 170:3 determine 96:17, 163:10 determining 58:18, 168:12 detract 140:18 detriment 37:4, 119:6 diagnose 47:18, 48:2, 59:18, 93:22 diagnosed 46:21, 69:17, 69:20 diagnosing 32:10, 78:4 diagnosis 52:18, 57:15, 85:4, 85:6, 119:21, 130:2 diagnostic 81:17	difference 119:10, 119:14, 122:21, 122:22 different 21:18, 29:21, 31:16, 40:5, 41:3, 41:5, 41:12, 43:10, 43:12, 43:16, 43:19, 44:17, 45:17, 47:19, 48:2, 57:4, 59:2, 62:2, 79:14, 118:15, 119:17, 129:2, 134:7, 134:8, 144:6, 159:13, 160:11, 160:14, 162:4 differentiate 166:12 difficult 78:13, 78:18, 98:11, 98:18, 164:11 difficulties 82:10, 82:11 difficulty 60:13, 115:3 direct 26:4, 27:6, 68:10, 96:9, 110:11, 151:19, 161:7, 164:4 directed 159:7 directions 7:20, 115:2 directly 161:17, 172:19, 175:12 director 138:2 disability 6:3, 118:2, 118:16, 119:10, 119:15, 119:18, 120:1, 123:12,	158:20, 168:19 disabling 172:15 disagree 32:17, 32:21, 36:17, 36:18, 45:9, 47:12, 54:11, 82:20, 122:2, 167:7 discharge 110:15 discharged 111:2 discredit 121:13, 122:6, 124:16 discrepancies 33:11 discuss 36:6, 47:14, 116:17, 120:11 discussed 17:18, 19:15, 19:16, 50:9, 58:19, 60:18, 103:3, 109:14, 128:12, 132:12, 133:15 discussing 17:4, 46:1, 50:3, 120:7, 123:20, 126:21, 153:15 discussion 124:12 disease 39:11, 39:13, 39:15, 39:17, 39:18, 40:2, 42:12, 57:5, 62:16, 69:10, 84:16, 87:7, 93:15, 93:17, 94:1 dislike 109:17 dismissed 55:7	disorder 48:7, 48:8, 60:12 disorders 21:19, 47:19, 48:12 dispute 127:17 dissent 70:8, 131:10 distinction 57:7 distressing 122:20 district 2:14, 10:9, 10:11, 10:12, 10:21, 11:15, 16:9, 179:19 doctor 21:10, 40:17, 75:21, 76:6, 83:16, 86:10, 88:18, 90:21, 104:17, 108:1, 109:20, 118:12, 177:3 doctor's 107:8 doctors 35:10, 38:3, 46:21, 50:17, 51:1, 66:13, 75:6, 76:3, 78:4, 86:4, 86:6, 86:16, 93:19, 104:19, 104:22, 139:17 document 19:20, 58:8, 71:3, 71:22, 113:11, 118:11, 125:17 documented 50:1, 59:12, 60:1, 97:13 documents 111:16
--	--	--	--

doing 8:20, 23:8, 25:3, 27:19, 41:1, 48:8, 60:16, 61:16, 67:8, 75:15, 82:13, 96:2, 101:4, 109:8, 118:15, 126:17, 165:6, 166:6, 169:2, 169:18, 175:6, 175:19 dolin 3:14, 112:13, 112:16, 156:3, 162:17 domain 60:15 domains 48:22 done 8:16, 18:6, 48:11, 53:18, 60:22, 66:12, 68:8, 72:2, 72:17, 72:21, 80:1, 119:2, 120:18, 123:10, 123:12, 128:17, 132:20, 152:3, 152:8, 154:15, 154:18, 167:2, 175:11, 175:14, 176:1 donnie 16:14 double-sided 109:17 down 46:8, 67:21, 102:19, 113:8, 122:4, 147:2 dr 5:11, 13:21, 14:1, 14:9, 20:8, 20:15, 24:18, 24:19, 32:8, 33:12, 33:14, 33:21, 35:5, 35:22, 36:2, 39:5, 42:2, 44:2, 44:12, 45:1, 52:4, 54:15, 54:18, 60:22, 61:15, 64:21, 69:7, 74:2, 76:14, 76:16, 77:8, 80:2, 80:9, 80:19, 80:20, 81:5, 82:10, 84:15, 88:13, 95:5, 113:2, 119:16, 131:18, 132:10, 134:17, 135:5, 135:21, 136:20, 137:13, 138:7, 138:12, 138:14, 139:2, 139:17, 139:18, 140:4, 140:10, 140:15, 141:20, 142:3, 142:5, 142:13, 143:12, 144:19, 151:9, 153:2, 157:5, 162:12, 165:3, 165:6, 165:8, 165:12, 171:20, 172:1, 173:18, 174:9 drafted 143:9 drafts 175:7 draw 78:14, 79:1, 79:5, 79:10, 79:12, 79:15, 135:17, 135:22, 136:5, 136:6 drawing 79:11, 79:21, 137:5 dress 92:13	driving 41:11, 130:19, 131:21 drooping 107:1 drove 131:15 drug 68:16 dsm 58:2, 58:4 dsm-5 58:7, 58:13, 58:19, 59:1 dsm-5-r 57:19 dsm-5-tr 47:15, 47:17, 49:11, 57:11 due 57:1, 75:21, 117:6 duly 7:5 during 52:5, 70:6, 73:20, 113:3, 124:20, 131:10, 156:13 duties 22:9, 24:15, 25:11, 71:19, 73:7, 170:9 duty 15:13, 18:15, 19:6, 24:22, 25:1, 28:2, 30:2, 30:12, 30:22, 51:8, 53:19, 54:11, 56:7, 56:10, 56:19, 56:21, 60:6, 60:9, 63:13, 63:18, 68:1, 70:20, 71:17, 72:17, 74:22, 82:17, 96:3, 97:6, 97:18, 112:8, 118:16, 119:11, 119:18, 120:2, 120:19, 121:21, 123:1, 123:2, 123:15, 124:8, 124:11, 126:18, 128:16, 128:19, 128:20, 129:4, 130:12, 150:18, 150:21, 152:1, 152:13, 153:8, 153:11, 153:16, 159:2, 159:9, 159:14, 166:7, 169:1, 169:15 dysfunction 56:4	<hr/> E <hr/> e-mail 161:8, 161:11, 161:16, 161:17 e-mails 159:22, 160:21, 161:2, 161:7, 166:16, 167:10, 167:13 each 8:11, 9:17, 17:19, 41:5, 53:20, 53:21, 64:1, 94:19, 95:2, 135:11, 145:12 earlier 31:10, 35:9, 36:10, 37:15, 43:9, 45:16, 46:4, 50:3,
--	--	---

50:6, 50:9, 52:14, 52:21, 60:19, 103:3, 109:15, 111:19, 112:5, 123:7, 133:15, 136:15, 137:16, 138:10, 139:4, 139:15, 150:17, 163:9, 166:18, 167:12, 173:4, 175:20 early 39:10, 117:21, 117:22 easier 79:3, 98:17 easily 123:21 eastern 10:12, 11:15 easy 9:9, 98:13, 103:7 eat 90:12, 90:16, 90:18, 90:19 educated 170:1 education 28:12, 41:19, 42:7, 71:7, 133:2, 133:7, 133:13, 169:22 educational 22:2, 81:6, 85:17 effective 40:15 effectively 76:16 effects 40:12, 68:16, 69:4 effort 157:13 eight 160:11 either 10:10, 12:8,	12:19, 20:21, 20:22, 21:17, 24:11, 49:16, 94:3, 101:15, 112:4, 129:13, 167:20, 176:19, 177:2 elderly 57:3 else 14:21, 17:7, 19:13, 23:5, 23:14, 27:3, 97:11, 109:8, 120:5, 120:12, 147:13, 160:1, 176:17 emergency 91:13, 91:14, 113:12 employed 179:9 employee 121:3, 121:7, 121:14, 121:15, 121:19, 122:6, 122:11, 124:17, 124:20 employees 96:10 employer 30:13, 51:11, 63:15, 72:3, 72:10, 72:13, 96:8, 97:12, 120:3, 121:6, 121:13, 122:10, 124:16, 159:13 employers 123:19, 124:12 employment 64:3 encounter 110:12, 111:8, 145:21 encounters 5:22, 6:15, 109:22, 146:20,	158:2, 158:9, 158:11, 165:14 end 15:20, 76:8, 102:1, 110:14, 116:22, 142:20, 150:3, 157:17 engaging 40:11, 40:17, 40:18 engine 130:17 enough 7:19, 83:19, 87:16, 90:5, 96:22, 109:5, 109:7, 131:3 ensure 125:17 entire 144:19 episode 5:15, 90:9, 105:10, 116:17, 116:20 episodes 90:8, 130:1 equal 61:12, 78:19 equally 134:6 errata 178:7 error 52:9, 71:11, 95:3, 95:6, 172:18 especially 38:14, 58:2, 58:11 esquire 3:4, 3:5, 3:13, 3:14 essential 25:14, 30:7, 30:10, 31:6, 31:12, 46:10, 72:7	essentially 26:21, 53:20, 57:7, 77:11, 80:1, 84:21, 123:14, 127:5, 128:22 ethical 169:3 ethics 123:20, 124:13 eval 75:1 evaluate 13:4, 23:1, 129:12, 130:11, 151:22, 174:19 evaluated 175:15 evaluating 17:8, 61:6, 67:14 evaluation 6:2, 15:13, 19:6, 23:9, 25:2, 30:12, 30:13, 31:17, 32:8, 34:11, 35:3, 51:9, 54:11, 56:13, 63:14, 63:18, 66:12, 67:3, 75:16, 77:15, 83:7, 85:6, 96:6, 118:2, 119:11, 119:12, 119:16, 119:18, 119:19, 120:2, 120:20, 121:21, 122:5, 122:16, 123:1, 123:12, 125:5, 126:18, 127:6, 127:8, 127:12, 127:19, 128:3, 128:9, 128:17, 129:2, 129:4, 130:5, 131:2, 140:20, 152:12, 153:16,
--	---	---	---

154:15, 154:19, 158:19, 164:18, 167:5, 169:1, 171:11, 173:20, 175:16 evaluation's 84:3, 159:9 evaluations 18:15, 53:19, 66:20, 68:8, 70:20, 118:16, 121:4, 122:19, 124:6, 124:8, 124:11, 125:1, 126:3, 128:19, 129:8, 150:19, 151:1, 159:2 evaluator 13:3, 37:6, 37:14, 61:11, 61:18, 129:9, 138:13, 138:15, 175:21 evaluator's 169:5 evaluee's 126:10 even 33:4, 38:9, 38:15, 42:10, 50:16, 58:11, 64:15, 85:14, 98:16, 121:14, 124:16, 134:7, 144:9 event 94:9, 121:12 eventually 15:18, 15:21, 70:1, 70:4 ever 16:3, 16:19, 16:22, 45:6, 72:17, 130:11, 137:4, 143:8, 143:20, 167:19 every 28:8, 29:8,	29:14, 61:22, 63:10, 131:21, 134:5, 167:18, 168:5 everyone 28:15, 97:21, 134:10 everything 8:4, 100:14, 111:12, 123:15, 155:5, 155:10 evidence 25:7, 30:3, 48:19, 53:1, 84:22, 86:12, 90:15, 90:17, 90:18, 98:5, 119:21, 161:17 exact 18:18, 25:4, 28:6, 77:5 exactly 53:1, 92:18, 100:8 exam 71:18, 81:11, 90:6, 98:9, 98:12, 158:4, 166:7 examination 4:2, 7:6, 23:12, 33:17, 35:6, 42:2, 68:1, 92:4, 94:22, 107:19, 121:7, 123:7, 126:9, 132:7, 157:21, 164:21, 176:13 examinations 24:18, 96:2, 167:1 examine 35:14, 74:8 examined 34:21, 35:11, 50:13, 74:8, 86:16, 87:1,	87:2, 178:3 examines 24:6 example 25:18, 26:18, 28:13, 29:17, 31:8, 39:18, 48:12, 59:19, 63:17, 67:2, 79:21, 81:20, 97:20, 100:6, 130:13 examples 47:3 exams 33:12, 100:9, 158:11 except 74:13, 74:14 exceptionally 42:17 excerpt 6:4, 6:6, 6:8, 6:10, 6:18, 134:17, 137:12, 139:1, 142:13, 142:17, 151:8, 153:14, 173:13 exchanges 161:8, 161:11 excluded 13:6 excuse 115:17 executive 25:10 exhibit 5:3, 5:5, 5:7, 5:9, 5:11, 5:13, 5:15, 5:17, 5:20, 5:22, 6:1, 6:4, 6:6, 6:8, 6:10, 6:13, 6:15, 6:16, 6:18, 6:20, 6:21, 7:2, 17:13, 20:1, 20:4, 20:13,	21:3, 31:22, 34:1, 34:3, 87:20, 87:21, 88:1, 95:20, 99:2, 102:11, 105:6, 107:22, 109:20, 112:21, 113:5, 118:6, 118:7, 134:13, 137:8, 137:9, 138:20, 140:22, 141:5, 142:6, 142:8, 142:9, 144:14, 144:15, 145:19, 146:9, 146:10, 146:15, 146:18, 146:21, 147:10, 148:2, 149:3, 151:4, 151:5, 155:15, 155:19, 158:17, 158:18, 160:2, 163:15, 163:16, 163:20, 170:18, 171:4, 173:15 exhibits 113:4 exists 66:17, 68:12 expect 39:20, 42:5, 42:14 expected 35:2 experience 36:22, 37:3, 38:4, 38:10, 45:2, 45:5, 54:6, 55:3, 158:2, 158:8, 159:12, 162:5 experienced 130:8 experiences 138:12 experiencing 8:7 expert 9:17, 11:20,
--	---	---	--

12:3, 12:4, 12:14, 21:6, 47:10, 77:4 expertise 23:22, 168:11, 168:12 experts 58:21, 129:12 expires 179:21 explain 19:5, 47:16, 88:4 explained 78:11, 126:11 exploration 82:9 explore 40:15, 157:11, 157:13, 174:21 exposed 84:11 extensive 123:8, 123:9, 123:11 extent 24:19, 89:6 eye 103:10, 114:8 eyes 100:6, 103:8 <hr/> F <hr/> faa 28:19, 47:3, 47:6, 53:6 fabricated 161:22, 164:14 face 39:6 facial 103:11, 107:1 fact 12:13, 35:10, 47:8, 91:13, 135:16, 140:5, 140:10, 153:14, 156:21, 168:5,	171:18, 171:19, 171:22, 174:8 factor 125:13, 125:16 factory 98:2 facts 41:7, 168:22 fades 9:5 fainting 90:9 fair 24:12, 96:22, 132:18, 154:2, 157:14, 176:5 fairfax 3:16, 11:13 fairly 38:12 fall 83:16, 162:8 familiar 142:14, 144:21, 151:10, 153:20 familiarity 36:12 family 49:17 far 9:11, 16:5, 54:5, 90:20, 101:17 fast 42:19 faster 41:21 feasible 129:9 federal 1:3, 3:3, 10:11, 15:13, 16:10, 30:19, 31:2, 35:5, 40:20, 47:5, 53:1, 54:5, 71:19, 72:18, 72:19, 76:20,	132:8, 138:3, 167:19, 173:11, 176:18 feedback 22:21 feel 28:14, 93:1, 93:7, 106:18, 106:19, 122:15 feeling 97:8 feels 55:12 fellow 96:13, 171:19 fellowship 22:3 felt 107:9 few 10:12, 15:18, 42:16, 132:10, 164:10, 165:2, 165:10 ffd 124:6, 124:11, 124:22, 125:4, 126:3 field 32:10, 37:9, 58:21 fifteen 142:6 fifth 156:22 figuring 170:7 filled 114:1 filler 5:7, 5:10, 13:21, 15:3, 20:4, 24:18, 33:14, 35:5, 35:22, 36:2, 50:15, 54:15, 54:18, 60:22, 61:15, 64:21,	69:7, 77:8, 86:17, 88:13, 138:12, 139:17, 141:20, 142:3, 157:5, 172:1 filler's 20:8, 20:15, 32:1, 32:8, 33:12, 33:21, 39:5, 42:2, 52:4, 74:2, 91:22 finally 20:8, 109:14, 130:6 financial 129:5, 179:10 find 18:18, 28:16, 29:2, 35:6, 41:15, 75:20, 145:6, 145:12, 147:18, 158:12, 176:6 finding 115:15 fine 84:10, 107:16, 114:2, 130:16, 131:16, 131:21, 154:8, 154:12, 155:5, 155:10, 156:19, 174:19 finest 28:16 finger 86:9, 86:10 fingers 107:8 finish 89:3 fire 64:2 firestone 130:15 first 8:11, 9:13, 15:5, 21:1,
---	---	--	---

31:22, 41:16, 41:17, 46:1, 56:2, 77:19, 82:11, 110:4, 113:16, 113:17, 136:3, 146:2, 146:8, 149:21, 164:5, 165:3, 171:3, 175:7 ██████████ 65:4, 153:19, 153:20, 154:5, 154:7, 154:11, 155:4, 156:18, 156:21, 157:4, 171:20, 175:3, 176:3 fit 30:2, 30:22, 56:7, 56:19, 56:21, 60:6, 97:17, 118:16, 119:18, 130:8 fitness 15:13, 18:15, 19:6, 24:15, 24:22, 25:1, 28:2, 30:12, 51:8, 53:18, 54:9, 54:11, 56:10, 63:13, 63:17, 68:1, 70:20, 71:17, 72:17, 74:22, 82:17, 96:3, 97:6, 112:7, 119:11, 120:2, 120:19, 121:21, 123:1, 123:2, 123:15, 124:7, 124:10, 126:18, 128:16, 128:18, 128:20, 129:4, 130:12, 150:18, 150:21, 152:1, 152:13, 153:7, 153:11, 153:16, 159:2, 159:9,	159:14, 166:7, 169:1, 169:14 five 7:18, 9:15, 12:3, 12:5, 16:17, 17:18, 18:2, 18:3, 31:10, 65:9, 82:3, 154:9, 154:13 fixed 57:8 flip 151:14 fluency 26:14, 81:7 focal 99:20, 102:21, 105:19, 105:21, 106:21, 107:5 focus 40:17, 126:9 focused 154:18 follow 8:14, 8:15, 135:17 follow-up 90:22, 142:3 follow-ups 165:2 followed 141:21, 143:7, 143:20 following 103:8, 114:22, 132:11 follows 7:5, 115:2 footnote 88:16 force 121:6, 125:8, 125:12 forced 121:3, 121:20, 124:6, 124:7, 124:10, 124:22,	159:1, 164:18, 166:6 foregoing 178:4, 179:3, 179:4 forensic 6:2, 7:13, 18:8, 58:11, 58:14, 59:3, 59:20, 80:20, 81:1, 118:1, 118:14, 118:15, 158:19 forgetful 89:22 forgetfulness 90:3, 129:22, 141:16, 173:1 forgetting 90:12 forgot 90:15, 90:18 form 35:18, 37:22, 172:9 former 163:1 forming 161:4 forth 24:13, 130:20 found 113:3, 171:21, 172:6 four 75:9, 104:19, 114:17, 114:20 fourth 114:18 frequently 66:18, 158:15 front 36:21, 52:6, 61:10, 61:16, 113:5, 146:9, 148:3, 149:12 frye 16:4	full 7:8, 7:20, 41:16, 41:17, 46:2, 46:12, 84:12 function 26:1, 27:6, 49:7, 51:18, 72:7 functional 116:3, 116:6, 117:4, 117:7 functioning 25:10, 27:12, 29:18, 123:4 functions 25:14, 30:7, 30:10, 31:6, 31:12, 66:18, 72:16, 126:11 further 24:8, 35:16, 37:20, 84:3, 87:6, 87:10, 94:11, 102:5, 104:16, 107:18, 109:11, 117:18, 127:12, 128:8, 132:1, 164:21, 167:5, 168:15, 168:16, 168:17, 173:9, 173:11, 176:13, 176:21, 177:1 future 8:5 <hr/> G <hr/> gait 102:22, 107:2 ██████████ 160:7 ██████████ 160:12 gather 64:4, 73:7 gathered 65:14, 65:16,
---	---	---	--

65:17 gave 15:17, 38:19, 44:14, 63:19, 75:18, 85:2, 85:10, 136:20, 146:5, 149:12, 165:3 gemmed 164:14 gendel 15:9 general 16:22, 37:18, 83:4, 84:4, 99:20, 128:18, 129:1 generally 42:16, 73:3, 91:8, 99:6, 119:19 generic 73:9, 127:4 georgetown 5:18 getting 31:6, 81:17, 131:13 give 7:20, 8:8, 10:20, 12:7, 22:19, 23:6, 23:14, 25:15, 25:18, 25:19, 25:21, 26:4, 26:6, 26:9, 28:4, 29:19, 31:7, 57:18, 67:12, 67:19, 72:9, 72:11, 77:12, 82:16, 87:22, 88:8, 95:4, 96:2, 100:18, 105:5, 109:15, 111:10, 141:19, 169:3, 169:5 given 13:20, 13:21,	23:15, 38:16, 54:4, 60:20, 66:22, 73:9, 88:7, 95:2, 95:10, 123:21, 134:7, 134:10, 138:15, 155:1, 161:15, 169:18, 178:6, 179:5 gives 24:7, 27:6, 30:13, 156:18, 168:7 giving 57:8, 59:19, 107:16 glaringly 158:5 go 10:15, 14:18, 39:3, 41:14, 45:21, 45:22, 49:13, 49:14, 52:3, 53:13, 54:5, 54:13, 55:22, 56:1, 57:10, 59:8, 62:13, 68:9, 68:11, 68:13, 69:6, 69:9, 76:7, 76:13, 80:19, 83:16, 86:6, 88:11, 92:6, 94:17, 96:22, 97:4, 98:15, 102:19, 105:11, 105:12, 134:12, 135:19, 137:13, 139:2, 140:2, 140:21, 145:18, 146:1, 146:8, 146:18, 147:1, 149:9, 158:17, 160:2, 160:3, 165:7 god 98:20 goes 72:11, 169:6	going 7:22, 9:1, 22:1, 31:3, 37:6, 38:15, 40:4, 48:8, 50:4, 59:20, 59:21, 75:21, 75:22, 76:8, 77:16, 82:1, 84:8, 84:9, 84:11, 86:9, 86:11, 91:8, 95:13, 98:8, 98:10, 98:17, 98:21, 104:1, 105:5, 107:21, 128:18, 130:20, 131:1, 132:10, 142:4, 144:13, 148:2, 155:12, 158:6, 159:21, 165:13, 175:18 gone 38:5, 76:3, 111:16, 144:11, 166:13 good 19:5, 25:21, 47:8, 55:18, 56:12, 99:5, 111:12, 173:4, 173:8 gosh 18:21 great 53:17, 57:18, 60:20, 163:3, 174:20 gregory 3:14 grossly 105:18 grounded 159:21 group 14:4, 28:5, 29:1, 30:20, 30:21, 31:2,	47:18, 58:21, 169:11, 170:1 groups 64:15, 71:6, 172:19 guardian 91:12 guess 61:19, 93:10 guide 158:19, 159:6, 166:5 guidelines 6:1, 18:19, 118:1, 118:14 <hr/> H <hr/> hammer 27:13 hand 44:18, 98:22, 107:2, 136:8, 136:9, 136:10, 136:11, 142:5, 144:14, 179:13 handed 151:13, 155:18, 163:19 hands 44:4, 45:6, 78:14, 79:5, 107:8, 136:7 handwritten 39:5 hang 42:18 happen 31:17, 89:17, 121:10, 121:16, 122:7, 122:17, 124:3, 125:18, 125:20, 129:11, 158:15 happened 15:15, 63:16, 89:13, 100:18, 168:6 happening 77:6, 106:4
---	---	--	---

<p>happens 38:1, 38:3</p> <p>happy 19:11</p> <p>hard 8:12, 42:19, 105:12, 107:16</p> <p>harder 79:3, 160:1</p> <p>hardest 8:14</p> <p>hardly 71:2</p> <p>harm 129:1</p> <p>harrington 3:5</p> <p>hat 61:15 [REDACTED] 160:10</p> <p>head 8:21, 9:21, 11:5, 14:20, 78:14, 79:4, 112:1, 140:9</p> <p>headache 108:10</p> <p>health 6:17, 8:7, 22:18, 82:16, 108:3, 121:20, 124:22</p> <p>heard 137:4</p> <p>hearing 6:20, 16:4</p> <p>held 2:1, 15:18</p> <p>help 119:6</p> <p>helped 138:4</p> <p>helpful 62:11, 138:1, 139:6</p> <p>helping 14:21, 31:16,</p>	<p>71:1</p> <p>here 14:20, 40:7, 40:17, 47:14, 59:10, 61:18, 67:18, 67:22, 68:11, 69:9, 72:1, 72:4, 74:6, 74:12, 77:6, 77:19, 84:14, 88:4, 94:14, 100:18, 103:13, 109:18, 113:16, 120:5, 120:22, 124:6, 127:10, 131:8, 138:15, 145:12, 152:20, 156:17, 160:5, 160:22, 169:18, 170:15, 172:22</p> <p>here's 67:17, 71:8, 94:20</p> <p>hereby 178:2, 179:3</p> <p>hereunto 179:12</p> <p>herself 35:8</p> <p>hey 85:10</p> <p>high 27:12, 69:11, 71:7, 163:4</p> <p>high-level 53:12</p> <p>higher 41:19, 42:7, 128:22, 131:1</p> <p>highly 109:17, 170:1</p> <p>hired 129:13</p> <p>history 62:13, 69:9, 77:21</p> <p>hold 131:1, 146:9,</p>	<p>151:12</p> <p>holds 39:1</p> <p>home 84:9, 110:15</p> <p>homes 81:21</p> <p>hope 68:18</p> <p>hopkins 58:1</p> <p>horowitz 74:9</p> <p>hospital 5:18, 5:21, 110:12, 111:7, 113:13, 129:3, 148:4</p> <p>hospitalization 92:14</p> <p>hour 13:13, 55:13, 131:15, 136:9, 136:11</p> <p>how's 23:21</p> <p>however 27:11, 39:8, 58:3, 84:20, 122:21</p> <p>hr 63:20</p> <p>hundred 131:15</p> <p>hydrocephalus 68:20</p> <p>hyperlipidemia 77:22</p> <p>hypertension 69:1, 77:21</p> <p>hyperthyroidism 77:22</p> <p>hypotensive 92:17, 92:19</p> <hr/> <p style="text-align: center;">I</p> <hr/> <p>idea 42:22, 66:16</p>	<p>ideas 71:15</p> <p>ideation 108:20</p> <p>identification 7:3, 95:21, 112:22, 118:8, 134:14, 137:10, 138:21, 142:10, 144:16, 146:16, 149:4, 151:6, 155:16, 163:17</p> <p>illness 62:15, 159:10, 159:14</p> <p>imaging 43:7</p> <p>ime 119:16, 122:22</p> <p>imes 123:8</p> <p>immediate 33:13, 41:8</p> <p>impact 91:1, 127:17</p> <p>impair 31:12, 37:10</p> <p>impaired 56:9, 82:15</p> <p>impairment 17:5, 17:7, 17:10, 32:10, 32:13, 35:3, 41:21, 42:6, 49:21, 57:1, 57:3, 58:18, 59:11, 59:19, 68:12, 69:12, 69:18, 69:21, 70:8, 70:19, 74:15, 74:17, 78:2, 80:7, 85:5, 85:7, 85:14, 85:16, 86:20, 87:7, 87:12, 88:16, 89:6, 90:22, 93:22, 94:18,</p>
--	--	--	--

95:9, 102:8, 102:10, 103:19, 110:18, 110:21, 111:5, 111:18, 117:14, 120:19, 129:19, 130:3, 131:11, 141:8, 141:16, 143:2, 143:10, 143:13, 144:2, 145:7, 145:13, 145:16, 146:4, 147:9, 148:7, 150:4, 150:7, 158:13, 159:15, 159:18, 161:19, 162:1 impairments 39:9 impartiality 37:10 imply 56:3, 91:20 implying 90:19 important 28:7, 38:20, 57:6, 66:19, 71:16, 72:5, 93:7, 95:4, 133:21, 141:17, 157:11, 165:22, 169:19, 173:1 improper 37:12, 45:15, 52:7, 61:6, 77:18 improperly 44:12 improve 57:9 inappropriately 92:7 incident 92:8 include 74:16, 161:7 included 157:20	includes 26:11 including 58:17, 71:12, 91:13, 95:11 inclusive 146:20 incorrect 94:15 incorrectly 83:2 indeed 40:1, 62:15 independence 117:5, 117:6 independent 13:3, 115:22, 116:5, 119:10, 119:15, 127:11, 129:8, 129:10, 131:19, 150:18, 151:1 independently 115:11 indicate 39:5, 104:17, 107:14, 138:6, 150:6 indicated 30:6 indicates 94:10, 94:13, 107:15, 126:14, 126:19, 127:3 indicating 140:19, 162:2 indication 93:4, 100:16, 102:7, 102:10, 109:10, 111:11, 115:14, 117:16 indications 75:1, 75:4 indicative 95:8 individual 27:16, 48:5, 49:4, 54:3,	119:20, 122:14 individuals 41:18 infallible 58:8 inferred 56:5 infirmity 44:7 inflicted 168:19 informant 49:4, 51:9, 51:21 informants 51:17, 51:19 information 14:17, 25:11, 40:7, 40:10, 40:14, 40:19, 48:11, 61:8, 63:16, 63:22, 65:12, 66:22, 67:13, 67:16, 67:19, 67:20, 71:20, 72:9, 75:17, 83:14, 83:19, 96:9, 126:14, 127:2, 127:5, 131:14, 155:1, 162:6, 167:4, 167:9, 170:12 inherently 124:1 initial 172:10 initials 11:12 injury 9:22, 10:2, 10:3, 11:5 inquiry 167:22, 168:8, 170:7 ins 175:18 insight 33:16, 104:8	instability 124:18 instance 82:12, 96:14 instead 44:17, 93:11 instruction 8:14 instructions 7:22, 44:13, 44:19, 95:7, 102:1, 104:12 instructs 9:6 insufficient 66:11, 83:5, 83:13, 96:17, 96:20 intact 102:22, 104:8, 104:10, 105:19, 105:20, 106:18, 107:5, 113:21, 114:12, 114:15 integrated 116:12 intending 153:16 intentions 82:14 interact 61:22 interacts 64:14 interest 129:5, 179:10 interpreting 29:20 intervention 39:21 interview 48:9, 54:2, 54:22, 123:11, 123:13 interviewed 63:19 intimidation 125:8, 125:13
---	---	--	--

introduce 146:10, 163:12 intrusive 122:20 invalidly 44:12 investigation 167:20, 168:13 iq 25:20, 97:20, 98:1, 98:4 issue 42:21, 43:4, 43:7, 48:15 issues 8:7, 152:1, 159:14, 161:19, 174:22 item 85:11 items 152:11 itself 97:12, 122:5 <hr/> J <hr/> [REDACTED] 160:8, 160:17 january 21:4, 105:10, 108:4 [REDACTED] 160:6 jiffy 130:14 job 1:20, 22:9, 22:19, 25:11, 25:14, 30:11, 30:14, 30:17, 31:6, 31:12, 31:14, 53:20, 53:21, 54:1, 54:3, 60:16, 72:1, 72:6, 72:7, 73:9, 77:10, 77:13, 97:20, 98:2,	98:7, 120:4, 123:4, 126:11, 167:5, 169:2, 170:7, 172:16, 175:20 jobs 73:8 [REDACTED] 3:13, 6:21, 7:20, 160:6, 160:8, 163:20 johns 58:1 joke 100:11 jonathan 1:10, 2:1, 4:2, 5:3, 5:6, 7:4, 7:10, 178:2 journal 121:22 judges 30:18, 30:19, 31:2, 47:5, 53:1, 53:5, 53:7, 54:5, 62:4, 96:14, 132:15, 170:9, 171:19, 172:3, 172:5, 175:7 judgment 29:16, 32:16, 37:10, 38:5, 38:10, 45:18, 54:8, 91:1, 104:8, 152:3 judicial 1:1, 3:2, 11:21, 24:15, 51:20, 62:5, 63:11, 70:7, 74:18, 131:12, 131:18, 132:8, 138:3, 166:20, 167:3, 168:6, 168:7, 172:2, 172:7, 173:2, 173:5, 173:11	judiciary 73:13 july 102:15 june 1:12, 179:14 <hr/> K <hr/> karen 1:22, 2:13, 179:2 [REDACTED] 160:9 keep 31:7, 125:18, 125:21 [REDACTED] 160:12 key 126:3 kid 11:17 kidney 69:10, 93:14, 93:15, 93:17, 94:1 kill 84:8 kind 7:22, 17:9, 21:15, 21:18, 22:16, 38:16, 42:15, 72:20, 73:9, 73:15, 81:20, 82:4, 94:13, 96:7, 97:13, 107:2, 115:8, 115:15, 118:22, 126:21, 155:22, 165:22 kinds 47:19, 144:6 knew 34:18, 125:7, 137:17, 157:14 know 16:16, 25:4, 25:16, 31:7,	41:6, 45:4, 49:12, 57:21, 57:22, 58:5, 62:8, 62:12, 63:3, 65:3, 65:6, 65:15, 65:18, 65:19, 66:4, 67:13, 68:7, 70:2, 70:4, 70:22, 71:1, 71:2, 73:18, 76:4, 76:7, 79:6, 79:7, 79:8, 82:3, 82:14, 86:8, 88:17, 89:7, 89:19, 90:2, 90:20, 91:5, 92:10, 92:11, 94:2, 94:3, 96:8, 96:10, 96:12, 96:21, 97:2, 98:9, 98:15, 98:16, 100:8, 100:17, 103:16, 104:2, 106:6, 110:3, 121:11, 125:11, 131:9, 131:14, 133:10, 148:20, 150:15, 153:19, 170:11, 170:17, 172:4, 175:8, 176:17 knowing 40:10, 101:3, 140:14, 173:5, 174:22 knowledge 64:16, 81:2, 109:7, 157:10 knowledgeable 49:4, 51:9, 51:16, 51:21 known 29:1, 41:18, 52:9, 62:15, 71:6, 172:19,
--	--	--	---

174:10 knows 97:21, 155:10, 176:7	169:8 lawyering 61:16 lawyers 64:15, 65:1 lead 92:13, 92:21 leading 41:21 learning 26:7 least 28:11, 36:12, 38:8, 50:14, 104:9, 105:2, 110:4, 111:3, 127:9, 138:17, 141:17, 142:2, 175:15 leave 103:15, 103:18 leaving 106:7 legal 31:15, 73:20, 80:10, 91:12 lend 124:11 lengthy 152:10 lesion 56:14 lesions 68:17 less 23:8, 39:1, 40:12, 123:10, 164:16, 174:16 lesser 24:19 let's 8:11, 8:22, 10:10, 10:22, 21:3, 21:12, 31:9, 33:9, 37:1, 41:14, 42:20, 44:1, 45:21, 52:3,	54:13, 54:17, 55:22, 56:22, 57:10, 65:14, 67:7, 68:9, 70:11, 74:6, 76:13, 80:19, 87:19, 92:6, 94:4, 97:16, 112:11, 151:22, 158:17, 171:7 letter 26:15 level 27:22, 28:3, 35:2, 48:21, 65:19, 66:2, 81:22, 90:3, 97:3, 97:17, 114:16, 133:1, 133:7, 133:12, 167:20, 173:7 levels 41:19, 71:7 liberties 3:15, 3:21 life 36:10, 47:8 lifetime 76:21 light 105:19, 106:17, 106:19 likely 39:10, 41:19, 68:21, 164:16, 174:16 limb 108:11 limit 172:17 limitation 31:19 limitations 119:22 limited 79:19 limits 56:1, 100:11	limp 107:2 line 60:9, 60:10, 60:12, 97:18, 135:10, 136:4, 137:14, 137:21, 139:3, 139:11, 142:20, 143:18, 149:18, 151:21, 152:7 lines 135:8, 135:9, 154:7, 171:6 list 5:22, 6:15, 26:8, 58:22, 70:2, 70:4, 87:12, 89:11, 109:22, 111:9, 141:9, 145:9, 145:14, 145:21, 146:20, 148:8, 148:9, 148:18, 149:22, 150:3, 150:4, 150:7, 160:4 listed 74:12, 146:4, 147:9, 160:21, 164:1 lists 89:8, 160:5 litigation 51:13, 75:13 little 24:4, 33:9, 56:22, 59:1, 60:19, 87:9, 89:15, 96:1, 103:12 living 116:6 lo 85:12 local 10:13 logic 27:11, 52:15
---	--	--	---

<p>long 15:19, 15:22, 16:16, 26:8, 37:19, 38:3, 45:2, 75:2, 174:10 long-term 65:5 longstanding 38:14, 138:7 look 19:4, 21:3, 25:9, 26:16, 33:9, 33:19, 33:21, 34:5, 48:16, 54:17, 59:8, 61:3, 69:13, 86:11, 87:19, 91:7, 91:8, 99:17, 119:8, 126:7, 134:20, 135:3, 142:14, 142:19, 144:21, 149:12, 149:17, 151:9, 151:10, 171:7, 172:13 looked 94:3, 100:12, 100:14, 130:16, 147:16, 172:11 looking 19:5, 25:7, 27:22, 43:18, 48:7, 48:10, 53:21, 56:14, 75:20, 75:22, 117:1, 119:20, 173:7 looks 43:11, 103:13 loss 90:11 lost 140:9 lot 12:10, 31:16, 39:1, 51:1,</p>	<p>53:18, 58:1, 58:2, 58:3, 71:11, 71:13, 71:15, 75:6, 75:8, 76:6, 79:17, 85:19, 100:9, 103:14, 123:16, 157:9, 162:6, 162:7, 163:13 loud 40:9 love 164:8 low 92:20 low-level 53:12 lower 98:1, 116:1, 122:4, 149:10 lube 130:14 lunch 132:3, 156:13 <hr/>M<hr/>machines 29:18 made 26:2, 31:20, 66:13, 66:14, 95:6, 109:16, 118:14, 169:4, 176:6 maeve 3:21 main 71:14 mainly 24:17, 67:4 major 48:20, 49:6, 60:11 make 28:17, 45:14, 54:8, 61:21, 65:14, 66:12,</p>	<p>67:17, 86:13, 91:9, 93:1, 100:3, 109:5, 151:13, 160:1, 165:19 maker 26:3 makes 89:18, 164:10, 164:16, 174:16 malpractice 16:20, 129:6 manifestations 41:20 manipulation 152:16 manner 14:11, 118:21 many 7:17, 18:6, 26:15, 39:19, 42:18, 53:19, 74:21, 98:12, 98:18, 106:15, 124:1, 161:15 mark 95:15, 134:11 marked 7:3, 17:13, 19:18, 20:3, 20:8, 95:21, 112:21, 118:7, 134:13, 137:8, 137:9, 138:20, 142:9, 144:10, 144:15, 146:15, 147:2, 149:3, 151:5, 155:15, 155:18, 163:16, 163:19 maryland 16:9 mask 41:20 masking 42:6, 81:6, 81:7 materials 74:6, 160:4</p>	<p>matter 11:22, 17:14, 21:10, 56:8, 60:21, 63:5, 66:5, 78:12, 79:3, 83:8, 153:2, 153:7 matters 12:17, 17:17, 17:19, 17:22, 18:1, 18:10, 84:5, 84:13 maybe 24:7, 31:13, 71:14, 114:7, 130:18 mean 23:18, 32:15, 34:17, 43:15, 49:12, 53:3, 59:3, 59:15, 61:20, 73:15, 91:14, 99:21, 100:14, 106:2, 107:6, 112:9, 113:22, 114:6, 115:12, 126:16, 127:13, 144:11 meaning 100:15, 116:8, 123:19 means 34:18, 40:22, 59:16, 75:8, 99:22, 100:10, 106:18, 107:7, 108:14, 114:1, 114:7, 115:7, 115:8, 115:13, 124:7, 126:17, 127:5 measure 25:7, 25:20, 30:1, 38:16, 59:17, 60:8, 79:9, 79:19, 82:7, 83:6, 85:15, 103:7,</p>
---	---	---	---

172:17 measures 79:18, 116:4 median 30:20 medical 5:13, 5:22, 6:13, 6:15, 6:16, 13:20, 13:21, 14:3, 16:20, 21:9, 24:14, 36:2, 36:6, 38:8, 38:9, 46:20, 50:15, 51:2, 54:18, 54:20, 66:9, 69:12, 69:17, 74:14, 74:16, 75:2, 75:14, 75:19, 76:5, 76:9, 76:12, 77:20, 78:3, 84:7, 86:1, 86:19, 87:5, 88:5, 89:1, 89:21, 90:20, 92:16, 93:16, 93:21, 95:15, 99:8, 102:8, 102:13, 103:14, 103:20, 105:8, 108:2, 108:3, 111:3, 112:3, 119:11, 119:15, 127:9, 127:11, 130:2, 141:8, 141:15, 142:22, 143:1, 143:2, 143:4, 143:11, 143:13, 144:19, 145:5, 146:3, 147:12, 147:21, 148:11, 149:7, 150:18, 151:1, 154:3, 157:18, 173:2 medication 68:16	medications 8:6, 69:8 medicine 38:15, 100:11 medstar 5:18, 108:2 meet 119:22 meeting 28:18 member 49:17 memorial 5:20, 113:13 memory 25:9, 26:10, 33:13, 36:1, 54:15, 55:11, 69:20, 74:15, 82:19, 85:11, 87:7, 87:12, 88:16, 89:6, 103:19, 104:8, 104:10, 110:18, 110:21, 111:4, 111:17, 114:13, 141:8, 141:16, 143:1, 143:10, 143:13, 144:2, 145:6, 145:13, 145:16, 146:4, 147:9, 148:7, 150:4, 150:6, 152:9, 152:15, 165:3, 167:11, 167:15, 172:20 mental 22:18, 47:19, 79:3, 87:7, 90:5, 90:12, 90:13, 90:22, 100:9, 100:13, 100:19, 100:22, 101:6, 102:5, 102:8, 103:1, 104:15, 109:10, 121:20, 124:17, 124:21, 129:18,	130:2, 131:11, 157:20, 158:3, 158:10, 159:10, 159:14 mentally 121:15, 130:8 mention 78:6, 87:13, 91:17, 141:15, 141:17, 142:3 mentioned 94:14, 103:8, 111:19, 137:16, 139:4, 157:4, 162:12, 173:4 mentioning 144:2 menu 25:17 merely 81:16 merited 142:2 messes 129:6 met 117:15 methods 32:11, 32:17, 33:3, 33:8 michael 15:9 michaels 80:11, 80:15, 162:16, 162:17, 162:19, 162:20, 162:22 middle 9:8, 31:4, 62:14, 113:17, 147:5, 151:20 might 8:7, 31:11, 31:13, 46:5, 92:13, 96:7, 98:3, 114:8, 129:3, 130:18, 136:15, 149:11,	160:13 mild 48:19, 49:5, 59:18, 60:11 mildly 134:8 miles 131:15 mind 63:8, 80:18, 125:18, 125:21, 174:13 mine 156:3 mini 94:4 mini-cog 94:20, 95:11 mini-mental 23:11, 81:11 mini-moca 80:1, 80:5, 86:2 minute 87:22, 135:3, 135:20, 136:8, 136:10, 151:9 minutes 31:10, 95:16, 109:2, 112:17, 165:10 misidentified 45:7 misleading 87:9 misrepresenting 51:5 miss 165:4 missed 85:11 misstates 50:18, 140:1, 168:21 misuse 122:11, 124:11, 125:4, 125:15 misused 58:10
---	---	--	--

mitigation 12:21 mobility 117:4 moca 23:2, 24:5, 38:19, 44:13, 50:2, 50:4, 59:19, 77:17, 78:10, 79:20, 80:3, 85:22, 94:21, 95:5, 98:14, 135:6, 135:12, 136:17 modern 38:14, 103:14 modest 48:19, 49:20, 49:21, 59:10 modified 23:11, 44:19, 81:11, 117:4 months 164:11 montreal 23:1, 133:16 mood 101:14, 101:15, 101:16, 101:17, 101:18, 104:6, 107:12 moore 27:18, 53:11, 63:12, 166:21, 172:8, 176:17 more 12:4, 14:17, 14:18, 22:18, 25:6, 38:20, 41:19, 48:21, 50:5, 53:3, 56:22, 71:9, 75:9, 75:13, 75:18, 77:6, 77:15, 78:13, 78:18, 80:3, 86:6, 98:6, 98:18, 103:12,	111:20, 120:15, 123:8, 123:13, 129:1, 131:3, 140:20, 144:7, 159:15, 159:20, 159:21, 162:9, 164:11, 165:22, 169:19, 171:10, 173:1, 173:19, 175:22 moreover 123:18 morning 157:17 most 12:14, 13:5, 17:5, 17:8, 38:13, 39:17, 56:4, 72:5, 78:20, 80:1, 103:22, 123:3, 156:21 motor 102:21, 105:20, 106:21 move 83:17, 83:18 moved 168:6 mri 29:17, 43:13 mriss 43:11 much 23:7, 23:14, 25:5, 38:20, 39:1, 40:12, 48:8, 54:10, 71:9, 75:4, 87:13, 93:13, 112:9, 119:17, 123:2, 123:8, 123:13, 123:16, 129:1, 131:3, 159:19, 164:11, 172:18, 175:1, 175:11, 175:12, 176:18	multiple 63:21, 129:12, 141:7, 162:2 muscles 103:11 myself 52:13 <hr/> N <hr/> name 7:8, 7:10, 18:17, 18:18, 19:10, 27:13, 153:20, 154:2, 162:17, 162:19 named 15:9, 74:9, 162:16 names 10:20, 73:1 naming 26:12 narcissistically 122:15 narrower 123:2, 123:16 nature 9:15, 9:19, 10:4, 12:15, 12:17, 48:10, 53:14, 54:4, 70:2, 77:5, 85:20, 89:19, 90:2, 91:1, 91:5, 97:19, 122:19, 141:14, 144:8 ncd 48:19, 48:20, 49:5, 49:6 nearly 35:2 necessarily 27:10, 28:21, 31:18, 56:3, 72:10, 74:19, 82:15, 100:14, 110:6, 111:12,	120:8, 127:13, 144:11, 170:2, 175:20 necessary 84:3, 140:20, 153:11 need 9:6, 15:12, 19:18, 20:7, 21:13, 24:8, 24:21, 25:1, 49:9, 53:22, 54:1, 60:16, 67:17, 77:6, 77:14, 116:11, 126:14, 127:18, 133:10, 155:22, 168:15 needed 117:5 needs 31:20, 35:16, 48:11, 72:2, 116:10, 167:5, 168:16 negative 104:1 neither 179:8 nerve 100:6, 106:7, 106:8 nerves 102:22, 103:2, 103:4, 103:6, 105:18, 106:6 neurocognitive 48:12 neurodegenerative 39:11, 39:13, 39:15, 40:1, 42:12, 57:5 neuroimaging 56:2, 56:11, 56:14 neurologic 102:19, 102:20, 105:16, 108:8,
--	--	---	--

109:6 neurological 99:17, 99:18, 117:14, 117:17, 128:8, 171:12, 173:21 neurologist 22:4, 22:15, 24:6, 38:21, 85:10 neurologists 23:17, 35:10, 37:16 neurology 83:4 neuropsych 98:12 neuropsychologic- al 27:9, 46:3, 46:9, 48:14, 50:1, 59:13, 60:1, 83:7, 120:17, 132:15, 132:19, 139:5, 139:13, 139:20, 140:6, 140:12, 140:16, 152:21, 155:1, 155:6, 161:12, 166:11, 166:14, 171:11, 173:20, 174:6, 174:11 neuropsychologist 7:14, 21:21, 22:1, 22:10 neuropsychologis- ts 43:20 neuropsychology 21:20, 42:22, 43:1, 43:5, 43:6, 98:9 neurosurgeon 22:5 neutral 128:14 never 31:3, 87:18,	100:12, 128:2, 143:7, 143:20 new 3:15, 3:21, 7:19, 10:10, 53:21, 56:17, 170:16 newman 3:12, 7:6, 7:21, 11:22, 24:15, 27:3, 27:17, 30:1, 32:9, 32:13, 33:15, 39:6, 42:2, 42:5, 46:6, 46:18, 50:12, 53:11, 56:19, 62:20, 64:14, 65:8, 69:7, 70:6, 75:6, 76:2, 76:4, 82:6, 83:10, 92:3, 92:10, 92:12, 93:4, 94:5, 96:4, 105:9, 112:6, 113:14, 128:15, 129:17, 130:7, 131:10, 136:20, 137:17, 138:1, 138:4, 138:8, 138:13, 138:16, 139:13, 139:19, 140:5, 140:11, 149:8, 154:8, 155:2, 155:7, 156:18, 157:10, 161:8, 161:18, 164:8, 164:22, 165:3, 165:6, 165:14, 167:4, 167:9, 174:15, 175:13, 176:7, 176:8, 176:14 newman's 24:22, 35:22, 44:3, 52:4,	54:16, 55:8, 74:16, 80:5, 80:11, 80:15, 82:19, 127:16, 132:14, 152:1, 154:1, 154:12, 161:10, 163:1, 163:2, 174:18 next 8:17, 15:22, 39:3, 41:15, 49:20, 102:11, 115:18, 116:13, 117:13, 118:5, 122:9, 126:1, 139:9, 174:1 nine 160:14, 162:4 noble 48:1 nobody 45:5, 50:16 nod 8:21 non-psychiatric 121:8 non-standardized 45:14 none 46:21, 51:2, 69:16, 86:4, 86:5, 87:5, 102:4, 130:16, 139:19 normal 24:5, 36:13, 68:20, 94:17, 95:10, 95:12, 98:1, 100:11, 100:13, 100:15, 101:16, 101:18, 101:20, 102:22, 104:1, 114:7 norman 10:21 north 3:16, 10:13 northwest 2:6, 3:7	notarial 179:13 notary 2:13, 179:1, 179:18 notations 91:12, 141:7 note 5:11, 5:16, 33:10, 81:5, 84:14, 88:16, 88:20, 104:19, 105:10, 141:7, 143:5, 143:10 noted 141:20, 143:1, 146:5, 147:10 notes 5:17, 39:5 nothing 63:7, 86:2, 94:9, 143:8, 143:20, 158:12, 177:1 nothing's 156:19 notice 2:13, 89:20 noticed 124:12 noting 143:13 novel 40:14 november 110:12, 111:20, 148:4 nuanced 56:22 nuances 129:2 number 59:8, 76:12, 99:1, 99:3, 99:15, 105:3, 112:21, 113:8, 118:7, 134:13, 137:9, 138:20,
---	---	--	--

142:9, 144:15, 146:3, 146:14, 146:15, 149:3, 149:10, 151:5, 154:2, 155:15, 157:18, 160:21, 163:16, 170:22 numbers 7:2, 88:9, 95:20, 132:21, 132:22 numbness 107:2, 108:17 nursing 81:21	obvious 100:1, 158:6 obviously 8:3 occasion 9:3 occupation 133:11 occurred 62:11 october 16:1 odd 62:7, 62:9 oddly 93:4 offense 12:20 offer 152:14 office 5:17, 49:16, 77:17, 83:5, 85:9, 109:22, 147:6, 147:14 officer 179:2 officers 128:21 offices 2:2 official 59:21, 101:8 often 23:16, 28:3, 28:12, 35:21, 52:17, 100:15, 101:15, 122:20 oh 12:11, 41:15, 74:11, 89:14, 99:5, 155:20 olfactory 106:8 once 41:22, 46:9, 54:14, 63:1, 76:14, 78:2,	82:20, 83:3, 90:2, 93:14, 93:21, 102:19, 104:15, 109:9, 112:2, 116:13 one 8:17, 9:4, 9:22, 10:8, 10:13, 10:14, 10:20, 11:11, 17:11, 18:14, 23:13, 24:7, 25:19, 26:20, 27:5, 28:22, 37:2, 37:17, 38:1, 38:2, 44:18, 47:21, 48:21, 51:3, 56:2, 56:20, 57:7, 61:7, 63:10, 67:2, 68:2, 70:14, 71:14, 71:18, 72:21, 73:5, 75:19, 76:8, 78:6, 78:18, 79:22, 80:17, 85:10, 85:11, 89:14, 92:7, 99:8, 102:11, 103:8, 104:13, 105:8, 105:11, 108:3, 109:15, 109:21, 110:4, 111:20, 113:4, 114:18, 115:18, 116:22, 119:8, 120:15, 126:8, 130:19, 132:12, 133:4, 134:6, 135:11, 143:1, 144:8, 146:2, 146:3, 146:9, 149:7, 149:11, 153:19, 155:22, 157:10, 164:1, 166:21, 168:1, 169:19, 170:14,	174:21, 175:3, 175:14, 175:15, 175:19, 176:16 one's 75:22 ones 10:18, 12:9, 19:8, 25:16, 29:5, 75:3, 88:12, 103:9, 112:4, 146:21 only 22:17, 23:14, 35:22, 39:9, 41:9, 44:20, 56:4, 58:19, 83:8, 87:1, 123:3 opening 103:10 operation 52:10 opine 67:14 opined 33:14 opinion 14:10, 19:15, 24:12, 24:13, 24:22, 31:22, 32:8, 32:18, 32:22, 33:3, 33:4, 36:20, 39:2, 43:20, 46:17, 52:4, 62:19, 65:10, 66:5, 68:7, 72:11, 76:15, 80:10, 84:22, 120:7, 129:14, 129:18, 130:7, 131:5, 136:14, 136:16, 136:19, 137:18, 141:19, 142:1, 142:2, 144:1, 152:19, 153:2, 153:7, 154:10, 154:13,
o	oath 8:2, 65:4 object 9:3, 168:10 objection 9:4, 35:18, 37:22, 50:18, 51:4, 64:6, 65:22, 77:2, 127:20, 128:2, 140:1, 156:9, 168:21, 170:10, 172:9 objective 29:4, 29:22, 41:22, 71:5, 115:15, 119:1, 163:9, 172:12, 172:17 objectively 61:13, 163:11 observations 66:19 observed 88:18 obtain 126:13 obtained 64:17, 166:20 obtaining 125:9		

154:17, 154:22, 155:5, 156:18, 163:13, 165:16, 166:22, 168:15, 169:3, 169:5, 171:6, 173:6, 175:12 opinions 16:6, 20:12, 20:22, 24:18, 32:2, 32:3, 37:2, 54:7, 66:13, 67:19, 70:12, 70:15, 80:11, 80:16, 119:7, 131:18, 140:17, 154:19, 163:3, 163:5, 165:19, 171:21, 172:12, 172:14, 173:3, 174:18, 174:19, 175:1, 175:7, 176:19 opm 47:3, 47:6, 53:6 opposed 21:15, 159:17 option 31:3, 175:22 oral 52:6 orally 8:21 order 41:7, 98:21, 134:12 orientation 114:16 oriented 34:14, 100:21, 101:2, 104:6, 105:17, 108:12, 114:17 original 20:13, 24:11 ot 117:1, 117:5	other 8:12, 12:8, 18:2, 18:3, 21:15, 23:19, 25:11, 27:16, 28:21, 29:1, 30:17, 30:18, 35:8, 35:10, 35:20, 37:16, 39:19, 44:16, 45:7, 49:13, 51:12, 53:22, 57:5, 57:8, 58:20, 62:16, 64:18, 64:21, 65:12, 65:17, 67:22, 68:13, 69:2, 71:7, 75:3, 78:19, 80:8, 86:6, 87:7, 93:3, 93:5, 94:19, 95:10, 96:5, 111:15, 112:7, 120:21, 121:19, 124:21, 126:12, 131:15, 132:15, 133:5, 145:12, 147:21, 154:14, 158:10, 167:1, 167:18, 168:5, 169:21, 170:8, 172:19, 174:18, 175:1, 176:16 others 157:20 otherwise 8:22, 30:5, 78:9, 170:12, 179:11 out 15:19, 40:9, 63:7, 66:21, 76:17, 78:15, 94:2, 95:13, 106:7, 112:16, 114:1, 135:12, 170:7, 173:18,	175:10, 176:6 outcome 116:3, 117:15, 179:11 outs 175:18 outside 77:2 over 8:11, 18:8, 39:17, 41:1, 65:9, 68:6, 75:6, 75:17, 80:11, 80:16, 145:12, 151:15, 169:17 overall 14:16, 26:5, 44:22, 66:8, 113:19 overdressed 93:8, 93:12 overlearned 40:7, 40:10, 40:19, 40:20, 40:22 own 44:19, 78:2, 95:3, 140:9, 141:1, 167:10 <hr/> <p style="text-align: center;">P</p> <hr/> p-a-r-e-s-t-e-s-- i-a 108:11 p-e-r-r-i-n 99:10 pacemaker 77:21 page 4:2, 8:1, 21:7, 21:12, 31:21, 33:10, 33:11, 33:21, 33:22, 34:6, 35:20, 39:4, 41:14, 41:15, 42:21, 45:22, 46:1,	46:8, 47:2, 47:14, 48:13, 52:3, 54:14, 55:22, 56:1, 57:12, 57:14, 60:19, 62:13, 68:9, 74:1, 76:14, 77:20, 80:21, 81:4, 81:5, 84:14, 88:3, 88:15, 99:6, 99:14, 102:1, 102:16, 102:17, 104:13, 108:5, 108:21, 110:17, 111:8, 113:17, 115:16, 115:17, 115:18, 116:14, 117:13, 121:1, 122:9, 124:5, 126:1, 134:21, 135:3, 135:8, 135:19, 137:14, 137:20, 139:3, 139:10, 141:6, 142:19, 142:20, 146:8, 146:9, 147:1, 147:6, 148:3, 149:9, 149:12, 149:17, 149:21, 149:22, 151:14, 151:20, 151:21, 160:3, 164:2, 164:4, 170:20, 170:22, 171:3, 171:4, 171:6, 171:8, 173:17 pages 1:21, 6:5, 6:7, 6:9, 6:11, 6:19, 76:8, 76:12, 105:12 pain 86:8, 86:10 <div style="background-color: black; width: 100px; height: 1em; margin: 5px 0;"></div> 160:9 paragraph 32:6, 40:9,
---	--	---	---

41:16, 41:17, 94:15, 136:3, 164:5, 171:8, 171:9 pardon 170:16 parenthetical 46:3 paresthesia 108:10, 108:16 parsnips 55:4 part 22:7, 30:12, 31:17, 40:6, 44:8, 45:7, 46:16, 52:17, 52:18, 52:19, 59:22, 103:6, 127:6, 139:16, 161:4, 164:15, 170:3, 171:11, 172:10, 173:20 particular 67:8, 133:11 particularly 56:12 parties 179:9 parts 13:22, 44:20, 72:5, 123:3, 135:11, 166:5 pass 98:10 passed 15:19, 85:14 past 27:4, 36:14 patient 37:19, 45:19, 48:5, 83:4, 89:14, 109:3, 115:6, 116:5, 116:22, 117:3, 117:6 patients 158:9	patrick 3:4 pauline 3:12, 7:6, 164:22, 176:14 pause 168:7 pcr 84:12 peer 52:8 pen 103:8 people 22:12, 22:14, 23:20, 24:1, 28:5, 35:11, 38:13, 40:5, 42:17, 54:1, 58:1, 58:3, 61:22, 64:4, 64:9, 66:17, 66:22, 71:7, 79:22, 89:10, 91:7, 94:16, 100:13, 132:21, 133:5, 133:12, 160:11, 160:14, 162:4, 164:14, 169:11, 169:13, 169:17, 169:21, 170:1, 172:4, 172:11, 173:6, 174:19, 175:1 percent 94:15 perfectly 154:12 perform 30:7, 71:5, 82:1, 84:16, 126:8, 126:10 performance 48:21, 49:22, 59:11, 124:2, 159:22 performed 29:8, 29:13,	68:1 performing 29:9, 60:13, 71:17 perfunctory 158:1, 158:4, 158:5 period 75:7, 147:22 ██████████ 160:7 ██████████ 5:12, 99:9, 110:5 ██████████ 160:9 person 27:12, 28:17, 29:20, 34:14, 35:4, 37:3, 38:4, 38:11, 38:22, 41:6, 53:22, 57:22, 60:5, 60:12, 60:15, 63:18, 64:2, 67:4, 71:1, 73:18, 83:19, 89:9, 90:5, 91:19, 93:1, 97:8, 100:21, 101:2, 104:6, 105:17, 106:1, 108:13, 114:19, 129:6, 129:8, 130:20, 133:5, 155:10, 162:15, 164:10 person's 103:11, 104:3, 123:4, 163:13 personal 33:15, 37:13, 138:7, 138:12, 138:15, 157:9, 166:2, 174:9 personally 137:17, 164:8 perspective 36:19, 127:15,	127:16 pertinent 103:22 ph 1:10, 2:1, 4:2, 5:4, 5:6, 5:8, 5:10, 7:4, 178:2 philbin 3:4, 4:4, 4:6, 16:1, 34:1, 34:4, 35:18, 37:22, 50:18, 51:4, 55:14, 57:12, 64:6, 65:22, 77:2, 95:17, 112:18, 127:20, 128:1, 128:6, 132:2, 132:9, 134:11, 134:15, 137:7, 137:11, 138:22, 140:3, 141:4, 142:8, 142:11, 144:17, 146:13, 146:17, 149:5, 151:3, 151:7, 151:18, 155:12, 155:17, 156:2, 156:4, 156:11, 156:16, 162:18, 163:14, 163:18, 164:19, 168:10, 168:21, 170:10, 170:20, 172:9, 173:12, 176:12, 177:1, 177:4 phone 15:10, 170:16 physical 44:7 physician 22:15, 61:5, 61:14, 89:8, 98:4, 104:9, 129:4, 129:19 physicians 50:13, 67:22, 69:16, 105:3,
---	--	---	---

111:4, 128:21, 129:17, 154:14, 154:18, 158:3, 158:10 picture 26:12, 78:13, 79:4 piece 131:13 pilot 28:16 pilots 28:13, 53:6, 169:14 place 34:15, 83:6, 100:21, 101:2, 104:6, 105:17, 106:1, 108:13, 114:20, 156:17 plaintiff 43:1, 43:2, 43:6 plaintiff's 43:17 plan 104:13 planned 120:8 plausible 89:12, 89:13 please 7:9, 40:9, 67:12, 96:8 pllc 2:5, 3:6 plus 40:21 pma 6:16 pn 98:22, 99:2, 99:14, 102:17, 105:13, 108:22, 113:9, 115:18, 147:2 pn_3 146:3	point 54:4, 58:16, 59:9, 61:17, 70:19, 82:3, 132:13, 132:18, 135:11, 140:19, 153:19, 157:11 pointed 112:16, 173:15, 173:18 points 126:3, 135:13, 141:6 police 128:21 poorly 82:1 poses 121:19, 124:21 position 30:8, 30:10, 35:4, 138:2, 138:5 positive 103:22 possibility 89:17 possible 32:12, 35:3, 40:15, 54:15, 73:11, 76:17, 81:7, 93:8, 95:8, 125:4, 125:14, 172:18, 174:12 possibly 42:8, 65:11, 73:15 postdoctoral 22:2 potential 52:9 potentially 41:21, 42:10, 122:10, 159:13 power 128:22 practice 6:1, 37:9,	57:15, 118:1, 118:13, 158:19, 159:6, 166:4 practices 32:9, 122:10 practicing 40:21 practitioner 38:18, 171:20 practitioners 37:18 pre-marked 105:6 preexisting 163:12 preferable 59:22 preferably 49:22, 59:12, 71:22, 169:22 prelabeled 102:12, 107:22 premorbid 26:1, 27:6 preparation 14:22, 118:17, 119:5 prepare 13:17, 17:19, 98:17, 98:18 prepared 18:3, 87:21, 88:8, 110:7 prescribed 69:8 presence 76:17 present 3:20, 27:4, 68:15, 83:7, 99:20, 131:6 presented 35:8 president 130:22 pressure 65:19, 66:2, 68:20, 92:20	presumably 111:7 presume 92:5 pretty 9:9, 23:13, 42:12, 48:7, 54:10, 97:21, 98:11, 98:13, 125:19, 127:4 previous 14:17, 25:8, 27:7, 27:8, 27:21, 37:12, 48:21, 55:2, 71:2, 111:8, 175:15 previously 124:12 primarily 48:1, 54:15, 74:6, 119:12 primary 22:15 prior 37:2, 38:10, 88:5 probably 7:18, 18:8, 27:14, 69:2, 84:8, 107:7, 111:9 probative 75:14, 75:19 problem 22:17, 22:18, 56:17, 84:7, 87:12, 89:7, 89:11, 93:9, 97:9, 97:11, 97:13, 100:1, 111:9, 115:9, 117:16, 121:19, 126:20, 130:17, 130:18, 141:8, 144:8, 144:11, 145:9, 145:13, 148:8, 148:12,
--	---	--	--

148:18, 150:7 problem-solve 115:11 problem-solving 25:9, 115:10, 172:20 problematic 138:14, 138:18 problems 26:17, 54:15, 55:11, 58:6, 58:8, 60:15, 73:19, 81:17, 82:5, 109:10, 115:14, 117:13, 124:21, 144:7, 149:22, 167:11, 167:15, 167:21 process 39:11, 39:14, 40:2, 42:12, 62:2, 103:17, 125:5 processing 26:19, 26:20, 40:13 product 70:21, 70:22, 173:8 profession 7:12, 38:9, 57:18, 118:20 professional 37:3, 38:4, 128:13, 137:18, 158:8 professionally 164:9 professionals 37:17 professor 162:20, 162:22 progress 5:11, 64:3 progressive 39:17 prominent 39:10	pronunciation 92:15 proper 68:1, 81:16 properly 29:8, 29:19, 88:12, 172:5 prosecution 12:22 proved 76:22, 77:1 provide 19:11, 24:21, 172:21 provided 19:1, 44:6, 86:1, 118:4, 147:13, 147:22 provider 89:16 providers 143:1, 157:19 proxy 166:1 psych 112:6 psychiatric 6:2, 17:10, 21:19, 101:11, 104:4, 107:10, 107:19, 108:18, 109:6, 117:9, 118:2, 121:7, 122:5, 122:16, 126:9, 127:18, 128:3, 158:20, 159:17, 159:19, 167:20 psychiatrist 22:15, 80:21, 81:1, 81:2, 120:22, 125:3, 126:15, 126:17, 127:6 psychiatrists 48:2, 118:14, 118:15, 119:3, 159:7, 166:5	psychiatry 58:3, 81:3, 122:11, 166:8 psychological 21:18, 78:12, 97:1, 107:19, 126:13, 127:1 psychologist 15:9, 21:14, 21:15, 21:16, 21:17, 29:9, 29:14 psychologists 45:17, 48:1 psychology 18:22, 21:22, 166:8 psychometrically 26:2 public 2:14, 179:1, 179:18 publication 54:10 publicly 98:14 pulmonologists 157:19 purpose 83:22, 84:2 pursuant 2:13 put 19:8, 19:17, 20:11, 59:9, 63:10, 65:20, 66:3, 69:16, 86:5, 90:5, 100:13, 103:21, 105:5, 117:21, 136:7, 136:8, 136:9, 136:10, 143:6, 143:15, 143:19, 150:13, 154:7, 154:11, 158:6, 166:19, 167:4, 167:9 puts 61:15	putting 64:4 <hr/> Q <hr/> qualifications 21:7, 21:13 quality 163:4, 171:22, 172:14 quantified 59:14 question 8:16, 9:5, 9:8, 10:3, 28:1, 31:5, 43:10, 53:5, 56:8, 56:10, 56:19, 56:21, 68:4, 68:5, 83:14, 89:18, 94:20, 96:8, 124:17, 130:12, 130:19, 131:2, 135:20, 137:15, 137:16, 139:3, 139:10, 139:12, 139:17, 140:2, 140:8, 142:21, 143:3, 143:5, 143:8, 151:21, 152:4, 154:6, 172:11, 172:13, 172:14, 174:1, 174:3, 176:16 questioning 40:14 questions 9:1, 9:4, 9:10, 21:14, 98:15, 123:20, 126:12, 132:1, 132:11, 138:11, 156:22, 159:1, 164:20, 166:4, 169:9, 173:10, 175:17, 175:19, 176:1, 176:22 quickly 173:13
---	--	--	--

<p>quite 152:10</p> <p>quote 32:7, 33:15, 59:11</p> <hr/> <p style="text-align: center;">R</p> <hr/> <p>radiologist 43:11 [REDACTED] 65:3, 153:19, 153:20, 154:5, 154:7, 154:10, 155:4, 156:18, 156:21, 157:4, 175:3, 176:3</p> <p>raise 123:20, 161:11</p> <p>raised 161:20</p> <p>raising 124:17, 159:13</p> <p>range 25:15</p> <p>rapid 84:9</p> <p>rare 22:19</p> <p>rate 13:12, 13:14, 52:9, 95:3, 95:6</p> <p>rather 76:22, 159:15, 168:1</p> <p>rating 84:16, 84:21</p> <p>reach 15:19, 126:15</p> <p>reached 153:4</p> <p>reacting 114:7</p> <p>read 13:19, 14:14, 26:8, 31:11, 40:7, 40:9, 41:16, 44:2, 68:6, 91:21,</p>	<p>93:12, 100:19, 102:20, 105:15, 107:10, 108:8, 116:21, 135:9, 136:3, 137:15, 139:10, 142:20, 152:6, 164:5, 165:13, 166:6, 171:10, 178:3</p> <p>reading 120:16</p> <p>reads 164:7</p> <p>ready 34:8</p> <p>really 12:11, 27:12, 41:2, 75:4, 93:11, 95:4, 97:19, 112:9</p> <p>realm 159:17, 159:18</p> <p>reason 20:8, 25:20, 36:16, 38:2, 45:9, 47:12, 83:2, 84:19, 111:12, 122:2</p> <p>reasonable 24:13, 42:14, 54:7, 86:19</p> <p>reasoning 52:20</p> <p>reasons 119:8, 121:8, 121:19, 124:21, 166:17</p> <p>rebuttal 5:9, 19:19, 20:9</p> <p>recall 20:14, 41:7, 62:6, 80:13, 92:18, 93:6, 153:1, 157:21, 158:22</p> <p>recalling 39:7</p>	<p>recent 165:22</p> <p>recently 111:20</p> <p>recessed 55:19, 95:18, 112:19, 132:5</p> <p>recognition 39:6</p> <p>recognize 17:14, 19:19, 20:5, 20:9, 134:18, 163:21</p> <p>recognized 46:10</p> <p>recommend 87:10, 97:4, 140:5, 140:10, 174:5, 174:11</p> <p>recommendation 117:9</p> <p>recommendations 102:4, 104:16, 117:8</p> <p>recommended 87:6, 90:21, 112:6, 117:19, 138:18, 139:19, 140:15, 174:3</p> <p>reconvened 55:20, 95:19, 112:20, 132:6</p> <p>record 5:13, 7:9, 8:20, 23:5, 89:1, 94:13, 100:20, 102:8, 102:13, 103:21, 105:7, 108:1, 108:2, 108:9, 111:3, 112:4, 113:2, 113:7, 118:12, 129:22, 143:3, 143:4, 143:11, 143:13, 145:6, 145:12, 147:13, 177:6, 179:5</p>	<p>record's 136:16</p> <p>recorded 8:5, 133:1</p> <p>records 5:20, 6:13, 6:16, 13:20, 13:21, 14:3, 14:4, 14:5, 36:2, 36:6, 46:20, 50:16, 51:2, 54:19, 54:20, 66:9, 69:13, 69:17, 74:14, 74:16, 75:2, 75:14, 75:19, 76:5, 76:9, 76:12, 78:3, 86:1, 86:14, 87:5, 87:10, 87:11, 88:5, 89:21, 90:21, 92:16, 93:16, 93:21, 95:15, 99:8, 102:14, 103:14, 105:8, 127:7, 127:10, 127:11, 130:2, 141:8, 141:15, 142:22, 144:3, 144:19, 145:3, 145:5, 146:4, 147:12, 147:21, 148:11, 149:7, 150:11, 154:3, 157:18, 173:2</p> <p>redirect 169:8</p> <p>reduced 179:7</p> <p>refer 19:18, 20:7, 21:13, 35:10, 120:6</p> <p>referral 73:12, 107:18, 109:11, 112:7,</p>
--	---	--	--

126:12, 127:11, 128:14, 129:10 referrals 97:9, 123:19 referred 22:14, 37:16, 50:7, 50:16, 51:2, 51:8, 62:17, 74:22, 94:11, 124:22, 167:22, 175:17 referring 23:2, 46:6, 46:7, 50:5, 50:6, 104:21, 129:3, 145:6, 145:13 refers 30:13 refused 176:10 regard 54:14, 55:10, 82:17 regarding 124:13 regina 6:11, 6:19 regular 22:8, 169:14 regulations 47:3, 47:6 relate 53:2 related 9:21, 22:3, 22:17, 59:6, 67:1, 72:8, 78:1, 81:3, 103:9, 120:3, 152:2, 159:10, 179:9 relating 73:11 relationship 23:21, 37:13, 38:14, 138:8, 138:16, 163:12,	166:1, 174:9 relatively 155:8, 155:9 relevant 52:11, 67:13, 77:21, 91:18, 96:9, 123:3, 126:10, 126:12 reliable 30:5, 51:19, 51:22 relied 35:22, 54:16, 54:18, 54:21, 55:2, 131:18, 161:4 rely 37:17, 38:9 relying 55:8, 75:12 remarks 87:21 remember 11:2, 16:13, 26:9, 27:13, 31:9, 41:9, 84:5, 120:16, 132:16, 133:16, 139:20, 159:4, 162:13, 165:9 remembering 73:19 remotely 95:13 remove 89:9, 89:12, 111:13 removed 76:21, 77:1, 77:16, 91:6, 148:7, 148:17 removes 89:16 render 154:19 repeat 165:9 repeating 31:7	report 5:3, 5:5, 5:7, 5:9, 13:19, 13:20, 14:2, 14:6, 17:14, 17:19, 18:11, 18:16, 19:8, 19:14, 19:19, 20:4, 21:1, 22:20, 33:20, 33:21, 39:4, 41:15, 43:1, 43:5, 43:6, 55:22, 56:10, 58:19, 60:22, 67:7, 67:9, 67:18, 74:3, 76:16, 86:16, 87:20, 88:1, 88:8, 88:15, 89:2, 91:22, 96:3, 102:9, 105:2, 112:5, 116:18, 141:1, 141:18, 143:6, 143:10, 143:16, 143:19, 157:5, 160:3, 162:12, 164:2, 171:7, 173:19 reported 1:22, 135:12 reporter 8:12, 179:1 reports 15:3, 18:3, 18:8, 20:12, 24:17, 32:2, 63:12, 80:17, 87:2, 121:22, 150:18, 150:21, 166:20 represent 122:11, 134:16, 142:12, 144:18, 162:22 represented 139:16	representing 7:21 require 44:18, 79:15, 85:7, 97:4 requires 85:5 residency 22:3, 58:1 resolved 69:22, 70:3, 88:21, 88:22, 89:18, 144:10, 148:8, 148:13, 148:15 respect 133:1, 152:1 respects 124:1 respond 8:20, 75:11 responded 36:13 response 20:15 responses 114:5 responsibilities 35:5 rest 10:10 result 134:3, 134:6, 136:19, 164:17 results 132:14, 132:22, 133:4 retained 12:4 revere 164:8 reverse 70:19, 146:2 reversed 171:18 reversible 57:2 reversing 70:16
---	---	---	---

review 15:2, 18:11, 19:13, 36:2, 36:7, 52:8, 67:8, 94:9, 119:4, 134:22, 142:16, 145:2, 156:6, 156:12, 162:11, 162:15, 177:4 reviewed 13:20, 13:22, 18:10, 18:14, 46:21, 54:19, 54:20, 54:21, 80:17, 98:22, 112:5, 112:16, 118:17, 120:12, 144:20, 149:22, 160:4, 161:2 reviewing 18:9, 32:7, 51:15, 175:12 reviews 157:21 revision 47:17 ██████████ 99:9, 110:5 right-hand 147:2, 149:10 rise 98:21 risk 69:11, 117:14 role 56:12, 67:11, 67:15 room 96:19 rothstein 6:5, 6:7, 6:9, 14:1, 14:9, 15:3, 24:19, 44:2, 44:12, 45:1, 50:15, 76:14, 80:2, 80:9, 85:22,	86:5, 86:17, 94:21, 131:18, 134:17, 135:5, 135:21, 136:20, 138:7, 138:14, 139:18, 140:4, 140:10, 140:15, 170:13, 170:14, 170:19, 173:14, 173:18, 174:9 rothstein's 76:16, 91:21, 95:5, 137:13, 139:2, 162:12, 165:12 rule 42:19, 63:7, 76:16, 94:2, 95:13 rules 28:9 <hr/> S <hr/> s-y-n-c-o-p-e 116:15 safe 130:13, 130:21 said 9:11, 9:14, 15:11, 15:19, 34:5, 34:14, 36:15, 38:21, 41:9, 41:10, 43:10, 45:16, 51:21, 52:13, 52:15, 52:22, 53:13, 61:1, 74:15, 75:18, 77:8, 83:17, 86:6, 88:22, 90:17, 93:11, 94:8, 104:11, 107:15, 119:16, 125:9, 128:6, 129:21, 130:16, 131:8, 136:6, 136:10, 143:15, 144:19, 148:12,	155:4, 156:9, 165:12, 165:13, 171:17, 173:18, 179:6 same 8:1, 13:14, 14:4, 23:13, 26:3, 28:6, 28:8, 28:9, 29:10, 29:14, 29:15, 29:19, 34:14, 38:21, 40:6, 43:22, 46:14, 46:16, 53:20, 54:1, 66:7, 77:11, 84:18, 85:8, 91:19, 101:9, 102:12, 107:9, 133:6, 134:5, 134:10, 169:21, 178:4 sample 71:11, 71:12, 134:9 sanity 12:20 satisfied 136:11 saw 20:19, 45:8, 50:17, 63:18, 75:6, 143:2, 143:12, 143:21, 167:13, 172:11, 176:2 say 8:4, 24:12, 25:16, 27:9, 27:11, 31:9, 32:6, 32:22, 33:13, 35:1, 38:12, 38:17, 39:4, 40:6, 40:8, 41:10, 45:6, 45:8, 46:9, 49:3, 52:14, 53:18,	54:14, 54:17, 56:11, 56:22, 62:14, 66:6, 67:9, 67:12, 69:10, 70:1, 70:11, 70:21, 71:7, 71:10, 73:1, 73:4, 75:18, 76:11, 77:5, 77:12, 77:13, 77:17, 77:20, 78:14, 79:4, 83:17, 83:19, 84:9, 85:3, 85:8, 85:9, 87:10, 88:17, 89:14, 93:11, 97:16, 100:9, 104:5, 106:11, 109:2, 113:19, 114:4, 114:10, 114:13, 114:17, 114:22, 115:4, 116:4, 116:10, 119:1, 122:21, 125:19, 130:14, 130:21, 132:18, 138:17, 151:22, 152:12, 153:15, 155:10, 162:9, 164:7, 171:14, 176:5 saying 8:13, 28:15, 38:18, 41:11, 43:4, 59:17, 61:9, 66:16, 67:16, 71:3, 71:4, 72:1, 72:3, 77:11, 78:18, 83:15, 86:10, 89:13, 96:8, 99:22, 115:13, 131:14, 131:20, 154:8, 154:11, 161:22 says 31:14, 34:6,
--	--	---	--

42:10, 47:11, 49:22, 55:11, 56:2, 58:13, 59:10, 82:7, 99:14, 99:20, 101:19, 101:21, 102:20, 104:18, 105:9, 105:13, 105:15, 108:22, 114:1, 114:17, 114:19, 114:20, 115:16, 116:2, 116:9, 117:14, 117:15, 121:9, 122:4, 122:9, 122:14, 123:18, 124:10, 125:3, 125:17, 126:8, 126:12, 135:20, 139:4, 143:18, 149:18, 151:21, 162:21, 163:2, 171:7 scale 158:1 scan 29:19, 29:20, 43:2, 43:16, 43:21, 68:22, 69:5, 77:12, 77:14 scans 43:16, 55:7, 68:14, 68:18, 69:3, 77:8 scheduling 15:20 school 11:13 scientific 32:12, 45:11, 52:12, 52:22, 79:7, 79:9 scientifically 33:3, 36:20, 71:9, 79:8 scope 77:2, 119:17,	123:1, 123:16 score 23:7, 29:15, 29:22, 44:22, 71:6, 77:17, 80:5, 81:14, 82:15, 85:1, 94:17, 95:8, 95:12, 97:17, 135:12, 136:20 scored 83:1 scores 30:6, 31:3, 43:19, 43:21, 82:20, 82:21, 169:21 screening 25:6, 38:17, 59:17, 79:18, 79:19, 82:7, 84:1, 84:2, 85:4, 85:15, 85:18, 85:19, 86:3 scrutiny 35:2 seal 179:13 [REDACTED] 6:21, 160:8, 163:21 second 11:11, 19:14, 21:1, 24:12, 86:5, 86:16, 88:8, 102:17, 108:5, 112:5, 146:10, 170:14, 171:8, 174:21 section 34:7, 46:11, 52:3, 59:9, 60:18, 74:1, 77:8, 77:11, 77:19, 121:3, 128:17, 128:20 see 8:22, 10:11,	10:22, 14:19, 20:18, 25:5, 30:11, 37:18, 42:20, 45:16, 45:21, 46:11, 54:2, 62:3, 64:7, 66:18, 67:22, 69:14, 74:6, 74:12, 78:3, 80:12, 82:10, 84:2, 92:16, 93:3, 98:15, 101:12, 102:1, 109:9, 109:11, 109:16, 110:13, 110:14, 110:18, 111:17, 126:4, 127:7, 131:17, 136:1, 136:12, 137:21, 143:3, 146:6, 147:5, 149:19, 150:10, 152:4, 156:17, 162:8, 166:10, 173:21 seeing 38:21, 54:7, 77:9, 89:8, 100:16 seeking 66:21, 137:18 seem 62:7, 161:21 seemed 107:16, 123:8, 136:11, 162:1 seems 61:2, 61:10, 101:9, 131:21, 148:1, 157:9, 165:21 seen 20:16, 36:4, 42:17, 51:1, 64:22, 65:7, 66:16, 76:5, 80:14, 80:18, 88:4, 89:1,	93:13, 98:5, 111:15, 112:3, 118:18, 127:10, 129:18, 130:1, 130:4, 139:18, 154:12, 156:10, 157:3, 166:16, 166:21, 166:22, 167:3, 167:6, 169:17, 170:6, 172:2 select 138:4 self-care 110:15, 115:20, 117:4 self-referred 22:16 self-report 39:8, 54:16, 55:9 self-reported 88:18 self-reporting 36:1 send 23:20, 35:16, 37:20, 63:16, 104:18, 120:11 sending 24:1, 24:2, 24:3, 24:8 sensation 105:19, 105:20, 106:17, 107:5 sense 61:21, 73:16, 75:16, 100:18, 123:9 sensible 42:18 sensory 102:21 sent 49:10, 105:3, 108:3, 120:15 sentence 40:8, 41:10,
---	---	---	--

41:17, 42:4, 42:10, 46:2, 46:12, 55:11, 137:21, 164:6 sentences 126:8 separate 128:20 sepsis 92:14 september 179:22 series 40:14, 46:5 serious 95:13 serve 47:8 service 108:4, 149:16 services 117:5 session 157:17 set 7:20, 24:13, 88:7, 144:19, 145:2, 145:5, 147:22, 179:12 setting 37:4, 59:20, 59:21, 67:5 settings 58:11 seventeen 146:12 several 51:16, 58:19, 69:8 severe 84:7, 85:15 severity 91:1 sex 28:12, 133:1, 169:11 sharp 61:2, 61:10	she'd 135:21 sheet 145:20, 178:7 shop 130:15 short 42:13 shorthand 179:1 should 29:19, 109:15, 120:18, 125:3, 125:14, 126:7, 126:18, 128:3, 128:8, 128:17, 132:2, 132:4, 136:19, 139:13, 140:11, 140:15, 141:20, 157:5, 174:4, 174:5, 174:11, 174:20 shoulder 82:4 shouldn't 76:20, 76:21 show 30:3, 30:5, 57:6, 68:14, 68:17, 68:22, 69:2, 69:5, 70:21, 71:10, 131:4, 139:22, 140:4, 140:9, 154:2, 158:11, 163:4, 171:3 showed 142:22, 157:17, 167:9 showing 167:10 shown 26:12, 113:4, 146:21 shows 77:6, 93:8, 98:2, 157:13 [REDACTED] 5:20, 113:13	side 43:17, 51:12, 98:1, 129:13, 146:2 sides 129:11 sign 90:12, 100:6, 177:5 signature 19:22, 178:10 signature-k9lvk 179:15 signed 149:13, 178:7 significant 25:8, 26:5, 27:14, 30:4, 31:19, 35:4, 48:20, 49:6, 52:19, 57:4, 60:15, 77:9, 85:13, 90:4, 90:10, 98:4, 98:6, 119:21, 141:11, 142:22, 158:13, 167:11 signs 35:8, 57:4, 77:9, 100:1, 103:7 similar 163:8 similarly 77:14, 158:10 simply 66:9, 164:17 since 44:3, 89:1 single 129:12 sir 14:7 sit 170:9 sits 168:1 situation 34:15, 67:18,	77:14, 104:7, 114:21, 161:19 situation's 35:1 situations 36:13 skilled 117:1, 117:5 skills 152:18 skin 106:20 skip 137:20, 139:9 skipped 160:13 slash 114:3 sleeping 106:3 smell 106:8 [REDACTED] 160:7 soap 106:11 social 37:13, 66:18, 67:1, 67:5 solve 115:14 some 13:22, 14:1, 17:9, 19:7, 21:15, 22:16, 27:4, 27:16, 27:21, 29:1, 30:19, 33:11, 36:12, 37:5, 38:16, 39:16, 39:21, 51:15, 55:7, 56:1, 57:17, 58:6, 58:8, 60:8, 64:9, 64:11, 68:14, 70:16, 74:15, 93:15, 94:13, 97:13,
---	---	---	--

107:1, 111:15, 111:16, 115:8, 117:16, 118:22, 119:22, 120:21, 128:12, 129:11, 132:11, 138:11, 144:7, 152:11, 152:15, 152:16, 160:17, 165:9, 166:17 somehow 155:20 someone 10:2, 13:4, 17:9, 23:5, 23:14, 24:6, 24:7, 26:4, 26:8, 37:12, 37:14, 41:11, 48:9, 49:16, 57:8, 59:18, 61:13, 63:11, 63:20, 69:20, 72:11, 74:22, 76:3, 79:1, 79:11, 79:12, 81:22, 82:16, 84:6, 84:11, 85:9, 91:14, 97:17, 97:22, 114:8, 130:17, 133:10, 144:8, 155:9, 157:4, 157:5 someone's 25:22, 49:10, 50:7, 71:10, 79:9, 120:4, 159:21, 173:7 something 8:18, 10:3, 17:7, 23:7, 26:6, 26:11, 26:13, 27:18, 31:11, 31:13, 31:15, 38:5, 41:10, 53:14, 56:17, 59:16,	63:15, 73:19, 73:20, 81:3, 83:9, 88:18, 91:8, 95:13, 97:11, 97:21, 98:13, 103:15, 107:3, 114:8, 114:9, 119:1, 120:8, 125:21, 126:19, 131:4, 144:10, 154:6, 156:5, 158:4, 159:16, 159:22, 162:5, 165:15, 165:19, 174:13 something's 158:5 sometimes 13:2, 16:5, 17:10, 22:16, 22:17, 24:2, 27:11, 67:1, 74:20, 89:10, 106:10 songs 39:7 sorry 50:22, 140:8, 140:22, 155:20 sort 74:17, 175:10 sorting 26:18 sound 170:17 source 61:8, 129:10 sources 19:7, 25:12, 37:11, 63:22, 71:11, 162:2, 172:18 southern 10:9 space-occupying 68:17 span 114:10, 152:9	speak 63:20, 157:6 speaking 33:17, 145:11 special 11:21, 13:15, 65:18 specialist 21:21 specialists 5:14, 37:21, 102:15 specialty 22:3 specific 68:3, 68:4, 68:5, 72:4, 100:1, 152:14, 152:15, 152:16 specifically 56:13, 120:3, 159:6 specifics 72:22 spectrum 162:7 speculate 90:11 speed 26:19, 26:20, 26:21 spent 109:2 spoke 35:7, 35:9, 37:15, 86:17, 92:2 square 68:11 squeeze 107:8 st 149:8, 149:12 stage 136:12 stamina 152:2, 152:9 stamp 88:9, 99:1,	113:8 stamped 144:20 stand 12:20 standard 32:9, 37:9, 44:14, 44:21, 50:1, 58:17, 63:13, 63:22, 64:2, 126:9, 131:1, 137:2 standardization 134:9 standardized 28:4, 28:7, 40:4, 45:13, 45:15, 48:14, 59:12, 60:1, 71:22, 80:4, 95:3, 95:5, 131:7, 132:20, 133:19, 133:22, 134:4 standards 52:10, 53:7, 167:2 standpoint 61:14, 112:10, 168:14 start 76:15 started 169:9 starting 136:4, 137:14, 139:11, 152:6 starts 134:20, 135:10, 137:22, 151:14, 170:22 state 7:8, 13:4, 35:21, 102:5, 104:16, 109:6, 113:7, 140:8, 145:11 state-level 73:4
--	---	---	--

<p>stated 20:11, 33:16, 65:7, 69:7, 101:15, 119:7, 153:7</p> <p>statement 58:12, 123:4</p> <p>statements 64:22, 96:5, 170:8</p> <p>states 1:2, 16:14</p> <p>status 81:11, 90:6, 100:9, 100:13, 100:19, 100:22, 101:6, 103:1, 113:20, 117:1, 117:17, 120:1, 157:20, 158:3, 158:11</p> <p>stem 103:7</p> <p>stenographically 179:6</p> <p>stigma 122:4</p> <p>stigmatized 122:15</p> <p>still 7:19, 38:15, 60:5, 61:10, 66:7, 71:16, 89:2, 165:19, 166:1</p> <p>stimuli 114:5</p> <p>stop 64:3</p> <p>story 26:10, 31:10</p> <p>strange 171:15</p> <p>street 2:6, 3:7</p> <p>strength 105:20, 107:4</p> <p>strike 62:9, 70:18,</p>	<p>76:2</p> <p>strong 111:10</p> <p>studies 45:12</p> <p>subject 16:3, 47:6, 52:8, 60:5, 66:18</p> <p>subjective 52:7, 159:20</p> <p>submitted 172:7</p> <p>submitting 19:14</p> <p>subsequent 15:15, 19:14</p> <p>substantial 49:21, 59:10</p> <p>substantive 156:22</p> <p>success 136:13</p> <p>sued 16:22, 129:6</p> <p>suffering 86:20, 92:11, 92:12</p> <p>sufficient 66:12, 154:15, 154:19, 168:13</p> <p>suggest 139:12, 147:20</p> <p>suggested 128:3, 174:17</p> <p>suicidal 108:19</p> <p>suit 10:1, 10:5</p> <p>suite 2:7, 3:8, 3:16</p> <p>summarization 5:15, 105:9</p> <p>summarizes 21:12</p> <p>summary 21:6, 32:1, 32:3, 74:2,</p>	<p>110:18, 116:21</p> <p>supervision 179:8</p> <p>supplement 5:5, 13:19, 18:12, 20:20, 141:1</p> <p>supplemental 14:5, 87:20, 88:1, 88:5, 141:1</p> <p>supplemented 73:10</p> <p>support 119:6, 126:15</p> <p>supported 161:11</p> <p>supposed 67:3, 100:7, 102:2</p> <p>supreme 70:9, 70:15, 131:9, 171:18, 173:3</p> <p>sure 19:11, 28:2, 28:17, 47:13, 55:17, 65:14, 75:8, 78:17, 100:17, 104:2, 112:18, 116:11, 151:14</p> <p>surgery 76:8, 124:2</p> <p>surmise 90:4, 95:7</p> <p>surprised 74:15</p> <p>susceptible 40:12</p> <p>suspect 165:20 [REDACTED]</p> <p>160:6</p> <p>sworn 7:5, 8:2, 170:13</p> <p>symmetry 103:10</p>	<p>symptom 62:15, 90:13</p> <p>symptoms 41:22, 130:5</p> <p>sync 116:14</p> <p>syncopal 90:7, 90:9, 130:1</p> <p>syncope 116:16, 116:17</p> <hr/> <p style="text-align: center;">T</p> <hr/> <p>table 48:17</p> <p>take 9:6, 9:7, 17:2, 17:5, 19:9, 27:1, 33:21, 39:20, 42:21, 43:7, 44:1, 44:2, 54:17, 55:15, 59:8, 59:15, 61:12, 65:11, 71:6, 84:9, 87:6, 87:19, 89:10, 91:15, 91:20, 94:16, 95:15, 106:10, 112:11, 112:13, 112:17, 135:2, 151:9, 170:8</p> <p>taken 70:4, 87:13, 133:6, 179:3, 179:6</p> <p>taking 8:6, 9:9, 62:14, 84:5, 156:22</p> <p>talk 8:11, 22:19, 37:1, 46:16, 48:13, 60:4, 67:3, 67:7, 74:5, 94:4</p> <p>talked 18:4, 19:12,</p>
---	---	--	--

24:4, 29:3, 64:21, 77:7, 78:6, 78:10, 96:1, 101:1, 108:12, 120:17, 138:10, 150:17 talking 10:8, 41:12, 59:5, 60:3, 67:4, 100:5, 130:12, 166:11 task 40:22 tasks 60:13, 152:15 technique 52:8 ted 6:4, 6:6, 6:8 telephone 110:1 tell 7:12, 8:22, 53:3, 74:21, 75:4, 111:14, 148:11, 149:6 telling 111:6, 111:8 ten 95:16, 112:17 tend 109:19 term 18:21, 59:4, 100:10, 101:8, 127:4 terminate 121:14, 124:16 termination 10:5, 10:9 terms 28:2, 123:11, 152:9, 162:7 terrible 84:5 test 23:11, 23:12, 24:5, 25:3,	25:22, 26:1, 26:2, 26:3, 26:7, 26:8, 26:18, 27:3, 27:5, 27:15, 27:17, 28:4, 28:6, 28:7, 29:9, 30:6, 31:2, 43:19, 43:21, 44:7, 44:9, 44:15, 44:17, 44:20, 45:7, 45:13, 71:5, 78:11, 79:14, 81:21, 82:1, 82:18, 84:9, 84:17, 85:18, 95:2, 95:7, 97:16, 97:20, 98:10, 106:13, 133:19, 134:3, 135:6, 137:5, 165:3 tested 52:7, 69:1, 133:12 testified 7:5, 12:10, 17:6, 135:21, 143:12 testifying 8:3, 11:6, 22:8 testimony 8:8, 12:7, 12:17, 13:7, 14:14, 27:2, 45:4, 50:19, 51:5, 52:21, 66:19, 73:20, 77:3, 129:15, 165:12, 171:17, 178:4, 178:6, 179:5, 179:6 testing 16:5, 24:8, 25:21, 27:9, 27:21, 35:16, 37:20, 46:3,	46:4, 46:10, 46:14, 48:15, 50:2, 50:5, 57:6, 59:13, 60:2, 77:7, 82:2, 86:6, 87:11, 97:1, 97:15, 112:6, 117:10, 117:18, 120:17, 123:10, 126:13, 126:18, 126:21, 127:1, 132:15, 139:5, 139:14, 139:20, 140:6, 140:12, 140:16, 152:21, 155:1, 155:6, 161:12, 166:12, 168:15, 168:16, 168:18, 174:6, 174:12 testing's 166:15 tests 22:20, 23:1, 24:7, 25:4, 25:15, 25:19, 26:10, 26:11, 26:17, 26:22, 29:4, 44:16, 54:2, 63:19, 84:1, 84:2, 84:6, 85:11, 85:16, 85:22, 86:3, 87:6, 95:4, 95:10, 98:12, 98:19, 132:19, 132:20, 133:6, 152:8, 152:10, 152:15, 152:16, 172:17 text 47:17 th 2:6, 3:7, 21:4, 42:3, 42:11, 99:12, 102:15, 105:10, 108:4,	113:14, 147:14, 149:16, 179:13 thank 12:16, 118:9, 128:11, 151:17, 165:8, 177:3 thanks 156:5 theirs 23:22 themselves 60:13, 89:10, 91:15, 91:20, 124:11 thereafter 179:7 therefore 77:10, 83:18, 125:4 thing 9:13, 28:22, 35:20, 38:1, 38:2, 43:22, 70:5, 73:20, 75:19, 77:19, 80:8, 113:16, 120:16, 149:22, 169:19, 175:14 things 8:11, 22:12, 31:16, 33:12, 37:17, 46:5, 55:9, 60:16, 67:10, 67:11, 68:11, 69:14, 69:18, 72:4, 78:5, 80:2, 82:2, 85:17, 89:12, 97:5, 100:7, 100:13, 103:11, 103:22, 106:18, 111:10, 111:13, 112:14, 126:6, 126:8, 127:7, 128:12, 132:11, 133:12, 169:12, 172:13, 172:20
---	---	--	--

think 10:13, 14:16, 15:22, 20:19, 26:15, 33:2, 35:15, 41:2, 53:13, 55:4, 58:6, 61:6, 65:13, 81:16, 84:22, 86:1, 87:4, 87:9, 87:20, 87:22, 88:12, 93:6, 93:12, 94:12, 101:1, 101:3, 101:8, 105:22, 109:14, 110:6, 111:19, 111:22, 113:3, 114:2, 118:19, 129:9, 129:20, 133:15, 136:15, 138:10, 138:13, 138:19, 139:4, 139:15, 140:22, 141:11, 145:19, 147:15, 148:2, 149:1, 149:11, 151:3, 153:18, 155:20, 157:7, 157:16, 157:19, 158:18, 160:5, 162:10, 163:14, 164:19, 166:13, 167:15, 170:5, 170:18, 173:15, 176:21 thinking 22:17, 26:21 third 94:16, 95:1 thought 91:18, 103:17, 107:13 three 34:2, 38:18, 50:13, 86:16, 97:7, 104:21, 108:12, 108:14, 114:19, 129:17, 	139:17, 154:14 three-year 75:7 threshold 28:3, 28:18, 80:6, 95:9 through 7:2, 42:7, 46:5, 56:1, 68:11, 68:13, 69:7, 69:9, 69:13, 88:11, 99:6, 105:18, 111:11, 111:16, 128:18, 144:21, 166:14 throughout 171:17 160:7, 160:17 time 8:12, 12:20, 13:5, 13:9, 15:19, 15:22, 17:8, 28:8, 34:12, 34:14, 34:15, 37:20, 38:13, 39:17, 40:3, 41:8, 42:12, 42:13, 42:14, 51:14, 53:20, 53:22, 70:6, 80:11, 80:16, 89:9, 89:14, 93:16, 94:16, 94:19, 100:22, 101:2, 104:6, 104:9, 105:18, 106:1, 107:16, 108:13, 109:5, 114:20, 117:6, 131:10, 134:5, 143:9, 144:9, 147:21, 168:5, 174:10 times 7:17, 7:18, 9:15, 12:3, 	17:18, 18:6, 85:20, 108:12, 108:14, 110:9, 114:17, 114:19, 114:20, 162:11, 174:17 today 8:7, 13:10, 17:5, 60:3, 64:15, 112:4, 129:16, 139:15 today's 13:17 together 58:21, 69:17 toileting 116:1 told 12:2, 15:12, 18:10, 18:12, 77:16, 105:22, 117:22, 120:11 took 43:4, 70:3, 94:10, 111:10 tool 81:17 top 14:19, 30:21, 110:5, 111:22, 135:20, 139:9, 141:6, 149:18 topic 41:6 topics 41:3 torridon 2:5, 3:6 total 18:8, 135:12 touch 105:19, 106:17, 106:19 towards 147:5, 150:3, 157:17 town 130:21 	tr 47:17 tracking 100:7 trail 135:17 training 22:2 transcript 5:2, 6:20, 134:21, 155:19, 157:12, 176:2, 179:4 transcription 178:5 transcripts 13:22 transfer 116:11 transfers 116:7, 117:7 transient 144:7 translate 60:14 treated 93:18 treatment 21:17, 39:21, 40:3 trial 10:16, 10:17, 10:18, 12:8, 12:20 trials 12:10, 18:3 trigger 97:3 trucking 11:2, 11:9 true 83:8, 83:13, 121:10, 123:5, 135:22, 178:4, 179:4 truth 8:4 try 8:11, 8:14,
--	---	--	--

8:15, 124:16 trying 27:5, 45:14, 63:21, 82:10 tuesday 1:12 turn 21:12, 31:21, 33:10, 81:4, 99:13, 101:22, 102:16, 108:5, 108:21, 110:17, 121:1, 124:5, 170:15, 170:17 twelve 106:15 two 10:17, 10:18, 17:12, 20:12, 29:18, 43:11, 49:20, 73:6, 75:6, 104:21, 126:7, 141:3, 160:18, 170:17 type 21:16, 22:12, 27:3, 39:9, 46:14, 82:8, 97:15 types 25:18, 118:15, 152:17 typewriting 179:7 typically 21:22, 23:4, 23:7, 66:21, 159:11, 159:19, 159:21 typing 8:13	uncommon 38:12 under 8:2, 34:7, 46:11, 53:7, 65:4, 80:21, 90:10, 99:17, 101:11, 102:20, 104:4, 104:12, 105:15, 111:3, 117:8, 121:3, 123:22, 125:22, 136:6, 179:7 undergo 121:7, 121:20, 122:16, 139:13 understand 8:18, 9:1, 11:19, 175:3 understanding 64:13, 93:9, 101:7, 101:9, 124:7 unethical 124:1 unfit 60:9 unfounded 144:12 unintentional 90:11 unique 28:12, 169:16 united 1:2, 16:14 university 5:18 unless 8:22, 9:5, 23:4, 27:8, 158:5 unlikely 56:16, 57:9, 125:19 unnecessary 124:2 unstable 121:15	until 8:16, 9:4, 20:19, 25:4, 86:15 upheld 70:8, 70:15, 171:19, 173:3 upper 116:1 usable 44:20 use 8:5, 23:4, 27:11, 32:11, 36:20, 44:9, 44:17, 44:18, 45:17, 46:9, 47:2, 47:18, 52:15, 54:7, 57:14, 58:2, 59:4, 61:17, 79:17, 79:21, 97:20, 120:6 using 28:8, 58:13, 119:12, 138:12 usual 162:9 usually 12:19, 22:16, 22:20, 24:1, 24:3, 28:3, 28:11, 49:9, 63:17, 79:17, 100:5, 103:20, 106:13, 118:22, 119:20, 136:12, 158:6	60:20, 63:1, 68:11, 74:7, 96:2, 110:9, 126:6, 157:18 vecchione 3:13, 4:3, 4:5, 4:7, 7:7, 7:21, 34:3, 34:5, 34:9, 51:6, 55:12, 55:15, 55:18, 55:21, 57:13, 66:1, 95:14, 95:22, 112:15, 112:17, 113:1, 118:10, 128:5, 128:7, 131:22, 132:4, 132:12, 132:13, 138:11, 139:16, 140:1, 141:3, 148:3, 151:12, 151:17, 153:18, 154:6, 155:14, 155:22, 156:9, 156:14, 157:16, 158:22, 162:10, 165:1, 170:21, 173:9, 173:14, 174:17, 176:15, 176:21, 177:3 vehicle 130:13, 130:19, 130:22 verbal 26:7, 26:14, 81:7 verbally 45:6 version 79:20, 79:21, 80:3 versus 11:1, 11:13, 27:7, 56:17, 60:11 view 86:18, 89:5, 127:16, 127:17,
U		V	
unbiased 168:9 uncheck 103:16 unclear 69:21		valid 26:2, 30:5, 36:20, 44:9, 44:22, 45:20, 61:13, 71:9, 136:17, 136:21, 144:1 various 36:1, 53:6,	

153:6, 161:12, 166:2 views 32:1, 64:17 virginia 3:17, 5:13, 10:12, 10:14, 10:22, 11:16, 102:14 visit 147:6, 147:14, 148:4 visits 76:6, 110:1 visual 26:10 visuospatial 26:17 vitamin 57:8 volunteered 62:1	warnings 120:22 warranted 155:2, 155:7, 161:13, 166:15 washington 1:11, 2:8, 3:9 way 19:5, 25:21, 28:6, 28:8, 31:6, 35:7, 39:16, 40:15, 44:14, 44:21, 45:14, 61:13, 63:14, 64:7, 71:9, 72:13, 78:20, 79:8, 79:9, 80:4, 84:20, 89:7, 91:2, 95:3, 95:5, 98:8, 98:9, 103:20, 119:6, 127:18, 129:14, 131:7, 133:22, 134:5, 134:10, 136:17, 137:2, 137:5, 140:16, 161:20, 163:4, 163:10, 165:16, 166:19, 166:21, 169:3, 172:2, 172:12, 172:16, 172:21, 173:5, 173:8, 174:22, 175:10 ways 39:19, 58:17, 69:2, 134:7 we'll 9:7, 15:19, 17:4, 19:9, 36:6, 74:14, 78:8, 134:12, 148:22 we're 7:22, 8:13, 28:4, 41:12, 56:13, 65:14,	72:4, 88:3, 113:2, 130:11, 149:1, 163:14, 169:18 we've 9:9, 19:12, 60:3, 64:21, 65:13, 78:10, 101:1, 111:15, 111:16, 113:4, 123:20, 124:13, 127:10, 129:21, 153:15, 166:13 weakness 108:11 weather 92:7 weight 39:1, 90:11, 174:20 [REDACTED] 160:10 went 10:17, 10:18, 15:22, 46:4, 70:22, 85:10, 103:17, 104:3, 111:11, 173:5, 175:16 weren't 44:16, 147:18, 167:1, 168:3 western 10:11, 10:21 whatever 60:17, 86:3, 89:8, 106:4 whereas 120:1 whereof 179:12 whether 9:14, 20:14, 25:13, 26:4, 27:10, 29:15, 36:19, 37:4, 43:21, 53:10, 55:10, 56:9,	56:18, 62:20, 67:22, 71:2, 72:12, 86:8, 88:17, 89:18, 96:17, 100:17, 103:10, 115:14, 119:1, 119:21, 119:22, 125:8, 125:13, 130:7, 131:2, 133:11, 141:20, 154:14, 154:18, 155:6, 164:13, 166:8, 168:8, 168:12, 168:18 whew 84:10 white 145:20 whoever 73:14, 94:10, 114:1 why's 81:19, 167:8 widespread 52:11 wife 138:2, 165:22 willing 98:20 wisconsin 26:18 wish 53:14 withdraw 9:16, 35:9, 84:1 withdrawn 27:1, 92:11 within 16:17, 24:6, 24:13, 30:19, 42:16, 52:11, 86:19, 100:11 without 20:4, 39:21, 58:16, 105:21, 107:5, 115:3
W			
wais 26:3 wais-iv 25:19 wais-v 25:20 wait 8:16, 9:4 want 28:17, 35:21, 39:3, 40:17, 45:21, 55:15, 59:22, 66:15, 67:9, 67:14, 68:10, 68:13, 72:22, 84:11, 95:15, 142:4, 148:20, 150:15, 154:10, 174:20 wanted 14:19, 19:7, 85:12, 119:8, 157:6 warmly 92:13			

witness 11:20, 12:13, 12:14, 34:7, 55:17, 118:9, 151:16, 156:15, 179:12 wnl 100:10 wonderful 31:1 wondering 45:11 word 26:14 wording 171:15 words 23:19, 26:8, 26:15, 39:7, 49:13, 94:20, 98:16, 165:4, 165:9 work 10:2, 23:16, 23:18, 23:21, 31:18, 51:20, 60:14, 64:18, 70:21, 71:10, 71:12, 71:13, 72:11, 89:8, 126:10, 159:16, 167:3, 173:6, 173:7, 175:11, 176:7 worked 61:9 working 15:6, 98:2 workplace 83:16, 121:12, 124:15, 127:17, 164:15, 164:17, 174:14 workplace-related 10:1 works 63:11, 63:14, 84:21, 103:21	worry 28:15, 97:11 worse 42:6, 80:6 worth 135:11 wouldn't 25:4, 28:14, 28:20, 69:2, 75:18, 170:2 wounded 122:15 wrist 44:3, 135:16 write 22:20, 62:1, 176:19 writing 70:12, 71:13, 71:15, 89:2 written 44:8, 54:7, 61:20, 77:11 wrong 20:20, 32:16, 35:6, 35:15, 38:5, 83:9, 85:19 wrongful 10:5, 10:9 wrote 20:14, 63:3, 70:8, 162:20 Y yeah 11:10, 29:6, 32:20, 50:21, 55:14, 56:11, 58:15, 61:19, 68:6, 94:12, 103:5, 103:14, 116:22, 118:19, 151:19, 160:13, 167:7 year-old 28:16 year-olds 28:21	years 16:17, 37:5, 38:18, 38:22, 40:21, 42:16, 42:19, 56:15, 65:9, 154:9, 154:13, 165:15 yesterday 63:18, 67:4 york 10:10 young 1:22, 2:13, 179:2 yourself 68:2 yvette 10:20 Z zero 85:2 zhou 3:21 . .1 48:17, 59:9 0 000001 6:14 000066 6:14 001175 105:13 001230 99:2 001636 113:9 001637 115:18 01 1:13 07 55:19 08 112:19	0982 102:17 1 1 132:6 1.4 45:22, 46:11 1.6 48:17, 52:3, 59:9, 60:18 1.7 54:13 1/5/2023 88:21, 110:22, 111:7 10 5:22, 55:19, 55:20, 94:6, 94:21, 95:21, 109:20, 121:1, 145:19, 146:9, 146:21, 147:10, 148:2 100 28:16, 169:17 102 5:13 105 5:15 107 5:17 109 5:22 11 5:6, 5:12, 5:14, 6:1, 34:7, 95:18, 95:19, 99:12, 102:15, 118:6, 118:7, 158:18 1100 2:7, 3:8 111 6:9, 139:3 112 5:20, 6:9, 171:1, 171:3,
---	--	--	--

171:6, 173:17 1133 149:10, 149:17 118 6:1 12 5:12, 6:4, 6:20, 45:22, 57:1, 87:16, 105:18, 106:6, 106:16, 112:19, 112:20, 132:5, 134:12, 134:13, 137:8, 171:7 1207 108:6 1208 108:21, 108:22 1230 99:4 1233 99:15 126 147:2 13 5:16, 6:6, 137:8, 137:9 132 4:4 134 6:4 137 6:6 138 6:8 14 6:8, 47:2, 138:19, 138:20, 152:7, 170:18, 170:22, 171:3, 171:4, 173:15, 179:22 142 6:10 144 6:13 146 6:15	149 6:11, 6:16, 142:19 15 6:10, 142:8, 142:9 151 6:18 152 6:11 154 6:12 155 6:12, 6:20 16 6:13, 47:14, 48:13, 57:12, 57:14, 139:3, 143:18, 144:14, 144:15 163 6:21 1639 117:14 165 4:5 17 1:12, 2:6, 3:7, 5:3, 5:8, 6:15, 6:17, 52:3, 54:14, 60:19, 146:14, 146:15, 146:18, 149:18 173 4:6 176 4:7 179 1:21 18 6:16, 62:13, 149:2, 149:3, 149:16 19 5:5, 5:21, 6:18, 68:9, 113:14, 115:17, 115:18, 151:4,	151:5, 151:13 <hr/> 2 <hr/> 2 177:6 2.3 80:21 20 5:7, 5:9, 6:20, 42:3, 42:11, 55:20, 95:18, 116:14, 155:13, 155:15, 155:19, 165:15 20006 2:8, 3:9 2019 52:6 202 2:9, 3:10, 3:18 2022 52:6, 70:11, 110:22, 146:5, 147:6, 147:14 2023 15:7, 70:11, 94:6, 94:21, 99:12, 110:12, 111:20, 113:15, 137:19, 148:4 2024 16:2, 42:3, 42:11, 102:15, 105:10, 108:4, 111:17, 149:8, 149:18, 150:8 2025 1:12, 21:4, 179:14 2029 179:22 21 6:21, 149:8, 149:12, 163:15, 163:16, 163:20 22 74:1, 112:20 22203 3:17	23 1:7, 5:10, 5:12, 5:21, 6:7, 6:20 24 5:8, 5:14, 5:16, 5:19, 6:17, 42:3, 42:11, 76:14, 77:20 249 2:9, 3:10 25 5:4, 5:6, 5:10, 38:20 26 179:13 27 5:4, 21:4, 33:21, 33:22, 34:6, 110:22, 146:5, 147:6, 147:14 272 6:12 275 6:12 28 80:21, 135:12 29 38:19, 81:5, 84:15 <hr/> 3 <hr/> 3 136:7 3-ms 23:11, 24:5, 81:11, 82:14, 82:18, 83:3, 85:3, 95:11, 98:14 30 5:19, 38:22, 56:15, 105:10, 108:4 300 3:16
--	--	--	---

31 94:6	6900 2:9, 3:10	
33 94:15, 160:3, 160:4, 164:2	7	
37 164:5	78 6:5, 134:21, 135:3	
39 124:5	79 135:3, 135:8	
4	8	
40 40:21	80 97:22, 98:4, 135:19	
41 6:7, 6:19, 137:14, 151:14	800 76:8	
4250 3:16	801 2:6, 3:7	
43 6:7, 137:21	82 6:5	
44 95:19	869 3:18	
45 136:7, 151:20	9	
46 132:5	9 1:13	
48 6:19	90 169:17	
5	90015 1:7	
50 109:2	95 6:9	
500 13:13, 18:8	96 6:9	
51 177:6	97 28:21	
5210 3:18	99 5:11	
53 132:6		
584255 1:20		
5th 110:12, 111:20, 148:4		
6		
66 144:21		