



Planet Depos®
We Make It *Happen*™

Transcript of Jason M. Johnson, M.D.

Date: May 30, 2025

Case: Complaint Against Circuit Judge Pauline Newman, In Re:

Planet Depos

Phone: 888.433.3767 | **Email:** transcripts@planetdepos.com

www.planetdepos.com

Michigan #8598 | Nevada #089F | New Mexico #566

WORLDWIDE COURT REPORTING & LITIGATION TECHNOLOGY

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

BEFORE THE JUDICIAL COUNCIL
OF THE UNITED STATES COURT OF APPEALS
FOR THE FEDERAL CIRCUIT

- - - - - x

IN RE: :

COMPLAINT NO. 23-90015 :

- - - - - x

Deposition of JASON M. JOHNSON, M.D.
Washington, D.C.
Friday, May 30, 2025
9:12 a.m.

Job No.: 584243
Pages: 1 - 123
Reported By: Karen Young

1 Deposition of JASON M. JOHNSON, M.D., held at
2 the offices of:

3 TORRIDON LAW PLLC
4 801 17th Street, Northwest
5 Suite 1100
6 Washington, D.C. 20006
7 (202) 249-6900

8
9
10
11 Pursuant to notice, before Karen Young, Notary
12 Public in and for the District of Columbia.

13
14
15
16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

A P P E A R A N C E S
ON BEHALF OF THE JUDICIAL COUNCIL OF THE
FEDERAL CIRCUIT:

PATRICK F. PHILBIN, ESQUIRE
CHASE HARRINGTON, ESQUIRE
TORRIDON LAW PLLC
801 17th Street, Northwest
Suite 1100
Washington, D.C. 20006
(202) 249-6900

ON BEHALF OF JUDGE PAULINE NEWMAN:

JOHN J. VECCHIONE, ESQUIRE
GREGORY DOLIN, ESQUIRE
NEW CIVIL LIBERTIES ALLIANCE
4250 North Fairfax Drive, Suite 300
Arlington, Virginia 22203
(202) 869-5210

ALSO PRESENT:

Ned Shannon, New Civil Liberties Alliance

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

EXAMINATION OF JASON M. JOHNSON, M.D.	PAGE
By Mr. Vecchione	5

E X H I B I T S

(Attached to Transcript)

Exhibit 1	Report of Dr. Johnson	11
Exhibit 2	Dr. Filler's Report	14
Exhibit 3	Response Report of Dr.	14
Filler		
Exhibit 4	Article by Beck and Azari	82
Exhibit 5	Article by Hansen et al.	94
Exhibit 6	Telephone Interview for	105
Cognitive Status		

1 P R O C E E D I N G S

2 (Deposition Exhibit Number 1 was marked
3 for identification.)

4 JASON M. JOHNSON, M.D.,

5 having been duly sworn, testified as follows:

6 EXAMINATION BY COUNSEL FOR REGINA CARNEY, M.D.

7 BY MR. VECCHIONE:

8 Q Doctor, could you tell us your full name
9 please?

10 A Jason Michael Johnson.

11 Q All right, and what's your profession or
12 occupation?

13 A Neuroradiologist.

14 Q All right. Have you had your deposition
15 taken before?

16 A No.

17 Q Okay, so I'll just go through the general
18 rules. I'm going to ask you a question. Wait
19 till I'm done with my question before answering.
20 You may think you know the answer -- you may know
21 the answer, but for the record, it's better to
22 make sure that -- for clarity, that I finish and

1 then you start. I'll attempt not to interrupt you
2 as well on your answers. On occasion, your
3 attorney may object. Wait till his objection
4 fades away and then answer the question unless he
5 instructs you not to answer.

6 And if you have any questions or don't
7 understand my question, please ask me to clarify.
8 Otherwise I'll assume that you have understood the
9 question, and that's pretty much the rules. If
10 you want to take a break at any time, I may want
11 to finish up a question, but we can take a break
12 whenever you'd like if you need that, all right?

13 A Yes.

14 Q All right. Do you specialize in a
15 particular field of medicine?

16 A Neuroradiology.

17 Q Okay, and what does that consist of?

18 A Predominantly diagnostic imaging of the
19 brain, head, neck and spine. There's some
20 interventions that we do, particularly for the
21 spine, but also we biopsy and do some procedures
22 in the head and neck also.

1 Q And by interventions, you mean therapies
2 of some sort?

3 A We use image guidance to place needles.

4 Q Okay.

5 A We can deliver drugs through those needles
6 and to certain parts of the body which may have a
7 therapeutic effect.

8 Q Okay. You understand that you've been
9 designated as an expert witness in this case on
10 behalf of the Special Committee of Judicial
11 Council in the matter of Judge Newman?

12 A Yes.

13 Q Okay. Is there any reason you can't
14 provide complete, accurate and truthful answers to
15 the questions to this deposition today?

16 A No.

17 Q All right. Are you charging for your time
18 for this deposition today?

19 A Yes.

20 Q At what rate?

21 A For deposition, \$700 an hour.

22 Q Okay, and that's the same rate you charge

1 the Special Committee?

2 A Yes.

3 Q Okay. When were you first contacted about
4 working on this particular case?

5 A Late January, I received an e-mail from --

6 Q One of the attorneys.

7 A I don't remember if it was Chase or -- or
8 Pat.

9 Q All right. And then you were asked to --
10 to -- to put together your report?

11 A Yes.

12 Q All right, and I asked you before whether
13 you'd had a deposition. You said no, so I presume
14 you haven't been deposed as an expert before.

15 A Correct.

16 Q Okay, and have you ever served as an
17 expert in a legal matter before?

18 A Yes.

19 Q What was that, or what were those?

20 A All as a neuroradiologist.

21 Q Okay. How many times?

22 A About 25 cases.

1 Q All right, and what was the nature of the
2 -- and by nature, let me clarify. Were they
3 malpractice, were they -- that's what I mean, like
4 what kind of cases were they?

5 A Yes, the majority were failure to meet a
6 reasonable standard of professional care.

7 Q Okay, and you didn't go to deposition in
8 any of them.

9 A No.

10 Q Did you provide a report in those cases?

11 A About a third.

12 Q Okay, and you were a consulting expert in
13 the other ones?

14 A The -- some of these are still ongoing.

15 Q Okay.

16 A There may be a deposition.

17 Q I got it.

18 A I do have a deposition that's coming up
19 that I don't think we've settled on a final date
20 yet. Others were settled, and others, they heard
21 my initial opinion and -- and my involvement --
22 they didn't need me anymore.

1 Q Got it, and were any of those cases
2 involved in assessing cognitive function?

3 A There -- in some of the cases related to
4 traumatic brain injury, there was a question of
5 cognitive function that was raised, yes.

6 Q Okay. Did you opine on that or were you
7 separate from that?

8 A The diagnosis and degree of cognitive
9 function was -- was provided by a specialist in
10 that area, usually a neurologist or
11 neuropsychiatrist, and so not being my area of
12 expertise, it was tangential to my case, but I was
13 brought in more specifically from the neuroimaging
14 point of view, and we do not -- we don't use our
15 tools to diagnose cognitive impairment or the lack
16 of cognitive impairment.

17 Q Okay, and have you ever been excluded as
18 an expert by any court?

19 A No.

20 Q You said about 25 times. Do you recall
21 how long ago you were first asked to be an expert
22 in a case? Any case, not this case.

1 A Sixteen months, 18 months.

2 Q Okay, and then since then, you've done it
3 a number of times, I take it.

4 A Yes.

5 Q And since you haven't been deposed, some
6 questions are going to be repetitive just because
7 I've got to ask them, but have you ever been the
8 subject of a Daubert or other hearing as to the
9 qualifications and opinions?

10 A No.

11 Q Have you ever been a defendant in a
12 medical malpractice case?

13 A No.

14 Q When -- have you primarily been retained
15 by the defense or the plaintiff's side in these
16 cases?

17 A Roughly even.

18 Q All right, well, I've had marked already
19 as Exhibit 1 your report in this matter. Do you
20 want to mark them? I have it marked already, and
21 I have copies, gentlemen.

22 MR. PHILBIN: Thanks.

1 BY MR. VECCHIONE:

2 Q And I have a copy for you if you'd like
3 it. I have my own copy here. All right, do you
4 recognize the document in front of you?

5 A Yes.

6 Q And what -- could you state for the record
7 what it is?

8 A This is a printed copy of the report that
9 I filed earlier this year, and the FDA approval
10 document for the software used by Dr. Filler, or
11 rather, George Washington University Hospital.

12 Q Uh-huh.

13 A And then I believe a -- yes, and a copy of
14 my --

15 Q C --

16 A -- curriculum vitae.

17 Q Okay. Is there anything you want to add
18 to your C.V. that's not there that you think is
19 important or relevant to this hearing?

20 A I don't think there's anything. There's
21 been a small number of publications since this
22 time, but I don't think they provide relevance.

1 Q Okay, and prior to this report, how many
2 times had you prepared an expert written report?

3 A Seven or eight.

4 Q Okay.

5 A Approximately.

6 Q All right, and what did you do to prepare
7 for today's deposition?

8 A I reviewed the documents submitted by
9 Dr. Filler. I looked at some of the scientific
10 literature that was referenced in his reports and
11 related to the topic of discussion. I reviewed my
12 report. I looked at some images -- related
13 images.

14 Q From the -- from the scans of -- of Judge
15 Newman?

16 A From the scans of Judge Newman, and I was
17 looking at some related images at the hospital,
18 but after discussion with Mr. Philbin, I stopped
19 that.

20 Q Okay. Have you -- you said reports. Have
21 you -- have you seen Dr. Filler's what we call the
22 rebuttal report?

1 A Yes, sir.

2 Q Okay. Why don't we mark those now. We'll
3 mark the first Filler report Exhibit 2, and the
4 rebuttal report Exhibit 3, and I'll give them to
5 you one at a time just so you can have them in
6 front of you in case you need to refer to them.
7 These have not been put together for reasons
8 unknown. Does anybody have any clips? Here, that
9 one isn't clipped. The rest are clipped. Why
10 don't you mark this one as Exhibit 2.

11 (Deposition Exhibit Number 2 was marked
12 for identification.)

13 MR. VECCHIONE: Apparently just mine is
14 not clipped.

15 MR. PHILBIN: Thank you.

16 MR. VECCHIONE: Let me just do something
17 here, and we will have as Exhibit 3 what I'll call
18 the Filler response.

19 (Deposition Exhibit Number 3 was marked
20 for identification.)

21 BY MR. VECCHIONE:

22 Q Do you recognize those documents, Doctor?

1 A Yes.

2 Q All right, and you've reviewed those as
3 part of your preparation to -- to attend this
4 deposition?

5 A Yes.

6 Q All right. To the extent you need to
7 refer to them at any time, feel free to do so in
8 response to my questions.

9 A Thank you.

10 Q All right, you said you reviewed
11 documents. Are there any documents you reviewed
12 that aren't in your report besides the Filler
13 response?

14 A The -- well, the FDA, so no, I don't
15 believe so. There were the scientific articles
16 that we discussed.

17 Q Right.

18 A The majority of which are referenced, and
19 some of them are included in their entirety --

20 Q Okay.

21 A -- Filler's report.

22 Q All right, and so you reviewed the Filler

1 report, the Filler response, and looked at some of
2 the scientific -- the learned treatises that were
3 referenced there?

4 A Yes, sir.

5 Q All right. Anything else?

6 A Some scientific literature not referenced
7 by Dr. Filler but within the same vein of --

8 Q Okay.

9 A -- of thoughts.

10 Q Do you recall what any of those were?

11 A Not off the top of my head, but I have --
12 but I can find them and share them.

13 MR. VECCHIONE: Okay. Can you provide
14 whatever he reviewed?

15 MR. PHILBIN: Sure.

16 BY MR. VECCHIONE:

17 Q All right, thank you. Did you take any
18 notes?

19 A Yes.

20 Q All right, and where are those notes?

21 A On my computer.

22 Q Okay, and where is that?

1 A In the office.

2 Q Okay. What were the nature of the notes?

3 A Some comments, or some thoughts on the
4 scientific articles that were documented here. So
5 there's a number of scientific articles, and in
6 lieu of highlighting, I made some notes on some
7 key phrases and my thoughts on those articles.

8 Q All right. Do you recall any of those
9 thoughts or what they were on?

10 A Particularly on the -- I believe it's
11 three or four articles relating the use of C.T.
12 perfusion imaging toward neurocognitive
13 evaluation.

14 Q Okay, and you refer to those -- those
15 articles in your report, don't you? Well, turn to
16 your report for a moment.

17 A Yeah, please.

18 Q Page 4?

19 A Okay.

20 Q Dr. Filler points to a limited number of
21 articles indicating preliminary research,
22 suggesting relationship between cerebral perfusion

1 and certain types of dementia at the population
2 level, but perfusion C.T. has not been
3 demonstrated to reliably identify individuals as
4 either having or not having cognitive dysfunction.
5 Are those the articles you're referring to?

6 A Yes, sir.

7 Q All right, and that remains your opinion?

8 A Yes.

9 Q The other thing in your report, you say
10 that you didn't see the raw data, if you look at
11 the first page of your report, you say you didn't
12 see it. Have you seen the raw data since that
13 time?

14 A No, sir.

15 Q What is the raw data?

16 A The -- when the patient's in the scanner,
17 the C.T. scanner for the purposes of acquiring
18 C.T. perfusion, and I'm not -- I don't exactly --
19 know exactly how George Washington University
20 Hospital acquires their C.T. perfusion data for
21 their type of scanner, but the typical methodology
22 is that either the scanner stays still and studies

1 the same segment of brain repetitively, so usually
2 on the order of about one set of images per
3 second, or the scanner jogs. It moves quickly to
4 broaden the volume of tissue that may be studied.
5 Either way, it's taking a rapid set of pictures of
6 the brain from right after the contrast has
7 started to be injected in the peripheral vein for
8 a certain period of time, usually for clinical
9 purposes, and clinical purposes meaning for
10 stroke, which is the way that most of these
11 protocols are set up and the way I believe it was
12 arranged in this case, they scanned for somewhere
13 of the order of let's say approximately 60
14 seconds.

15 Q Okay.

16 A And so you're expecting the number of
17 slices that were acquired times the number of time
18 acquisitions images, which we would either call
19 the C.T. perfusion source imaging or would just
20 generically refer to as the raw data. That data
21 is then pushed to a server which houses the
22 software, the RAPID software in this case, and

1 then that generates -- automatically generates
2 these processed images, which were made available
3 to me.

4 Q Okay, and does the lack of raw data have
5 any effect on your opinion today?

6 A Not to a significant degree. It's novel
7 -- it's the first time I've been asked to engage
8 in a case as an expert on neuroradiology and not
9 been provided with the raw data.

10 Q Okay.

11 A So --

12 Q How many times have you been engaged in
13 such a project?

14 A To --

15 Q To -- to look at the neurological -- to
16 make a neurological evaluation and not seen the
17 raw data, how many times have you done that?

18 A I wouldn't say that I provide a
19 neurological evaluation as a neuroradiologist, but
20 as far as I'm always engaged on an assessment of
21 the central nervous system, so usually the brain
22 and spine. So I'm -- there's always -- there's

1 always neuro -- oh, excuse me. There was one or
2 two cases where it was neck imaging, but there --
3 there's always imaging because I'm a diagnostic --

4 Q Okay.

5 A -- neuroimaging expert.

6 Q All right. All right, so subsequent to
7 your written report, you reviewed the Filler
8 response. Is there anything else you reviewed
9 that you haven't told me about yet since your --
10 writing your report?

11 A No, I think we've talked about everything
12 that I've reviewed.

13 Q Okay, all right. Do you -- you have a
14 number of publications attached to your -- your --
15 that are in your C.V. and are attached to your
16 report. What -- how many of those articles do you
17 consider you're the author or coauthor of where
18 the subject was a cognitively impaired individual?

19 A Oh, excuse me.

20 Q And you can refer to it and think about
21 it. I'm just --

22 A Cognitively impaired.

1 Q Yeah.

2 A Cognitive impairment is a feature of the
3 outcomes in a number of publications involving --
4 let's say six, eight. It's one of the features
5 that is studied in one of the -- so when we treat
6 patients for cancer, we've got a variety of
7 different tools to use to treat that cancer, and
8 for example, in the setting of head and neck
9 cancer, we're pretty successful in putting
10 patients into remission and allowing them to live
11 a long time, so -- but there often are side
12 effects, and one of those could be cognitive
13 impairment.

14 So the goal is is to give them enough
15 treatment to get them into remission, but not so
16 much that you impact their quality of life, and a
17 major quality of life determinant for patients
18 with brain cancer, head and neck cancer would be
19 -- would be cognitive impairment, and so it -- it
20 is a feature in a number of those. It's also
21 tangentially talked about in some of these case
22 reports involving -- well, anyway, but as far as

1 the primary, I think just one or two that I was
2 involved in.

3 Q All right. Now, according to your C.V.,
4 you're a board certified diagnostic radiologist
5 and have a certificate of neuroradiology, and you
6 had a fellowship in pediatric radiology as well?

7 A Yes, sir, a certificate of added
8 qualification from the American Board of Radiology
9 in diagnostic neuroradiology, and that was taken
10 -- those boards were taken after my two-year
11 fellowship in diagnostic radiology at
12 Massachusetts General Hospital, Harvard Medical
13 School, and I chose to do an additional year of
14 training focusing on pediatric neuroradiology at
15 the University of California San Francisco.

16 Q Okay, but you're not a neurosurgeon,
17 correct?

18 A No.

19 Q All right, and you're not a neurologist,
20 correct?

21 A No.

22 Q How often do you work with neurologists?

1 And let me -- let me narrow that down. How often
2 does a neurologist ask you to make a diagnosis
3 from a radiograph like this, a C.T., let's say.

4 A Every day that I'm on clinical service.
5 We -- so the studies are ordered.

6 Q Uh-huh.

7 A And I may not talk directly to the
8 neurologist, but it ends up in my work queue, and
9 we treat it as if they've provided me -- you know,
10 as if they've called me and say hey, can -- can
11 you give me a consultation. Although we don't
12 actually have that verbal communication, it's
13 treated as such, and so every day that I'm on
14 clinical service, some of the volume of cases that
15 I read will be C.T. scans ordered by a
16 neurologist.

17 Q Okay. And you evaluate the perfusion
18 computer tomography produced by Dr. Filler in his
19 report?

20 A I reviewed the processed images --

21 Q Yes.

22 A -- that was provided from the data

1 acquired at George Washington University Hospital.

2 Q All right. From now on, I'm just going to
3 call that a C.T. and not say perfusion computed
4 tomography. Is that okay?

5 A Understood, yes.

6 Q All right, good. Now, you list three
7 things that you reviewed in coming to your
8 conclusions in the report, my understanding, and
9 that was the report of Dr. Filler, right? And
10 this is all on page 1 --

11 A Oh, ah.

12 Q -- of your report. So the report of
13 Dr. Filler dated 9/17/2024, right? Correct? You
14 got to answer verbally.

15 A Yes, sir.

16 Q I didn't say that in my -- in my warm-up,
17 so not your fault. Perfusion C.T. interpretation
18 report from Dr. M. Reza Taheri dated 8/22/2024,
19 correct?

20 A Yes.

21 Q And that's the George Washington -- that's
22 the actual scans themselves?

1 A It's the formal interpretation provided
2 from the -- from the neuroradiologist that must
3 have been on service the day -- or at least he
4 signed off the report written by a trainee.

5 Q Okay, explain that to me. So he -- so
6 either he did it himself or he had a trainee
7 review it? Is that your understanding?

8 A The -- there's a line in the report --

9 Q Uh-huh.

10 A -- that the report was initiated by a
11 different doctor.

12 Q Got it.

13 A And that's usually a resident. I do
14 believe they have a neuroradiology fellowship that
15 I think has one fellow, so -- and -- but I don't
16 know who those individuals are, so statistically
17 it's more likely the resident, but -- so the
18 report would have -- the original report would
19 have been written, and then he would have edited
20 and -- and final signed it.

21 Q Okay, and then finally, the processed
22 perfusion C.T. JPEG images.

1 A Yes, I reviewed those.

2 Q All right. So since your report, you
3 reviewed Dr. Filler's report, rebuttal report,
4 Exhibit 3 here. Did anything in Exhibit 3,
5 Dr. Filler's rebuttal report, change any of your
6 views concerning the opinions in your report?

7 A No.

8 Q All right. All right, so go through your
9 report in a minute. All right, the -- still on
10 the first page of your report, we discussed the
11 raw data, and then you say, "The submitted data
12 consists of 134 JPEG images processed by i-RAPID
13 AI CTP iSchemaView software," right? And that's
14 the software that we were discussing earlier?

15 A Yes.

16 Q And then you say, "The Food and Drug
17 Administration 510(k) clearance indication for
18 this software." What is 510(k) clearance?

19 A There's a variety of different clearances
20 that the Food and Drug Administration provides.
21 So for example, if you want to sell a cosmetic,
22 it's a different -- it's a different code. If you

1 want a drug, it's a different code, and if you
2 have a medical device, you go through a -- what's
3 called a 510(k) clearance through the FDA, so this
4 would be for a medical device.

5 Q Okay, and then you say, "Can be used by
6 physicians to aid" -- this is the next page. "Can
7 be used by physicians to aid in the selection of
8 acute stroke patients with known occlusion of the
9 intracranial internal carotid artery or proximal
10 middle cerebral artery," right? And then you cite
11 the U.S. Food and Drug Administration. What's
12 that mean?

13 A When -- to sell a medical device in the
14 United States, you need to have a 510 clearance,
15 and the 510 clearance is given for a specific
16 indication. So for example, if I want to sell you
17 a medical device that measures blood pressure, I
18 would need to demonstrate to the Food and Drug
19 Administration that it's a reasonably reliable
20 device that -- that measures -- actually measures
21 blood pressure and compare it to a known device on
22 the market, for example. You know, that's a

1 relatively straightforward example of where, you
2 know, I have a Food and Drug approved
3 sphygmomanometer or blood pressure device, and I
4 take your blood pressure with it and then I use my
5 new device and I also take your blood pressure,
6 and I say look, it's -- it's equivalent or -- or
7 close enough, and then the FDA says sure enough,
8 it looks like you have a device that truly
9 measures blood pressure. It is not significantly
10 different from other things being marketed.

11 So that's the -- the general theme, is --
12 so there's a -- a thing that like a medical device
13 is supposed to do, and they need to demonstrate
14 that it actually does that thing well and
15 reliably.

16 Q And your next -- your next statement is
17 that the provided images, meaning the 134 JPEGs we
18 discussed earlier, right, reveal -- well, can you
19 read it?

20 A Yes, sir. "The provided images reveal a
21 satisfactory quality examination without findings
22 of significant motion or artifact. The data

1 suggests no evidence of an acute arterial
2 infarction or evidence of abnormal regional
3 cerebral blood flow or increased blood transit
4 time.

5 Q What does that mean in layperson's
6 understanding?

7 A In -- another way of putting it is I don't
8 think she's having an acute infarction about the
9 time that she had this imaging study.

10 Q Okay. Anything else?

11 A The methodology to acquire the data and
12 the way that it was processed is not intended to
13 make other assessments.

14 Q Okay.

15 A The -- it's a largely qualitative study to
16 look for regional perfusion abnormalities to
17 select patients for usually acute intervention,
18 given the concern for an acute arterial
19 infarction, more specifically, anterior
20 circulation. As they specify, they're -- it's
21 approved for looking for occlusions of the
22 internal carotid artery or proximal distal artery,

1 which are the larger vessels feeding the anterior
2 part of the brain.

3 Q Uh-huh.

4 A C.T. perfusion has a pitfall in that it's
5 not very good looking for acute infarctions or
6 vascular deficits in the posterior circulation,
7 which is why it's not in the FDA indication. It's
8 a known -- it's a known struggle with C.T.
9 perfusion methodology.

10 Q All right, and let's go through it. The
11 next paragraph is that on page 1 of the report --
12 hang on for a second. Now, you would agree with
13 me that nothing in the FDA approval process
14 prohibits a physician for using the device for
15 other purposes, correct?

16 A That's -- yes, that's reasonable.

17 Q All right, and in fact, the FDA is
18 prohibited by federal law from regulating the
19 practice of medicine, correct?

20 A I don't know.

21 Q All right, so you don't know whether 21
22 USC section 396 prohibits the FDA from regulating

1 the practice of medicine?

2 A No, I wasn't aware of that code.

3 Q Okay. In your practice, have you ever
4 used FDA-approved devices for other than the
5 510(k) purpose?

6 A Yes. One common example was when I was a
7 resident -- this has subsequently changed -- there
8 was no FDA-approved gadolinium. Gadolinium is a
9 type of contrast agent used for MRI scanning.
10 There was no FDA-approved gadolinium contrast
11 agent for use in children, but yet, many institute
12 -- almost all institutions around the world
13 decided that the risk-benefit profile was
14 reasonable and did it anyway.

15 Q Right, and let's talk about risk-benefit.
16 That is something that all doctors do before
17 prescribing or -- or -- or initiating a therapy,
18 that the -- what they are prescribing or proposing
19 to do, the benefits to the patient outweigh the
20 risks.

21 A Correct.

22 Q And doesn't mean there are no risks. It

1 just means that in the assessment, you're better
2 off doing it than not doing it.

3 A Yes.

4 Q And in fact, the FDA does not list every
5 clinical use and diagnosis C.T. scans can be used
6 to investigate anywhere, does it?

7 A No.

8 Q Okay, and to your knowledge, the FDA does
9 not regulate the practice of neuropsychology?

10 A I do not believe so.

11 Q Doesn't regulate the practice of
12 neuroradiology, does it?

13 A No. It regulates or has control over the
14 medical device manufacturers of what the -- what
15 the indications are they're able to sell me
16 devices to do. So if the company that makes the
17 software, for example, told me -- sent me
18 marketing material that said hey, Jason, I can
19 diagnose diabetes with this software, that'd be a
20 violation of the Food and Drug Administration Act.
21 So if I choose to use it to diagnose things or to
22 exclude the diagnosis of things, you're right,

1 that's not between the FDA and I.

2 Q Okay, and the last question, same
3 question, the FDA doesn't regulate the practice of
4 neurology.

5 A Neurology?

6 Q Yeah.

7 A I'm not a neurologist, but I do not
8 believe so.

9 Q Now, let's go back to your report, and I
10 think -- all right, let's just go back for a
11 second to the provided images about what you --
12 what you looked at. Am I correct that that
13 paragraph starting, "The provided images" means
14 that you didn't see in any of these images signs
15 of injury to Judge Newman's brain?

16 A The short answer is -- is no as phrased.
17 However, the methodology that -- that led to the
18 processed images is really only intended for a
19 relatively singular focus, which is the detection
20 of acute arterial infarction --

21 Q Right.

22 A -- or a significant regional perfusion

1 deficit. It does appear from the processed data
2 that there likely is an issue with cardiac output
3 function, but that's not an issue with the brain.

4 Q Okay, and that isn't part of your opinion
5 in this matter.

6 A My -- no.

7 Q Okay.

8 A I didn't think it was relevant. I was
9 asked to focus on -- or at least I chose to focus
10 on a relatively simple question, which -- rather,
11 you say two questions, which is has C.T. perfusion
12 been demonstrated to be able to show cognitive
13 impairment or cognitive health, and furthermore,
14 in this case, do the C.T. perfusion images lead to
15 the conclusion as stated by Dr. Filler.

16 Q Okay.

17 A So --

18 Q I got it. And that gets us to the
19 criticism of Dr. Filler's report relating to the
20 brain scan. The first thing you state in your
21 report about this is that Dr. Filler has
22 mislabeled where the hippocampi is?

1 A Yes.

2 Q What is the hippocampi?

3 A Hippocampi are paired curved structures in
4 the brain that run more or less along the lateral
5 margin, the lateral ventricle, and they're
6 involved in memory.

7 Q Now, if we go to your report, you have a
8 picture on page 3. Are we looking at the same
9 thing?

10 A Yes, sir.

11 Q All right, and you've got this from
12 Dr. Filler's report?

13 A Yes.

14 Q And you say the areas shown are the -- is
15 it putamen?

16 A Putamen, yes.

17 Q Putamen, and Sylvian fissures. What are
18 those?

19 A The putamen is a -- a structure -- a
20 paired structure -- there's one on each side of
21 the brain -- of the basal ganglia, and they are
22 involved in a variety of functions, including

1 movement control.

2 Q Okay. Now -- and you say that -- well, I
3 guess what you say here in this last paragraph on
4 page 2 is that, "The annotated image provided in
5 the report by Dr. Filler and included as figure 1
6 below is an image of a slice of brain at a
7 position higher, closer to the top of the head,
8 than the level of the hippocampi," right? That's
9 your --

10 A Yes.

11 Q -- opinion? "The hippocampi reside below
12 the level of the putamen" --

13 A Yes.

14 Q -- "and Sylvian fissure, which as my
15 annotations show, figure 2" -- that's the next
16 page.

17 A Yes.

18 Q All right, "are visible on this image.
19 The annotated red area in the images are related
20 to significant concentration of iodine in the
21 large cerebral arteries and veins." Is that what
22 the arrows are pointing to in 1? Figure 1.

1 A The most likely conclusion of what we are
2 seeing there by that red color is the regional
3 sizable arteries and veins. This appearance is
4 seen in all C.T. perfusion images of similar
5 color, and all patients, unless they have lack of
6 blood flow, for example, in the middle cerebral
7 artery ipsilateral to the -- to this area have
8 this appearance.

9 Q And you say they do not reflect blood flow
10 to the hippocampi, right? That's your opinion?

11 A The short answer is no, this is not
12 reflective of blood flow to the hippocampus, and
13 now, could you take it a step further and you say
14 is there any blood flow in the hippocampus that --
15 or draining -- or arteries going into or veins
16 draining out of that could be a component of the
17 flow that we're seeing at this level, and the
18 answer is yeah, there's probably some. The --
19 there's four -- in a normal human, there's four
20 arteries that feed the brain, so all of the iodine
21 that comes in and out of the brain has to come in
22 through one of these four arteries, and then the

1 interesting thing is on the venous outflow side,
2 there's really -- the majority of all the drainage
3 comes out of two large veins.

4 So everything that goes in then processes
5 through capillary beds, so to speak, processes
6 through the brain parenchyma itself and then is
7 drained out the other side through the venous
8 system, and so there -- there's a combination of
9 what we're seeing here. We're seeing iodine still
10 coming in through the arteries, and there's a
11 number of large arteries that reside in the
12 Sylvian fissure branches of the middle cerebral
13 artery, and some lenticulostriate --

14 Q You can go on, unless you're finished, but
15 I want you to finish.

16 A I'm just trying to remember where -- well,
17 anyway, so you've got a number of arteries coming
18 through that area bringing the iodine in, and that
19 iodine's going to a variety of places, the
20 majority of which is actually going to tissue
21 superior to where those arteries are, so feeding
22 the rest of the middle cerebral artery territory,

1 which is the largest vascular territory in the
2 brain.

3 Q All right.

4 A You also have vein straining through that
5 area, not the biggest veins, not the, shall I say,
6 most important draining veins, which are deeper
7 structures centrally and then also superficially
8 along the brain, but there are some veins in that
9 area, and there's always in that region, although
10 I don't think it's what is being pointed out on
11 this slice. In a -- in a -- in a -- a nearby
12 slice, there's also significant blood flow in the
13 choroid plexus, which is in the lateral ventricle.

14 The interesting thing about the choroid
15 plexus, which is you could say near the
16 hippocampus, is the choroid plexus interestingly
17 does not have a blood-brain barrier. It's one of
18 the few organs in the brain that doesn't have this
19 barrier, and it's a highly perfused structure.

20 Q Meaning?

21 A It -- it receives a -- per gram of tissue,
22 it receives a lot of blood flow. So the

1 interesting thing is is that when I teach my
2 fellows how to adjust their view settings to look
3 at perfusion imaging in the brain, I tell them use
4 the choroid plexus as an internal control because
5 it's always very bright. This is a very common
6 finding in individuals of -- regardless of
7 cerebral vascular status and regardless of
8 cognitive function.

9 Q All right, and the next opinion you have
10 in this paragraph is, "In fact, elevated relative
11 cerebral blood flow to this degree would be
12 pathologic if observed in the hippocampus." What
13 do you mean by that?

14 A There's -- a good analogy is that we --
15 your -- your skin one day is normal and has a
16 certain degree of blood flow, and let's say you
17 irritate it. Let's say you accidentally burn
18 yourself or maybe get a skin infection, maybe you
19 get a sunburn, and part of the reparative
20 mechanism is that your body through a variety of
21 mechanisms delivers more blood flow to that
22 region, which could be quantified and measured in

1 some way.

2 And so just an analogy, so there's a
3 normal degree of blood flow that we expect in
4 certain organs, and hyper or any significant
5 elevation of blood flow to certain organs would be
6 abnormal.

7 So for example, we -- one of the things we
8 use is we use MR perfusion imaging. We could use
9 C.T. perfusion imaging, but we tend to use MR
10 perfusion imaging for some reasons which we could
11 discuss if you like, in patients that have had
12 treatment for brain tumors, and one of the things
13 we need to be aware of is that when they do, for
14 example, an anterior temporal lobectomy, they may,
15 in the purpose of getting a good tumor resection,
16 a helpful tumor resection, they may irritate the
17 hippocampus or amygdala, and/or the act of doing
18 surgery alone or the act of the tumor alone may
19 cause seizures.

20 Epileptiform activity can be really
21 irritating to the hippocampus, and that can lead
22 to significant increases in blood flow and can

1 lead to a pitfall that can look like recurrent
2 tumor based upon the increased blood flow.

3 So there's a normal -- there's a range of
4 expected blood flow in the hippocampus, and
5 elevation above that is only seen in pathologic
6 states.

7 Q All right.

8 A The most common would be -- that we would
9 see clinically would be, again, epileptiform
10 activity.

11 Q Okay, and so that -- that is the meaning
12 of that sentence, what you just related, that's
13 the pathological problem that you identified.

14 A Yes, as opposed to if we wanted to assess
15 somebody's physical health by measuring their
16 bicep, and there are some pathological states that
17 can lead to a big bicep. You could have problems
18 with your lymphatic drainage, for example, you
19 know, but for the most part, it's probably because
20 you're going to the gym. That analogy doesn't
21 really apply here in that there's -- it's not a
22 marker of health to have hyperperfusion of a gray

1 matter structure.

2 Q All right. I'd like you to turn to
3 Exhibit 2. Exhibit 2 is Dr. Filler's report.

4 A Oh, sorry.

5 Q Exhibit 2 is Dr. Filler's --

6 A Not --

7 Q Not 1, yeah, yeah, exactly.

8 A Okay.

9 Q Exhibit -- Exhibit 2, and I just -- go to
10 page 3 on the executive summary.

11 A Okay.

12 Q I'd like you to go to the bottom of the
13 page, and I'd like you to read from, "I am an
14 editor of the principal textbook" out loud please.

15 A "I am an editor of the principal textbook
16 in neurosurgery, Youmans & Winn, Neurological
17 Surgery, eighth edition, Elsevier, and an author
18 of several chapters in including the chapter,
19 Exhibit 3, on diffusion tensor imaging of the
20 brain, a technology I invented and patented."

21 Q You can stop there.

22 A Okay.

1 Q Does it seem plausible to you that -- do
2 you have any reason to disagree that Dr. Filler
3 has that qualification and writes in that
4 textbook?

5 A No.

6 Q All right. Does it seem plausible to you
7 that a man with those -- that background doesn't
8 know where the hippocampi is?

9 A No.

10 Q All right, and now I'd like you to take up
11 Exhibit 3, which is the rebuttal report.

12 A Okay.

13 Q And I gave a copy of this already, right?
14 Everyone has it? Okay.

15 A Would now be an okay time for a break?

16 MR. VECCHIONE: Oh, yeah, no question.
17 It's about an hour.

18 (Recessed at 10:00 a.m.)

19 (Reconvened at 10:11 a.m.)

20 BY MR. VECCHIONE:

21 Q Doctor, during the break, did you talk to
22 anyone about your testimony?

1 A Pat and I had a -- had a chat.

2 Q Concerning?

3 A Well, I recalled that when I was 18, I was
4 talked to about a lawsuit in Arizona related to a
5 roommate at football camp who got hit in the eye
6 with an acorn and later sued the football camp,
7 and they did -- it was a phone conversation, and
8 they did make a transcript of it, and so when we
9 were talking about expert witness work, deposition
10 et cetera, it didn't seem relevant to me, but I
11 did want to clarify. I don't remember exactly the
12 way you asked the question, but I did want to be
13 --

14 Q Have you ever been deposed, I asked, so --

15 A Oh, okay, then I believe that was a
16 deposition, so --

17 Q How old are you now?

18 A Forty-five.

19 Q All right. You're correct, Doctor, it's
20 not relevant. Thank you.

21 A You're welcome. Thank you.

22 Q Let's see. Well, I'll ask this. What was

1 the outcome of your testimony? Did you have to go
2 to court or do anything after that?

3 A Yeah, I was -- the interesting thing is I
4 remember going to court in Phoenix, but I don't
5 remember whether I testified. I think I did
6 testify, but it was very brief. I think they
7 largely had me corroborate that they had a written
8 --

9 Q In any event, you were a fact witness.

10 A I believe so. Not -- certainly not there
11 for my --

12 Q Right.

13 A -- expertise for anything as an 18-
14 year-old.

15 Q All right.

16 MR. DOLIN: Expertise as a roommate.

17 BY MR. VECCHIONE:

18 Q Thank you -- thank you for that
19 clarification. I appreciate it. Let's -- let's
20 go to Exhibit -- yeah, let's go to Exhibit 3,
21 which is Dr. Filler's rebuttal report. Now, in
22 one part of this, he responds to your report, and

1 that starts on page 7 of 25. Are we on the same
2 page?

3 A Seven?

4 Q Yeah, 7 of 25.

5 A Oh, okay.

6 Q All right. So now, you've reviewed this
7 report.

8 A Yes, sir.

9 Q I'm going to ask you whether you agree or
10 disagree with certain statements in it. Paragraph
11 11, Dr. Filler notes, "There's also no FDA
12 approval of the use of neuropsychology or
13 neuroradiology or neurology to assess dementia."
14 Do you agree with that statement?

15 A That seems reasonable, but I believe we
16 previously discussed that the FDA doesn't regulate
17 those types of things.

18 Q Okay, and -- and I think I've answered
19 some of these questions before, so -- but your
20 answer doesn't change looking at this. The FDA
21 approval -- well, I'll just -- I'll boil it down.
22 The FDA doesn't approve every clinical use for

1 C.T. scans, correct?

2 A That is correct.

3 Q Okay, and I'll ask you -- and I think
4 paragraph 12 sort of comports with your gadolinium
5 story, or pediatric gadolinium. If you look at
6 the last sentence in paragraph 12, it says -- he's
7 referring to CTs. Quote, "It was used to diagnose
8 and treat tumors and hemorrhages immediately and
9 without waiting for the FDA or the U.K. Medicines
10 and Health Care Products Regulatory Agency to
11 render approval for device sales." Is that the
12 same type of use of a device as you talked about
13 using gadolinium for pediatric reasons earlier?

14 A No, I would draw a distinction.

15 Q And what is that distinction?

16 A Well, so C.T. scanners like MRI scanners
17 like our iPhones basically take pictures, and it's
18 reasonable to take an imaging device, whether it's
19 an ultrasound machine or a camera or an MRI or
20 C.T. scanner, and just say that I expect if
21 there's a presence of a thing such as hemorrhage,
22 that I should reliably be able to see that, and in

1 a patient that doesn't have hemorrhage, I should
2 reliably be able to say there isn't hemorrhage,
3 and those are the types of examples at play here.

4 So the idea -- by the way, C.T. scanners
5 are actually not good at finding or treating
6 tumors, so I don't use it for that, but from the
7 same token, we'll go to an MRI scanner. It's very
8 reasonable that an MRI scan with contrast should
9 be able to identify, it's highly sensitive in the
10 detection of intracranial neoplasms, and
11 furthermore, it's also reasonably specific in that
12 in the absence of a brain tumor, the examination
13 should be negative. Going -- so I'll just stop
14 there. So that's reasonable, those specific
15 entities that are put forth here.

16 To take it further and to say because
17 they're reasonable to use a scanner for the
18 detection of a -- a nail shot in somebody's head,
19 which is something we'd use it for if you get an
20 unfortunate -- excuse me, not you. If an
21 individual has an unfortunate accident and we
22 needed to go looking for a foreign body, C.T.

1 scanners are a really good tool for that, and I
2 completely agree that I wouldn't need to wait for
3 the Food and Drug Administration to give me a
4 specific approval to look for a specific type of
5 foreign body, whether it be wood or metal.

6 Q All right, you've brought up MRIs, and I
7 think you've brought them up before. Do you have
8 any understanding of whether Judge Newman can be
9 put through an MRI or not?

10 A I don't have enough understanding about
11 the type of pacemaker she has to give judgment on
12 that. We had a very active pacemaker MRI program
13 at MD Anderson, and I was the chief of neuro-MRI,
14 so therefore, was in charge of that program, and
15 we also had a pacemaker program at Yale. We had a
16 pacemaker program at Mass General and UCSF, and
17 Penn has a very active program, for example, and
18 so does Hopkins, and so it's possible that she
19 could have an MRI scan.

20 Q All right.

21 A The presence of a pacemaker --
22 defibrillators are usually a problem. Pacemaker,

1 maybe, maybe not.

2 Q Okay, but you've already said that you
3 haven't examined Judge Newman.

4 A No, sir.

5 Q And you have no doctor-patient
6 relationship with her.

7 A No, sir, and if I had, I'm not a
8 specialist in the --

9 Q Okay.

10 A -- assessment of cognitive function.

11 Q So if her doctors have told her that the
12 -- the risks of an MRI outweigh the benefits of an
13 MRI, you have no reason to disagree with that
14 diagnosis.

15 A I don't have enough information to state
16 whether or not that's reasonable. What -- when I
17 was a fellow at Mass General, we often -- the
18 field -- the comfort level -- the comfort level at
19 the time was different, so pacemakers that we
20 would have said we can't scan -- or excuse me,
21 individuals with certain types of pacemakers that
22 we didn't feel had a reasonable risk-benefit

1 profile, when I was a fellow, we might have gladly
2 scanned or we might have had a much better
3 attitude of scanning when we were at MD Anderson.

4 And so some pacemaker MRI programs are --
5 have different comfort levels. It may be very
6 reasonable that given that this is a -- a very
7 well respected but not as big of a hospital, that
8 it doesn't have a robust MRI pacemaker program,
9 which is a collaboration usually between
10 electrophysiology, which is a subspecialty within
11 cardiology, and the radiologist. So I don't know
12 enough about the case, and additionally, I don't
13 make that final determination. We work closely
14 with cardiology, or specifically electrophysiology
15 to make these determinations, so that'd be a great
16 question for one of them.

17 Q Thank you. You know what? Why don't you
18 take Exhibit 2, which is the first Filler report,
19 for a minute, and I'd like you to turn to page 11.
20 It'll be there. It's scans.

21 A Yeah.

22 Q Did you review this scan as part of your

1 review of records for your report?

2 A I -- I saw this JPEG, but I think -- I
3 mean yes, I've seen this report, yes.

4 Q All right, and do you see where it says --
5 the string of scans that say perf BL, and then
6 it's down that column?

7 A Yes.

8 Q All right. What does perf BL mean, to
9 your knowledge?

10 A I'm not sure what the BL is. What -- I
11 don't think you can make out on this image, but I
12 believe if we had the JPEG up on the computer, I
13 think this is annotating the arterial input
14 function and the venous output function, I think,
15 and we just can't really make it out because of
16 the way it's blown up, but this map is intended
17 for a rapid review of the data for, number one,
18 quality assurance, and then number two, again, the
19 software in its intended usage is for the
20 identification of acute anterior circulation
21 infarction or a lack of blood flow to a territory
22 of the anterior circulation. And so when that is

1 present, you can wrap -- you can look quickly at
2 these maps and you can say hey, there's a wedge-
3 shaped area of color abnormality that shouldn't be
4 there, so this is --

5 Q Do you see that here?

6 A No.

7 Q So I'll ask a second question, same --
8 same column. Does this at least show the gross
9 structure of the brain?

10 A Yes.

11 Q Okay.

12 A That's --

13 Q And clarify my -- do you see any
14 abnormality in this series going down the line?

15 A These are not intended as diagnostic
16 images. They are not acquired --

17 Q The question is do you see any sign of
18 abnormality.

19 A No, I don't see a gross abnormality.

20 Q Thank you. Now let's go back to -- let's
21 go back to Exhibit 3, which is Filler's rebuttal
22 report, so you can put that aside for now. Let me

1 get to it, and go back to page -- okay, let's go
2 to paragraph 13, which is on page 7, starts on
3 page 7.

4 A Okay.

5 Q Now, here, this is Dr. Filler's response
6 to your view of figure 1 in his -- in your report,
7 correct? Is that what he's discussing here, to
8 your knowledge?

9 A I believe.

10 Q Okay. He says, "This is not a vascular
11 anatomy image." Do you agree with that?

12 A Not exactly. So we would not use this for
13 what as I would call a direct assessment of the
14 intracranial vasculature. That's not what we use
15 this study for. However, we do get data about the
16 large vessels of the brain.

17 Q Okay.

18 A So it's -- it's not inherently true or
19 false. It's something other -- so I wouldn't -- I
20 wouldn't say that.

21 Q Okay. Well, let's look at the -- after
22 the dash. "Perfusion C.T. is used to create

1 cerebral blood flow, CBF assessments, to calculate
2 differences" -- "regional differences between the
3 arterial input function, AIF, and the venous
4 outflow function, VOF." Do you agree with that?

5 A The -- the -- the word -- to make this
6 more reasonably accurate, the word "relative"
7 should have also been included. So it doesn't --
8 creates relative cerebral blood flow amounts.

9 Q And relative to what?

10 A That's a -- an -- relative -- in this
11 scenario, what it does is it normalizes or,
12 rather, pseudo-normalizes the blood flow in the
13 brain to the peak blood flow seen in this set of
14 images, which is why the vessels, as pointed out
15 on multiple figures, are always red in all
16 individuals. The rest of the brain parenchyma is
17 then normalized from that setting of a peak or a
18 ceiling, and then there's a gradient downward.

19 Q Why do you use the word
20 "pseudo-normalized"? What's that?

21 A The mathematical processing is
22 complicated, and one sense of normalizing would be

1 is that we have an expected standard such as --
2 let's say we want to set -- we want to scale all
3 of our -- we want to scan five random people, and
4 we're going to set that their highest blood flow
5 is -- is a hundred. You could call that
6 normalization, but that's not really being done
7 here.

8 So pseudo-normalized, so first off, the
9 blood flow is -- the data acquired's not
10 quantitative, so there's a qualitative nature to
11 the way the data is analyzed and the outcome is,
12 and then that's further scaled based upon the
13 highest level observed. So the typical
14 nomenclature used is, again, pseudo-normalization,
15 because it's not based on an objective value
16 because it's not a quantitative -- it's not a
17 quantitative set of data, or excuse me, as
18 processed, it's not quantitative or intended to be
19 used necessarily in a quantitative fashion.

20 Q Thank you. Let's go to the next sentence.
21 "It is therefore necessary to include flows
22 outside of the hippocampus itself in order to

1 calculate a CBF for the area of interest." Do you
2 have an opinion about that sentence?

3 A Yes. So I'm -- I'm actually not
4 completely clear what he's trying to get at
5 because one thing that wasn't done here was
6 quantitative analysis of the actual hippocampus
7 was not performed. These are qualitative maps
8 performed for the purposes of assessing for acute
9 arterial infarction, and an annotation is pointed
10 at vascular flow, not terribly far away from the
11 hippocampi. So to do quantitative analysis of the
12 hippocampus would require very different
13 methodology than what was done here.

14 Q All right, and that -- that's the opinion
15 you've already stated, right?

16 A I -- at least in part, yes.

17 Q Okay.

18 A Might have added some additional details
19 there.

20 Q And the next sentence here is the arrow,
21 meaning of figure 1, designates a CBF region that
22 includes some of the hippocampal venous outflow.

1 A Which figure 1?

2 Q Figure 1 is the figure 1 in your -- that
3 -- in your -- in the Johnson -- in the Johnson
4 report number 1.

5 A Okay, I -- the reason I wanted to clarify
6 --

7 Q Go ahead.

8 A This is -- figure 2 in Exhibit 2 is a
9 relative cerebral blood flow map.

10 Q Okay.

11 A And the first figure in Exhibit 3 is
12 actually a different map. It's the relative
13 cerebral volume map. So what was originally
14 annotated in his first report --

15 Q Yeah.

16 A -- isn't what's annotated in figure 1 of
17 his second report. It's a different -- it's a
18 different map.

19 Q Okay.

20 A So I just -- so okay, so we're talking
21 about -- we're talking about this one?

22 Q Right.

1 A Okay.

2 Q So do you agree with that?

3 A Sorry, what was the question?

4 Q The question is the arrow in figure 1 of
5 the -- of your first report that you said is not
6 -- the arrow designates a CBF region that includes
7 some of hippocampal venous outflow, and the reason
8 I ask is I think you were discussing that when I
9 first asked about that.

10 A Yeah, so it's true in as far as that the
11 drain that leads from your house to your septic
12 system includes -- not blood flow. Excuse me.
13 Includes water from one of the sinks on your -- on
14 your upper floors, so a volume of the traffic on
15 this interstate came from a side street. There --
16 it is most reasonable to conclude that only a
17 small percent of the blood flow in these vessels
18 or in this region would be from the hippocampus,
19 which is not a very big organ in the brain, number
20 one.

21 Number two, there's no way using this
22 methodology to understand what percent it is, but

1 the hippocampus I believe is something on the
2 order of a couple milliliters in volume, right?
3 The right middle cerebral artery territory is
4 let's say approximately 120 to 160 milliliters of
5 volume. So the blood flow going through that
6 region feeds the whole middle cerebral artery
7 territory. The hippocampus is a couple
8 milliliters in volume give or take. The whole
9 middle cerebral artery territory, not including
10 the basal ganglia and other structures in that
11 region --

12 Q The base -- stop, slow down. Not
13 including the what?

14 A Basal ganglia.

15 Q Okay, go on.

16 A But you could make a rough estimate that
17 if we assume that these vessels being pointed at
18 here are responsible for all of the middle
19 cerebral artery territory, including the deep gray
20 structures at the base of the brain, including the
21 hippocampus, amygdala and the basal ganglia, it
22 would comprise a few percent at most of the volume

1 being supplied by these vessels.

2 So could you say that there's hippocampal
3 blood flow as part of this? You could say that,
4 just like you could say the street on your house
5 is part of the 95 traffic flow. It's --

6 Q Okay.

7 A Did I get to all points of your question?

8 Q You did.

9 A Okay.

10 Q And then I'll just ask, he then says that,
11 "There's no means to separate, for instance,
12 choroidal flow from the hippocampal flow in a C.T.
13 scan obtained," dash, "even with the very high
14 initial acquisition resolution used. Therefore,
15 the literature in this field considers the
16 perfusion of the hippocampal region and is not
17 intended to be a high-resolution anatomical image
18 of hippocampus or individually identified
19 vessels." Do you agree with that statement?

20 A No.

21 Q Why not?

22 A Well, first off, there -- this isn't a

1 high-resolution imaging technique, although it's
2 repeated multiple times. The raw data is
3 comprised of scans that are -- you could argue --
4 you could say are high resolution, or at least
5 they're acquired as thin slices, but the physical
6 properties of acquiring those thinner slices are
7 very noisy. So --

8 Q Noisy? Define noisy.

9 A There's inherent artifact, there's
10 inherent -- how to describe noisy without using
11 the word "noisy." Margins are not clear. The
12 data is not -- the data is not necessarily valid
13 in and of itself. And so what we tend to do, and
14 what's done in this case is that these multiple
15 thinner slices are mathematically combined to
16 create thicker slabs, and so like we talked about
17 earlier, typically the acquisition parameters for
18 these is usually acquiring slices, it's somewhere
19 one to one and a half millimeters in thickness.
20 If we do that, then we --

21 Q Millimeters?

22 A Yes, sir, millimeters.

1 Q Okay.

2 A So if we do that, we're expecting that we
3 would then process, and our outflow -- or our
4 output, if we keep the same resolution, we would
5 then have not just about, whatever, 16 or 20
6 slices of the brain, we would then have something
7 like close to let's say a hundred to 120 because
8 16 millimeters -- or excuse me, 16 centimeters is
9 a reasonable estimation of the top-to-bottom
10 measurement of -- of the brain, but we don't -- we
11 don't have that.

12 So what's happened is the data's then
13 summarized, and one of the reasons the data's
14 summarized is because it's noisy, and by
15 mathematically adding stacking slices, we not only
16 reduce noise, but we also make it more manageable
17 to interpret.

18 So we don't want noise. Noise is bad
19 because it means we're not accurate, and the other
20 one is is we don't have to scroll or look at
21 hundreds of images when we could get a reasonable
22 -- a 16 -- set of 16 slices, so to speak, or 16

1 slabs. So these slabs typically as processed are
2 something on the order of let's say approximately
3 five millimeters in thickness or about half a
4 centimeter.

5 Q What do you do that with?

6 A The -- the software does that
7 automatically.

8 Q Okay.

9 A So there's -- there's that issue as far as
10 -- and then the part that I was additionally
11 confused on is it seems like he goes on to say
12 it's a high-resolution technique, and that was
13 also repeated in his report multiple times about
14 the idea that this is a state-of-the-art very
15 high-resolution technique, which is not something
16 that we say in 2025. The way that C.T. perfusion
17 data was acquired in this instance has not
18 significantly changed for well over a decade. So
19 in 2025, we don't necessarily refer to this as
20 state of the art or high resolution because we
21 don't consider it to be either.

22 But -- so he says it's high resolution,

1 but then I feel like he goes on to say that it's
2 not intended to be a high-resolution image of the
3 hippocampus or individual identified vessels,
4 which I do agree with that, that it's not a high-
5 resolution image, but I'm confused at the argument
6 that says -- that's stated multiple times that
7 said this is high resolution, this is very fancy,
8 this is very sophisticated, but yet, it's not, and
9 therefore, I can't actually see the thing that I'm
10 talking about. The way that the research is done
11 --

12 Q I'll -- I'll -- can I interrupt you for a
13 moment?

14 A Sure.

15 Q So I want to talk about high resolution,
16 because I -- I don't want to lose that thread.
17 What would you consider high resolution?

18 A Typically we start -- in medical imaging
19 of the brain, we start referring to things as high
20 resolution when the slices are somewhere on the
21 order of about one millimeter in thickness.

22 Q Okay, and that's with a C.T. scan?

1 A No, we would use similar nomenclature for
2 MRI scans. And so if we're acquiring -- we're
3 acquiring diagnostic quality images of
4 approximately one millimeter, we would start to
5 apply the -- the term "high resolution." And so
6 the most common place we acquire high-resolution
7 imaging data using C.T. for the brain is when
8 we're looking at the temporal bones, because
9 there's really tiny bones. Your ossicles that
10 help us hear each other, those are really small.
11 They're on the order of a couple millimeters in
12 length, and so we really do need high resolution
13 to see those well.

14 The reason we don't do high-resolution
15 imaging of the brain parenchyma typically is
16 because as you increase resolution, you have to
17 increase the radiation dose or you have to
18 increase the number of photons to keep your noise
19 at a reasonable level.

20 The other issue we run into is that for
21 the majority of us, we have a bone surrounding our
22 brain, so there's --

1 Q Call it the skull?

2 A Some people might call it that, sir, or
3 the calvarium, but yes. So to get enough photons
4 through the skull, the photons that you really
5 want to provide good gray-white matter
6 differentiation or good imaging quality of the
7 brain are actually the lower energy photons, but
8 those don't get through the skull very well. The
9 ones that get through the skull very well are the
10 higher energy photons, so something more on the
11 order of 120 or 140 kiloelectron volt, but those
12 don't provide great soft tissue contrast.

13 So we can do it where we can provide good
14 quality high-resolution imaging of the brain, but
15 it requires a significant radiation dose, and in
16 the end, we still don't necessarily have a tool to
17 enable us to make meaningful diagnostic
18 interpretations that we wouldn't just go do with
19 an MRI scan.

20 Q Okay.

21 A So it ends up being this very niche
22 population of issues with C.T.

1 Q All right. Well, let's -- let's -- I'm
2 going to give you a hypothetical. Hypothetically,
3 if Judge Newman could not use an MRI, that's the
4 premise, would a C.T. be the next best thing?

5 A For what diagnostic purpose?

6 Q To examine her brain.

7 A If Dr. Newman came to, for example, our
8 neuro -- our neurologist or psychiatrist at Yale
9 and said I think I'm having issues with my memory,
10 in this scenario that we're -- that we're
11 hypothetically certain she cannot have an MRI
12 scan, then it is likely that they would do a C.T.
13 of the brain without contrast to assess for
14 alternative explanations of -- of possible
15 cognitive dysfunction, and also to assess for
16 whether or not she may have of course had
17 microvascular ischemic disease or had had a stroke
18 or had a brain tumor, things of that sort, so in
19 this hypothetical scenario.

20 But in this case, we did not do a C.T.
21 scan of the brain. We did not do a diagnostic
22 C.T. scan of the brain, or -- and if one was done,

1 I have not been provided with those images.

2 Q All right. You used the term F1. What is
3 that?

4 A Excuse me?

5 Q You used the -- oh, if one. I thought you
6 said if an F1 was done. I got it. If one was
7 done, you didn't see it. I got it. Let's go to
8 paragraph 14.

9 A Yes.

10 Q I'd just like you to read the paragraph to
11 yourself, has to do with the responsibility of the
12 neurosurgeon and the radiologist.

13 A Okay.

14 Q All right, so you've read paragraph 14
15 where he talks about the duties of
16 neuroradiologists and neurosurgeons, and upon your
17 review of Dr. Filler's -- Exhibit 3 and paragraph
18 14, do you have any opinion about what's stated
19 there?

20 A Yes.

21 Q And what is that opinion?

22 A Well, there's a lot of different kinds of

1 neuroradiologists and practicing neurologists and
2 neurosurgeons, and we're making some blanket
3 statements on their comparative ability to provide
4 certain medical opinions, so we -- there are
5 neurologists out there that have done
6 neuroradiologist fellowships, for example. For
7 example, Dr. Filler has stated he's done extensive
8 additional imaging training and has argued that
9 he's an expert in neuroimaging.

10 So to state that all neurosurgeons are a
11 hundred percent fully able to understand and
12 interpret all of neuroimaging, I do not find that
13 to be accurate. I find that including at
14 Massachusetts General Hospital, which at the time
15 I was there was the number one hospital in
16 America, and my time at the University of
17 California San Francisco, which at the time I was
18 there was the number seven hospital in America,
19 and my time at the University of Texas MD Anderson
20 Cancer Center, which is still the number one
21 cancer center in America, and was then, that
22 neurosurgeons did rely upon me and my colleagues

1 to understand particularly more complicated
2 neuroimaging, and even at Yale, which is a very
3 high-rated institution, I work closely with the
4 neurosurgeons to help make sure that I'm providing
5 data that they need and then helping them
6 understand -- the vice chair of operations, for
7 example, of neurosurgery at Yale, a very senior
8 spine surgeon, for example, calls me not
9 uncommonly to go over complex cases.

10 So the analogy here used is that if I
11 mislabel a report, that it's his duty to
12 understand -- to not operate at the wrong level
13 equates with him having full understanding of
14 neuroimaging to me doesn't necessarily follow, and
15 then additionally, I do find it reasonable whether
16 we're talking about a colorectal surgeon or an
17 otolaryngologist or -- which is an ear, nose and
18 throat specialist. Actually, we'll talk about him
19 for a second. For example, the otolaryngologists
20 that I've worked with are very good at
21 understanding imaging of the head and neck,
22 particularly as it pertains to making decisions of

1 doing surgery.

2 So typically C.T. perfusion images -- or
3 rather, when neurosurgeons tend to have higher
4 level of expertise in C.T. perfusion imaging, it
5 tends to be because they're endovascular
6 neurosurgeons and are directly involved in the
7 treatment of stroke. We do have two such
8 neurosurgeons, for example, at Yale, and I've
9 worked with others in my -- at other institutions.
10 They have a very good understanding of C.T.
11 perfusion because they're using it in the acute
12 context, acute meaning we're making rapid
13 decisions usually in the emergency department, but
14 sometimes with admitted patients to determine
15 whether they're going to do a dangerous
16 intervention to try to protect brain parenchyma.

17 My neurosurgeons that tend to do, for
18 example, spine surgery or tend to focus on
19 traumatic brain injury and trauma usually don't
20 look at C.T. perfusion or tend to have strong
21 opinions about it one way or the other.

22 Neurologists, if I didn't mention before,

1 particularly stroke neurologists in this context
2 tend to have very good understanding of C.T.
3 perfusion and other types of vascular imaging.

4 The final comment, that the two
5 specialties often have little direct personal
6 contact with each other in relation to the care,
7 that's an interesting categorization of two fields
8 of medicine, and so I have multidisciplinary
9 conferences with neurologists and neurosurgeons on
10 a regular basis, and so do most of my peers at
11 similar institutions, as we would expect that Reza
12 does here at George Washington University
13 Hospital. So -- so there's -- there's a lot going
14 on here that it's hard for me to in short agree
15 with the statement.

16 Q Okay. If I can boil that down, it depends
17 on the circumstances?

18 A Many things are true depending on the
19 circumstance, as this statement, yes.

20 Q All right. So on Exhibit 3, on the
21 rebuttal, do you have any other opinions about the
22 rebuttal other than what you've told me about, his

1 response to your report? Was there anything in
2 the rebuttal that you -- you took in and made a
3 different opinion or that you had a commentary on?

4 A I don't understand why he switched the
5 images. I don't know why he -- he labels -- he
6 labels the cerebral blood flow and makes a big
7 deal about this, suggesting it's the hippocampus,
8 but then in the rebuttal, he chose a different
9 image from a different processing methodology. So
10 I didn't understand and I didn't see that it was
11 necessarily explained on why we're using a
12 completely different processed set of data.

13 I guess the other one is there was an
14 assertion made that I haven't published on
15 perfusion imaging, and that's not accurate.

16 Q Okay, and could you point out on your
17 resume -- in your list, you can take your time on
18 this, which ones you think are published on
19 perfusion? And if you would, take a pen and put a
20 checkmark next to them, on Exhibit 1, and I have a
21 pen for you if you don't have one.

22 A I do not. Thank you. There's a grant

1 involved with perfusion imaging. There's another
2 grant involved in perfusion imaging.

3 Q And you're putting a checkmark next to
4 those?

5 A Yes, sir.

6 Q Okay, thank you.

7 A This grant involved perfusion imaging.
8 Let's see. This very first abstract, or oral
9 presentation given at the European Society of
10 Neuroradiology in the title says perfusion
11 imaging.

12 Q And you've checked it?

13 A Yes, sir.

14 Q Okay.

15 A Those are talks, okay. You asked about
16 publications. Chapter 12 I think we talked about
17 C.T. perfusion. I put a question mark next to it.

18 Q That's fine.

19 A I think -- oh, yeah, it's because this is
20 probably from January.

21 Q So you've got an additional one you think?

22 A Yeah, a publication in JCAT. Anyway,

1 there's one more, but if -- I can send you that
2 reference if -- if -- if you all are interested.
3 I'm missing --

4 Q All right, so you think something's
5 missing from the resume? What -- what's the
6 publication you believe is missing? We can check
7 later.

8 A It's a publication on looking at different
9 types of dynamic contrast enhancement perfusion
10 imaging methodology in patients with high-grade
11 glioma and suspected recurrent neuroplasm.

12 Q And where's it published?

13 A Journal of Computed -- JCAT, Journal of
14 Computed Axial Tomography.

15 Q Okay. And --

16 A A term we don't use anymore.

17 Q And when do you think you did that?

18 A I think the official acceptance date was
19 somewhere around January-February.

20 Q Okay, that's fine. Now I'd like you to
21 turn to page -- thanks. I'd like you to turn to
22 page 4 of your -- of your report.

1 A Yeah, okay.

2 Q And we've -- we've talked a little about
3 FDA approval, but you also -- you also say on the
4 second full paragraph on -- the second paragraph
5 on page 4, you say that, "Perfusion C.T.
6 examinations, and specifically the chosen data
7 processing methodology have not been FDA approved
8 and is not marketed for the evaluation of
9 cognitive function." Did I read that correctly?

10 A Yes.

11 Q All right, we've -- so I think we've
12 established the FDA does not regulate the practice
13 of medicine, but marketing does not control a
14 physician's practice of medicine either, does it?

15 A No.

16 Q And that's why, for instance, on all these
17 ads, it says check with your doctor whenever
18 they're advertising.

19 A Right. At some institutions, the
20 privileging and credentialing bodies may provide
21 limitations on recommended practice. So right,
22 there's some things I'm not privileged to do, for

1 example, at my hospital, and state medical boards
2 do have an opinion on off-label usage of drugs and
3 devices and usually have statements regarding
4 such.

5 Q But those aren't controlled by marketing.

6 A No.

7 Q And then on page 5 --

8 A Of my report?

9 Q Yeah, your report.

10 A Okay.

11 Q "The use of perfusion C.T. to exclude
12 cognitive dysfunction is not considered as a
13 reasonable standard of care in clinical practice."
14 By who and where? What is that statement
15 referring to?

16 A That it's simply not done.

17 Q Anywhere.

18 A To my knowledge --

19 Q Okay.

20 A -- it's -- we didn't do it at UCSF, we
21 didn't do it at MGH, they don't do it at Stanford,
22 they don't do it at Penn.

1 Q Got it.

2 A They don't at University of Vermont.
3 George Washington University Hospital's diagnostic
4 report even with the -- I believe the indication
5 case for the study I believe -- well, actually, I
6 shouldn't guess. What was the indication given?
7 I believe it was -- I believe the interpreting
8 physicians were aware that this was a --

9 MR. PHILBIN: It's page 12 of Exhibit 2.
10 This is only one page.

11 THE WITNESS: Yeah, the indication
12 provided was cognitive, which I don't know if
13 additional information was given, but -- and I --
14 I would be making an assumption of what the
15 interpreting physicians were aware of, but to have
16 an outpatient, which is uncommon to do C.T.
17 perfusion on outpatients, it's very uncommon. I
18 don't even know if I've seen an outpatient in C.T.
19 perfusion in either a long time or ever, but -- so
20 this is an outpatient with nothing other than the
21 indication of cognitive, but if we look at the
22 conclusion of the report, thank you, the

1 conclusion is perfusion scan only without
2 accompanying non-con C.T. or CTA, which goes along
3 with my comment earlier that a diagnostic C.T.
4 scan was not performed, and i-RAPID C.T. perfusion
5 analysis documents are available in PACS for
6 review, and that's the conclusion of the study,
7 the conclusion, even though he's aware that -- or
8 again, I'm assuming was aware that this was an
9 outpatient for a cognitive evaluation, he doesn't
10 opine one way or the other.

11 He doesn't make a statement saying looks
12 good for cognitive purposes, looks bad for
13 cognitive purposes, and that is because it's not
14 considered a reasonable question.

15 MR. VECCHIONE: All right. I'm going to
16 label this Exhibit 4.

17 (Deposition Exhibit Number 4 was marked
18 for identification.)

19 BY MR. VECCHIONE:

20 Q All right, I have handed you a article
21 from Food and Drug Law Journal, volume 53, by
22 James M. Beck entitled "FDA Off-Label Use and

1 Informed Consent: Debunking Myths and
2 Misconceptions" by James M. Beck and Elizabeth D.
3 Azari. Do you know either of those folks?

4 A I don't think so.

5 Q All right, I'd like you to turn to page
6 80.

7 A Here, 70 --

8 Q Should be 80 on the upper left-hand --

9 A Okay.

10 Q Upper -- up left-hand corner is what I'm
11 using.

12 MR. PHILBIN: Counsel, just to be clear,
13 this is not an article that was cited --

14 MR. VECCHIONE: Correct.

15 MR. PHILBIN: -- or attached to
16 Dr. Filler's report.

17 MR. VECCHIONE: That is correct.

18 THE WITNESS: Okay, I'm on page 80.

19 BY MR. VECCHIONE:

20 Q All right, and if you -- if you look at
21 this -- at this article, we've talked a little
22 about some off-label uses, but Mr. Beck says,

1 "Off-Label uses of medical devices and drugs
2 perform an important therapeutic role in many if
3 not most areas of medical practice." Do you agree
4 with that statement or disagree with that
5 statement?

6 A I don't know.

7 Q Okay, and examples of medical conditions
8 whose standard treatments involve or have involved
9 extensive off-label use include, he has a list of
10 things there, but the one I want to focus on is
11 spinal fusion surgery. Do you know whether that's
12 true or not?

13 A No.

14 Q Okay, but pediatric uses are all -- also
15 are mostly off label. Do you agree with that?

16 A That tends to be the case, and the reason
17 for that is is the data necessary -- I'm
18 generalizing of course, but let's assume we're
19 talking about drugs, because I think this is
20 largely geared towards the prescribing patterns.
21 When you do your phase 3 trial of efficacy and
22 safety to submit to the FDA for an indication for

1 a specific diagnosis, it's expensive, and so just
2 looking at that clinical trial alone could easily
3 cost several million dollars, not to include all
4 the other costs of the phase 1 and the phase 2 and
5 the preclinical and -- and all the other things
6 with drug discovery, and so -- so you go through
7 that and you spend however million dollars and you
8 get your adult indication, and then oftentimes the
9 pharmaceutical companies are -- make a business
10 decision, and that they understand that they will
11 get off-label usage in the pediatric populations
12 without having to go through the expense of -- of
13 doing the actual study.

14 And so that's a unique scenario, but yes,
15 we -- we oftentimes borrow adult literature to
16 make inferences on what's reasonable to do, as we
17 talked about using cost-benefit analysis in
18 children.

19 Q Right, and off-label use can become the
20 standard of care, correct?

21 A I think that's reasonable in some
22 instances, yes.

1 Q You can put that aside. All right, so
2 your conclusion in your opinion is that the C.T.
3 in this case is not diagnostic of whether or not
4 Judge Newman has cognitive dysfunction; is that
5 correct?

6 A I do not have an opinion on whether she
7 does or doesn't have cognitive impairment because
8 I -- as we discussed, number one, I didn't
9 evaluate her. Number two, I'm not a specialist in
10 the -- the evaluation of cognitive function, and
11 additionally, the data provided to me should not
12 be used for the assessment of cognitive function
13 or dysfunction.

14 Q All right, and let's take a look -- I just
15 want to --

16 A What year is this article?

17 Q 1998, but Jim Beck will say that all the
18 time. Anyway, in any event, so let's take a look
19 at the 510(k) approval that's attached to your --
20 your opinion. Now I have to find it. All right,
21 I just want you to turn to page 5-2 of the 510(k).

22 A Got it.

1 Q And it says, "RAPID provides tools for
2 performing the following types of analysis."

3 MR. PHILBIN: Sorry, Counsel. Could you
4 point to where on --

5 MR. VECCHIONE: Oh, yeah, 5 -- on 5-2 of
6 511, there is RAPID revised tools for performing
7 the following types of analysis in the center of
8 the page.

9 MR. PHILBIN: Okay.

10 BY MR. VECCHIONE:

11 Q Okay? Do you -- are you with me, Doctor?

12 A Yes.

13 Q All right, so -- so selection of acute
14 stroke patients for endovascular thrombectomy, do
15 you agree that that's a use of this?

16 A Yes, that's the predominant use.

17 Q All right. Volumetry of threshold maps?

18 A Yes.

19 Q Do you agree with that? And what is that?

20 A So one of the decisions being made when
21 whether to pursue treatment in a setting of an
22 acute stroke is the volume at risk of infarction,

1 and so using the -- a series of algorithms, there
2 is quantitation of volume of region of brain that
3 falls below a certain threshold of whether blood
4 flow or mean time of transit or -- or -- or -- so
5 if -- I don't know if -- oh -- well, we --

6 MR. PHILBIN: Just answer the question
7 that --

8 BY MR. VECCHIONE:

9 Q Okay, and I'll ask -- time intensity plots
10 for dynamic time courses, do you believe it is a
11 tool for that?

12 A It -- it does make time intensity plots.

13 Q Okay, and how about measurements of
14 mismatch between labeled volumes on coregistered
15 image volumes?

16 A Yes.

17 Q And finally, large vessel density, does it
18 -- is it a tool for that?

19 A I don't think most of us turn that module
20 on. I'm not familiar with seeing maps related to
21 large vessel density. I do recall that it is one
22 of the processes. So when you set up your

1 individual server, right, we -- I -- I call
2 i-RAPID and I say hey, I want to -- I want to hire
3 you to be my processing method for this because
4 there's a variety of software on the market that
5 -- that are approved for use in the selection of
6 patients for acute arterial thrombectomy or in the
7 setting of suspected acute arterial stroke.

8 You can make some choices, including the
9 thresholded maps like whether, for example, it's
10 20 percent or 30 percent or 40 percent, and -- and
11 some of the coloration and things like that, and
12 then you can further tailor based upon the actual
13 methodology you use because there is some
14 variability in the actual C.T. perfusions used to
15 acquire C.T. perfusion source imaging data, and so
16 that's a module or an option that -- that I don't
17 believe is commonly used. I don't think we use
18 that, and I don't -- I didn't see process maps
19 that suggest that they use that either, but --

20 Q They meaning in this --

21 A George Washington --

22 Q George Washington.

1 A -- University Hospital in this case.

2 Q All right.

3 A They may use it in other cases, but --

4 Q And I'd like you to go to 5-5 of 511, and
5 then it says under clinical characteristics,
6 "Primary users of RAPID software are medical
7 imaging professionals who analyze tissue using
8 C.T. or MRI images." Do you agree with that?

9 A Yes, that's what it says.

10 Q Do you agree with it though? Is that what
11 it's used for, or who it's used by?

12 A No, I think that's too -- that's too
13 general of a statement, which isn't uncommon for
14 their -- for these type of documents, and so
15 generically stating medical imaging professionals,
16 so -- which could suggest a C.T. technologist or a
17 Ph.D. that does research, and that's not a primary
18 user. A primary user is a neuroradiologist and
19 usually a stroke neurologist or emergency room
20 physician. Those are your big population -- or
21 excuse me, and endovascular neurosurgeons and
22 neurointerventional radiologists, I guess which --

1 which five dollar word I use.

2 Q And the next sentence is, "The images
3 generated by RAPID provide additional diagnostic
4 information, which is derived from the temporal,"
5 slash, "diffusion," slash, "density features of
6 the native C.T. or MRI images." Do you agree with
7 that?

8 A Yeah, that's reasonable, and that refers
9 to what we talked about earlier in that the CTA
10 source images, the thin -- the thin raw data's not
11 intended to be diagnostically utilized in and of
12 itself. It's the processed data that is the --
13 that is the primary tool used in the assessment of
14 patients with suspected acute arterial function --
15 or acute arterial infarction of any arterial
16 circulation.

17 Q Okay, and then on your -- in your report,
18 I'd like you to turn to grants and clinical trial
19 histories. I think you checked off some things
20 earlier there.

21 A Yeah.

22 Q And it says -- you see just the start of

1 it, grants, clinical trial histories, and I just
2 have a general question about these.

3 A Yeah, sure.

4 Q Did the FDA approve the devices used in
5 these trials for each of these uses?

6 A So -- so if we start off with the study
7 related to quantitative imaging biomarker
8 prospective allegation of dynamic contrast
9 enhancement, MRI is a metric of orodental injury
10 after radiotherapy, these patients were all given
11 an informed consent and enrolled into a clinical
12 trial. So we were -- we applied for and had local
13 institutional review board approval that the risks
14 to these patients outweighed -- or the benefits to
15 science and/or the individual patients outweighed
16 the risk to the patient and society, and so the
17 Food and Drug -- Food and Drug approval is --
18 comes up into the methodology that we're using and
19 is discussed at the level of the institutional
20 review board.

21 So the short answer is is that we were --
22 we were looking at tissue that would not commonly

1 be used, but we weren't using a software package
2 to process this. So for example, what we didn't
3 do with the -- the MRI perfusion data acquired in
4 this case is we didn't run it through a processing
5 package that was intended to use for stroke,
6 because we used a more complicated research
7 methodology entitled for our purposes, and we
8 didn't have an intent to diagnose or treat these
9 individual patients because again, this was --
10 this was a research study.

11 MR. VECCHIONE: Okay. I have one exhibit
12 left. Do you want to take a ten-minute break
13 before I get to it?

14 MR. PHILBIN: Sure.

15 (Recessed at 11:14 a.m.)

16 (Reconvened at 11:29 a.m.)

17 BY MR. VECCHIONE:

18 Q Doctor, did you talk to anyone about your
19 testimony during the break?

20 A I chatted with Pat.

21 Q Do you have any other changes to any
22 testimony that you discussed?

1 A Yes, things I reviewed between originally
2 filing my report and being here today, I was
3 shared a copy of the judge's medical records, and
4 I forgot, I mentioned that. I looked at them
5 briefly. Given that I'm not here as necessarily a
6 medical expert -- or excuse me, as a -- her -- her
7 oncology records or hematology records and her
8 internal medicine records weren't necessarily
9 germane towards what I'm -- been asked, so it
10 slipped my mind, but I did -- I did get -- receive
11 a copy of her medical record, which I looked at
12 briefly.

13 Q And did any of those change the opinions
14 that are in your report or any of the opinions
15 you've revealed in today's deposition?

16 A No.

17 Q I'd like to enter what I believe is
18 Exhibit 5, which is one of your publications. I
19 believe it's number 15 on your -- Exhibit 5.

20 (Deposition Exhibit Number 5 was marked
21 for identification.)

22 BY MR. VECCHIONE:

1 Q Doctor, do you recognize this document?

2 A Yes.

3 Q Can you state for the record what it is?

4 A This is a publication that I was involved
5 with from MD Anderson Cancer Center, where we
6 looked at cognitive function in patients with
7 skull-based cancer after treatment. I believe it
8 was -- as I recall, I mean, this is a publication
9 about eight years ago. The two points were,
10 number one, is just trying to assess whether it's
11 reasonable to do a phone interview to get an idea
12 of a patient's cognitive status. In this
13 scenario, you know, somebody that had brain
14 radiation or -- and particularly skull base
15 receives a much higher dose of radiation than
16 other cancer above the clavicles, so it's
17 commonplace, if they survive long enough, that
18 they have some cognitive impairment, and then the
19 second one was to get a general idea of the
20 incidence. So I believe those were the two --

21 Q The incidence of cognitive --

22 A Yeah.

1 Q -- decline.

2 A To get a rough idea of, you know, is it in
3 one out of ten or five out of ten or --

4 Q Can you read the title and the date of
5 this publication?

6 A Yeah, "Cognitive Function and
7 Patient-Reported Memory Problems Following
8 Radiation Therapy for Cancers at the Skull Base:
9 A Cross-Sectional Survivorship Study Using the
10 Telephone Interview for Cognitive Status, TICS,
11 and the MD Anderson Symptom Inventory-Head and
12 Neck Module MDASI" dash "HN."

13 Q All right, and if I look down here, I see
14 Jason Johnson, M.D. with a 3 up there footnote,
15 and if I look down here, it says final approval of
16 the version to be published is what 3 means?

17 A No, that little 3 is my -- refers --

18 Q Oh.

19 A -- to the fact that I belonged to the
20 Department of Diagnostic Radiology --

21 Q Got you.

22 A -- at MD Anderson. All of the coauthors

1 -- all the coauthors have a role in those four
2 things.

3 Q Okay, got it. Now -- now, as I understand
4 this article, it used this TICS to assess
5 cognitive dysfunction, correct?

6 A As I recall.

7 Q Which is a phone interview of a large
8 number of patients, correct?

9 A Around a hundred I believe.

10 Q Right.

11 A 122.

12 Q And so if we look at the abstract on page
13 2 --

14 A Okay.

15 Q The background, using patient-reported and
16 objective assessment tools, what are objective
17 assessment tools?

18 A Overt signs of dysfunction.

19 Q "We sought to quantify cognitive symptoms
20 and objective cognitive dysfunction in patients
21 irradiated for skull-based cancer," correct? And
22 that's what you've just described. You have to

1 verbally respond.

2 A I don't understand the question.

3 Q Oh, okay. "We sought to quantify
4 cognitive symptoms and objective cognitive
5 dysfunction in patients irradiated for skull-based
6 cancer." That was the purpose of the study.

7 A Yeah, yes.

8 Q And then it says, "The methods.
9 Participants were assessed using telephone
10 interview for cognitive status, TICS." That's how
11 it was done, correct?

12 A Correct.

13 Q And then in the conclusions, it says,
14 "Approximately one-third of the patients had
15 ambiguous results by TICS screening, for whom more
16 rigorous testing may be warranted," correct?

17 A Yes, that's what it says.

18 Q And then, "Moderate to severe levels of
19 patient-reported memory complaints on the MDASI,"
20 dash, "HN may have utility as a screening tool for
21 cognitive dysfunction in this population," and
22 that was the conclusion of the -- of the study?

1 A Yeah, that's what's written here.

2 Q All right, and do you know what was in the
3 -- what type of phone interview was done to assess
4 cognitive function?

5 A No, not off the top of my head, but I
6 believe -- is it not here in the appendix?

7 Q Maybe.

8 A It's -- it's -- I believe it's a series of
9 questions, such as have you lost your keys.

10 Q Got it.

11 A Do you have trouble remembering
12 conversations you've recently had and questions --
13 questions like that.

14 Q All right, and they -- they were
15 diagnostic of -- of cognitive decline if you
16 couldn't answer those questions.

17 A Suggestive of an issue. I do not believe
18 the assertion was is that this should be used in
19 lieu of -- of appropriate -- or further
20 evaluation, and one of the -- Jeff Wefel, for
21 example, about the fifth author or sixth author
22 there on the third line of the author list --

1 Q Uh-huh.

2 A -- is a -- was -- well, I assume as far as
3 I know he still is the chief of neuropsychiatry at
4 MD Anderson and would have been supervising that
5 point of view, and I believe there should --
6 probably at least one more. We usually -- he
7 might be the only one on this one, but anyway, so
8 typically what would happen is they would screen
9 in for further evaluation, and it would be
10 referred to Dr. Wefel or his group for -- for
11 formal neuropsychological testing, and then
12 therefore, diagnosis, treatment.

13 So one of the things that we see, the
14 average age of patients with head and neck cancer
15 is roughly 60, and so Alzheimer's disease starts
16 having a reasonable prevalence as it does in the
17 natural population, so sometimes it's related to
18 the therapy, sometimes it's related to preexisting
19 probability that they were going to have a
20 cognitive dysfunction, and sometimes there's an
21 interaction in that a preexisting diathesis
22 towards receiving it is exacerbated by the

1 treatment, and so -- but in any of those cases we
2 did rely at MD Anderson on formal psychological
3 testing to assess the degree and then of course
4 what the appropriate treatment would be.

5 Q All right, and if you look at materials
6 and methods on page 3 --

7 A Okay.

8 Q That's the study design -- that describes
9 how the study was designed?

10 A Yeah, I'm just giving it a -- I haven't --

11 Q Yep.

12 A -- seen this in approximately eight years.
13 Actually --

14 Q Did you review it in preparation for this
15 deposition?

16 A No.

17 Q Okay, go ahead.

18 A It might have even been more than eight
19 years because -- yeah, it was received -- we would
20 have submitted it in 2016 or 2017, and then it was
21 -- formal publication date was '18, so it might be
22 more than seven years, but anyway -- okay, study

1 design. And you know, one of the things that's
2 worth mentioning here is that, you know, in the
3 first line of the study design, this study was
4 approved by the institutional review board for the
5 University of Texas MD Anderson Cancer Center.

6 Q Uh-huh.

7 A And part of that is that we're doing
8 something that's outside of standard of practice
9 at MD Anderson, and so we ask the institutional
10 review board for permission, as required by the
11 FDA, which I believe it's the FDA law that governs
12 institutional review boards, on whether or not
13 it's reasonable and safe to -- you know, as in
14 we're not going to -- we're not going to cause any
15 undue psychological stress and we're not going to
16 potentially make diagnostic interpretations of
17 something that's not considered a reasonable
18 standard of practice, and that, as I recall, in a
19 number of these studies is something the
20 institutional review board is looking at and
21 saying what are you going to do with this
22 information, and we say oh, we're going to turn

1 around and we're going to treat them as if this
2 was real -- you know, this is something we all
3 believe in, they're going to have more questions
4 for us.

5 Q Right.

6 A And so we usually do need to specify that
7 this is not for diagnostic or therapeutic
8 purposes, and so this was, as I recall, again, a
9 validation of whether or not this technique was
10 reasonable. It's a pretty high volume head and
11 neck cancer center, and so we want to do some
12 validation of the methodology that we use to
13 screen patients to understand, you know, for
14 example, if your -- had a low TICS score, if it's
15 reasonable that we maybe not send you to
16 neuropsychology for more testing, as opposed to is
17 it reasonable to put you through the cost and time
18 to bring you in for formal neuropsychological
19 testing if you did so -- so-called screen in.
20 Formal neuropsychological testing is very time
21 consuming, and therefore, can be considered
22 expensive, so --

1 Q Right, and so in here, it has study
2 assessments, the TICS survey is a concise
3 standardized examination of cognitive function
4 designed to be delivered over the telephone,
5 correct?

6 A Yes, that's what it says.

7 Q And then they have scoring, what their
8 scoring practice is, correct?

9 A Yes.

10 Q All right, and then at the end -- well,
11 it's not fully the end, but the next thing on page
12 5 is results, and they go through how -- the
13 conclusions from doing this, correct?

14 A Yes.

15 Q All right. That's my only questions
16 there. I do have one question. Could you turn to
17 the first pie chart exhibit? I think it's on page
18 11.

19 A Yeah.

20 Q So from the -- from the phone screening,
21 about 56 percent of these patients were found to
22 be non-impaired?

1 A That's what the -- yes, that's what the
2 graph suggests.

3 MR. VECCHIONE: Okay, all right. I'm
4 going to show -- I'm going to put in another
5 exhibit.

6 MR. PHILBIN: Are we done with this
7 exhibit?

8 MR. VECCHIONE: For now. I think he may
9 want to look back at it, but maybe not. This will
10 be Exhibit 6.

11 (Deposition Exhibit Number 6 was marked
12 for identification.)

13 BY MR. VECCHIONE:

14 Q All right, let's look at what I've labeled
15 Exhibit 6. Do you recognize this document?

16 A No.

17 Q Okay. You said earlier that the -- that
18 you thought one of the appendix to the study would
19 be the TICS questionnaire. Does that look like
20 the TICS questionnaire to you?

21 A That's what it's labeled as such.

22 Q Okay.

1 A Yeah, I don't -- I don't recall.

2 Q Okay, and let's look at -- pull my
3 document out. So the first question on this
4 telephone interview for cognitive status, it says
5 "TICS" at the top. Does that refresh your
6 recollection at all?

7 A No.

8 Q Okay, and the first question is, "Please
9 tell me your full name."

10 A Yes, that's what the first question is.

11 Q And then there are questions such as what
12 year it is and what's today's date and that sort
13 of thing?

14 A Yes.

15 Q All right. Are you familiar with this
16 type of test in general?

17 A It's -- yes, it's similar to other
18 screening examinations, such as the Mini-Mental
19 Status Examination or the Montreal Test of
20 Cognitive Impairment I believe is the title.

21 Q Okay, and have you ever given a test of
22 this sort to any patient?

1 A Yeah, when I was a med student or an
2 intern, as somebody would suspect -- well, you
3 tended to do it on all neurology admissions, and
4 then in other non-neurology -- we actually also
5 did it on a lot of psychiatric admissions, and
6 then of course, other situations. It'd be an as-
7 needed basis. If a woman was in for pneumonia, I
8 wouldn't necessarily decide to take the time to
9 put her through a Mini-Mental Status Examination.

10 Q Okay, and I suppose -- so I'll just turn
11 to 7. Some of them are what's your home address
12 and you ask somebody what -- where they live and
13 what state they're in and zip code and that sort
14 of thing?

15 A Yes.

16 Q And then you read words I think in number
17 9 and you test memory?

18 A Yes.

19 Q All right, and -- and then there's some
20 math questions on 10, subtracting 7 from a hundred
21 and then keep subtracting?

22 A Yes.

1 Q All right, and then there's some word
2 association tests, what animal does wool come
3 from, what do people use to cut paper, that sort
4 of thing?

5 A Yes.

6 Q And is this considered a useful diagnostic
7 tool?

8 A No.

9 Q Why not?

10 A This would be a screening tool in a
11 population with a very high likelihood of having
12 cognitive impairment.

13 Q But in this test, we saw -- I think we've
14 seen that if you got a adequate score on this
15 test, they didn't send you for follow-up.

16 A That was the idea was is to help -- to see
17 if we could do this low-cost simple screening of
18 patients to assess. I don't know that they
19 actually acted on it. The -- the idea is -- is
20 neuropsych -- formal psychological testing would
21 be great in all patients ideally before we start
22 cancer treatment and then at some interval

1 afterward, but we don't have enough
2 neuropsychologists to reasonably offer that
3 service, and so the -- the intent of the study, as
4 I recall, was could you use a tool like this to
5 reasonably triage patients towards an
6 intervention, or at least formal diagnostic
7 testing.

8 So -- but that's already happening in
9 clinical practice. This was trying to formalize
10 some sort of strategem to -- so --

11 Q My question is in this -- in this -- in
12 this program, if someone passed the TICS test,
13 were they sent for follow-up testing at all, do
14 you know?

15 A I don't know.

16 Q Okay, all right. So I want to turn to the
17 conclusions in Exhibit 5, so it's the previous
18 one, Exhibit 5.

19 MR. PHILBIN: Is this page 8 of Exhibit 5?

20 BY MR. VECCHIONE:

21 Q Yep, yes.

22 A Okay, got it.

1 Q So conclusions here are detectible
2 cognitive impairment was observed in the minority,
3 but approximately one-third of the patients had
4 ambiguous result by TICS, for whom more rigorous
5 testing would be required to completely
6 characterize. So doesn't that imply that if you
7 pass the TICS test, no further testing was
8 required to completely characterize?

9 A Well, that was the nature of the study,
10 was to assess whether or not in this institutional
11 review board-approved research study, whether you
12 could consider doing a methodology like this to
13 triage patients.

14 Q The question -- the question, Doctor, is
15 doesn't the conclusion of -- the conclusion, but
16 -- that if there was ambiguous results, more
17 rigorous testing would be required to completely
18 characterize mean that if they passed the TICS,
19 they didn't need more testing?

20 A I don't see where it says that.

21 Q That isn't the implication of the first
22 sentence there?

1 A The -- it states that there's a subset of
2 patients studied with certain results that they
3 felt did need further testing. It does not go on
4 to state or was it shown or was it the intention
5 of the study to state that if you did well on the
6 TICS, you would never need -- you wouldn't need
7 any additional evaluation at any point. So we've
8 got -- so the -- the inverse -- implying that the
9 inverse is also true is I don't think accurate in
10 this scenario.

11 Q All right. Now, in reviewing Dr. Filler's
12 initial report, or the second report actually, the
13 reply as well, do you understand that Filler did
14 more than analyze just the C.T. screens?

15 A Yes.

16 Q Okay. You didn't look at any -- you
17 didn't form an opinion about any of those other
18 things.

19 A No.

20 Q All right, and you have no opinion at all
21 as to whether Judge Newman has experienced
22 cognitive decline or is mentally fit to continue

1 on the bench?

2 A No, I don't have an opinion on her
3 cognitive function.

4 MR. VECCHIONE: All right, I'm going to
5 confer with my colleagues. Other than that, I'm
6 done.

7 (Recessed at 11:51 a.m.)

8 (Reconvened at 11:57 a.m.)

9 MR. VECCHIONE: I have no further
10 questions at this time.

11 EXAMINATION BY COUNSEL FOR THE
12 JUDICIAL COUNCIL OF THE FEDERAL CIRCUIT
13 BY MR. PHILBIN:

14 Q Okay, just a few questions for us.
15 Dr. Johnson, if you could look at Exhibit 2, which
16 was Dr. Filler's report, and I think at one point,
17 Mr. Vecchione had asked you if it was plausible
18 that Dr. Filler didn't know where the hippocampus
19 was, but on the image that's on the front page of
20 his report, he's labeled two spots on this image,
21 one, high focal blood flow in the left hippocampal
22 region, and high focal blood flow in the right

1 hippocampal region. Do those arrows actually
2 point to the hippocampi?

3 A No.

4 Q Okay. Have you ever seen another
5 practitioner label an image like this, a C.T.
6 perfusion label where that's that red area and say
7 that's the hippocampus?

8 A No.

9 Q Or the blood flow in the hippocampus?

10 A No, I've never seen that.

11 Q Okay. I think Mr. Vecchione also asked
12 you, if you could go to page 11 of that --
13 Dr. Filler's initial report, there's a series of
14 gray images down the left side of that image, and
15 I think Mr. Vecchione asked you if you saw a gross
16 abnormality in the brain structure there. My
17 first question though is is this the sort of image
18 that you would use to look for an abnormality in a
19 brain structure?

20 A There's no -- you could use it as a tool
21 to exclude a very -- a very significant
22 abnormality, such as a big tumor, an area of brain

1 that was missing, hemorrhage, prior infarction.
2 So it's meant as a quality assurance tool to
3 understand what's going on with the color maps or
4 the -- the other four columns.

5 Q Okay, and when you answered his question
6 earlier as to abnormality, was that any
7 abnormality, or are you limiting what you can say
8 about these images to a gross abnormality?

9 A I'm -- I'm -- it's reasonable that there's
10 no gross abnormality, and if that's a -- that's a
11 challenging statement in a clinical context, to
12 tell one of my colleagues that your patient
13 doesn't appear to have a gross abnormality of
14 their brain because usually they need more detail
15 than that, so therefore, we would -- we wouldn't
16 rely on these.

17 Q You wouldn't rely on these images --

18 A No.

19 Q -- to determine that.

20 A No.

21 Q Okay, I think at one point, we were
22 discussing earlier in these perfusion C.T. images

1 normalizing or pseudonormalizing the scale in
2 terms of colors being shown. I just want to ask
3 you a couple questions about that. So if you look
4 at the front of Dr. Filler's report, the first
5 image, the areas that he's pointed to that are
6 red, does the red coloration there indicate
7 something in terms of blood flow against an
8 absolute scale, something like a certain number of
9 milliliters per second or something like that?

10 A No.

11 Q And is that because the way the color is
12 applied is that this individual's perfusion C.T.
13 scan is taken, and the software takes sort of the
14 highest blood flow for this individual and assigns
15 it that -- the red color?

16 A That's correct.

17 Q And so then the colors are distributed
18 from red down to I guess blue just showing high to
19 low for this individual person's blood flow.

20 A Yes.

21 Q So does that mean that -- can you take a
22 blood flow image like this, perfusion C.T. scan

1 and blood flow image from one individual, match it
2 up to another individual or a group of individuals
3 and say see this area's red, they've got the same
4 blood flow as these other people?

5 A No, you shouldn't. That's not accurate.

6 Q And that's because it's not actually an
7 absolute measure. It's just sort of normalized to
8 the individual.

9 MR. VECCHIONE: Objection, leading.

10 BY MR. PHILBIN:

11 Q Well, why is it that you can't compare one
12 to the other, Doctor?

13 A It's normalized to the individual. So as
14 discussed, the highest blood flow is assigned the
15 red color, and the lower blood flows are -- the
16 lowest flood blows are assigned that darker blue
17 color seen in her frontal white matter regions,
18 and then everything in between is scaled
19 proportionally. So all C.T. perfusion maps
20 without significant vascular inflow abnormality
21 will qualitatively look like this.

22 Q Okay. I think at one point, Mr. Vecchione

1 asked you about a hypothetical, assuming that a
2 person could not have an MRI, then would it be
3 reasonable as an alternative to do a C.T. scan.
4 Do you remember that?

5 A Yes.

6 Q And was that referring to a C.T. perfusion
7 scan or a C.T. -- what I'll call a structural C.T.
8 scan?

9 A Referring to doing a structural C.T.
10 examination to -- to look for a possible
11 explanation of the cognitive disfunction that the
12 patient's being evaluated for, it would not be
13 reasonable to perform C.T. perfusion in that
14 scenario.

15 Q And would you -- I think you said that you
16 could do a structural C.T. Would you do a
17 structural C.T. to be determining whether the
18 person had a cognitive impairment?

19 A No.

20 Q So what would you be using the structural
21 C.T. to do?

22 A To help explain or to offer alternative

1 diagnostic possibilities for the patient's
2 presentation, such as an area of infarction,
3 significant microvascular ischemic changes, a
4 tumor. Big subdural hematomas are something we
5 sometimes see in this age that can present as,
6 quote unquote, cognitive impairment or
7 dysfunction. I think that that's what --

8 Q So does that mean you -- the patient would
9 present as having cognitive impairment that would
10 be determined by some other means?

11 A Correct.

12 Q And then you'd be looking at a structural
13 C.T. to look for some anatomical -- I might not
14 use the words exactly correctly. Anatomical or
15 physiological explanation for why there was this
16 observed cognitive deficit?

17 A Yeah, we use the anatomic, a non-contrast
18 C.T. of the scan ahead, as in this discussion,
19 does -- doesn't provide physiologic information,
20 and so the other one is -- it could provide some
21 degree of prognostication, such as -- and if there
22 were very severe cerebral atrophy, it could help

1 the neurologist or psychiatrist have a discussion
2 with the patient or family members about how well
3 they might likely do with possible treatments.

4 Q Okay. Then I'd like to go back to Exhibit
5 -- well, Exhibit 5 and Exhibit 6. Exhibit 5 was
6 the article. Exhibit 6 was the telephone
7 interview for the cognitive status. So on this
8 article, you are listed as one of many coauthors;
9 is that correct?

10 A Yes.

11 Q Looks like -- I'm guessing from this, but
12 looks like around 20 names potentially there? Is
13 that fair?

14 A More. The very first line is a -- is a
15 working group, and they're probably listed
16 somewhere in the appendix, but yes, at least let's
17 go with 20, at least 20 coauthors.

18 Q And is it fair to say that different
19 physicians working on this paper have different
20 roles --

21 A Yes.

22 Q -- in the paper? And was your role to

1 deliver the telephone interview for cognitive
2 status?

3 A No.

4 Q So did you have any occasion in relation
5 to this paper to see the telephone interview for
6 cognitive status or work on it?

7 A No.

8 Q And is it your specialty, apart from this
9 study, is it your specialty to be assessing
10 patients for impairment of cognitive status? Is
11 that what you do?

12 A No, my role is not to diagnose or exclude
13 the possibility of neurocognitive impairment in
14 the setting of suspected or known cognitive
15 impairment. I may be involved in interpreting
16 diagnostic imaging to help understand the
17 presentation, but my role is not to -- to include
18 or exclude the diagnosis of cognitive impairment.

19 Q And for this study, was it the intention
20 to be using the TICS to diagnose individuals?

21 A No.

22 MR. PHILBIN: Okay. I don't have any

1 further questions.

2 MR. VECCHIONE: I have no follow-up.

3 MR. PHILBIN: All right, I think we're
4 done.

5 (Off the record at 12:07 p.m.)
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

ACKNOWLEDGMENT OF DEPONENT

I, Jason M. Johnson, M.D., do hereby
acknowledge that I have read and examined the
foregoing testimony, and the same is a true,
correct and complete transcription of the
testimony given by me, and any corrections appear
on the attached errata sheet signed by me.

(DATE)

(SIGNATURE)

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY PUBLIC

2 I, Karen Young, the officer before whom
3 the foregoing deposition was taken, do hereby
4 certify that the foregoing transcript is a true
5 and correct record of the testimony given; that
6 said testimony was taken by me stenographically
7 and thereafter reduced to typewriting under my
8 supervision; and that I am neither counsel for or
9 related to, nor employed by any of the parties to
10 this case and have no interest, financial or
11 otherwise, in its outcome.

12 IN WITNESS WHEREOF, I have hereunto set my
13 hand and affixed my notarial seal this 7th day of
14 June, 2025.

15 
16

17 _____
18 NOTARY PUBLIC IN AND FOR
19 THE DISTRICT OF COLUMBIA

20
21 My commission expires:
22 September 14, 2029

A			
ability	114:8, 115:3,	acquisitions	73:15, 86:11
72:3	117:1, 119:2	19:18	address
able	above	act	107:11
33:15, 35:12,	43:5, 95:16	33:20, 42:17,	adequate
49:22, 50:2,	absence	42:18	108:14
50:9, 72:11	50:12	acted	adjust
abnormal	absolute	108:19	41:2
30:2, 42:6	115:8, 116:7	active	administration
abnormalities	abstract	51:12, 51:17	27:17, 27:20,
30:16	77:8, 97:12	activity	28:11, 28:19,
abnormality	acceptance	42:20, 43:10	33:20, 51:3
55:3, 55:14,	78:18	actual	admissions
55:18, 55:19,	accident	25:22, 59:6,	107:3, 107:5
113:16, 113:18,	50:21	85:13, 89:12,	admitted
113:22, 114:6,	accidentally	89:14	74:14
114:7, 114:8,	41:17	actually	ads
114:10, 114:13,	accompanying	24:12, 28:20,	79:17
116:20	82:2	29:14, 39:20,	adult
about	according	50:5, 59:3,	85:8, 85:15
8:3, 8:22,	23:3	60:12, 67:9,	advertising
9:11, 10:20,	accurate	69:7, 73:18,	79:18
19:2, 21:9,	7:14, 57:6,	81:5, 101:13,	affixed
21:11, 21:20,	65:19, 72:13,	107:4, 108:19,	123:13
22:21, 30:8,	76:15, 111:9,	111:12, 113:1,	after
32:15, 34:11,	116:5	116:6	13:18, 19:6,
35:21, 40:14,	acknowledge	acute	23:10, 47:2,
45:17, 45:22,	122:3	28:8, 30:1,	56:21, 92:10,
46:4, 46:9,	acknowledgment	30:8, 30:17,	95:7
49:12, 51:10,	122:1	30:18, 31:5,	afterward
53:12, 56:15,	acorn	34:20, 54:20,	109:1
59:2, 60:21,	46:6	59:8, 74:11,	again
61:9, 64:16,	acquire	74:12, 87:13,	43:9, 54:18,
65:5, 66:3,	30:11, 68:6,	87:22, 89:6,	58:14, 82:8,
66:13, 67:10,	89:15	89:7, 91:14,	93:9, 103:8
67:15, 67:21,	acquired	91:15	against
71:15, 71:18,	19:17, 25:1,	add	115:7
73:16, 73:18,	55:16, 64:5,	12:17	age
74:21, 75:21,	66:17, 93:3	added	100:14, 118:5
75:22, 76:7,	acquired's	23:7, 59:18	agency
77:15, 77:16,	58:9	adding	49:10
79:2, 83:22,	acquires	65:15	agent
84:19, 85:17,	18:20	additional	32:9, 32:11
88:13, 91:9,	acquiring	23:13, 59:18,	ago
92:2, 93:18,	18:17, 64:6,	72:8, 77:21,	10:21, 95:9
95:9, 99:21,	64:18, 68:2,	81:13, 91:3,	agree
104:21, 111:17,	68:3	111:7	31:12, 48:9,
	acquisition	additionally	48:14, 51:2,
	63:14, 64:17	53:12, 66:10,	56:11, 57:4,

61:2, 63:19, 67:4, 75:14, 84:3, 84:15, 87:15, 87:19, 90:8, 90:10, 91:6 ah 25:11 ahead 60:7, 101:17, 118:18 ai 27:13 aid 28:6, 28:7 aif 57:3 al 4:19 algorithms 88:1 allegation 92:8 alliance 3:15, 3:21 allowing 22:10 almost 32:12 alone 42:18, 85:2 along 36:4, 40:8, 82:2 already 11:18, 11:20, 45:13, 52:2, 59:15, 109:8 also 3:20, 6:21, 6:22, 22:20, 29:5, 40:4, 40:7, 40:12, 48:11, 50:11, 51:15, 57:7, 65:16, 66:13, 70:15, 79:3, 84:14, 107:4,	111:9, 113:11 alternative 70:14, 117:3, 117:22 although 24:11, 40:9, 64:1 always 20:20, 20:22, 21:1, 21:3, 40:9, 41:5, 57:15 alzheimer's 100:15 ambiguous 98:15, 110:4, 110:16 america 72:16, 72:18, 72:21 american 23:8 amounts 57:8 amygdala 42:17, 62:21 analogy 41:14, 42:2, 43:20, 73:10 analysis 59:6, 59:11, 82:5, 85:17, 87:2, 87:7 analyze 90:7, 111:14 analyzed 58:11 anatomic 118:17 anatomical 63:17, 118:13, 118:14 anatomy 56:11 anderson 51:13, 53:3, 72:19, 95:5, 96:11, 96:22,	100:4, 101:2, 102:5, 102:9 animal 108:2 annotated 37:4, 37:19, 60:14, 60:16 annotating 54:13 annotation 59:9 annotations 37:15 another 30:7, 77:1, 105:4, 113:4, 116:2 answer 5:20, 5:21, 6:4, 6:5, 25:14, 34:16, 38:11, 38:18, 48:20, 88:6, 92:21, 99:16 answered 48:18, 114:5 answering 5:19 answers 6:2, 7:14 anterior 30:19, 31:1, 42:14, 54:20, 54:22 any 6:6, 6:10, 7:13, 9:8, 10:1, 10:18, 10:22, 14:8, 15:7, 15:11, 16:10, 16:17, 17:8, 20:5, 27:5, 34:14, 38:14, 42:4, 45:2, 47:9, 51:8, 55:13, 55:17, 71:18, 75:21, 86:18, 91:15,	93:21, 94:13, 94:14, 101:1, 102:14, 106:22, 111:7, 111:16, 111:17, 114:6, 120:4, 120:22, 122:6, 123:9 anybody 14:8 anymore 9:22, 78:16 anyone 45:22, 93:18 anything 12:17, 12:20, 16:5, 21:8, 27:4, 30:10, 47:2, 47:13, 76:1 anyway 22:22, 32:14, 39:17, 77:22, 86:18, 100:7, 101:22 anywhere 33:6, 80:17 apart 120:8 apparently 14:13 appeals 1:3 appear 35:1, 114:13, 122:6 appearance 38:3, 38:8 appendix 99:6, 105:18, 119:16 applied 92:12, 115:12 apply 43:21, 68:5 appreciate 47:19 appropriate 99:19, 101:4
---	---	--	--

approval 12:9, 31:13, 48:12, 48:21, 49:11, 51:4, 79:3, 86:19, 92:13, 92:17, 96:15 approve 48:22, 92:4 approved 29:2, 30:21, 79:7, 89:5, 102:4 approximately 13:5, 19:13, 62:4, 66:2, 68:4, 98:14, 101:12, 110:3 area 10:10, 10:11, 37:19, 38:7, 39:18, 40:5, 40:9, 55:3, 59:1, 113:6, 113:22, 118:2 area's 116:3 areas 36:14, 84:3, 115:5 aren't 15:12, 80:5 argue 64:3 argued 72:8 argument 67:5 arizona 46:4 arlington 3:17 around 32:12, 78:19, 97:9, 103:1, 119:12 arranged 19:12	arrow 59:20, 61:4, 61:6 arrows 37:22, 113:1 art 66:20 arterial 30:1, 30:18, 34:20, 54:13, 57:3, 59:9, 89:6, 89:7, 91:14, 91:15 arteries 37:21, 38:3, 38:15, 38:20, 38:22, 39:10, 39:11, 39:17, 39:21 artery 28:9, 28:10, 30:22, 38:7, 39:13, 39:22, 62:3, 62:6, 62:9, 62:19 article 4:18, 4:19, 82:20, 83:13, 83:21, 86:16, 97:4, 119:6, 119:8 articles 15:15, 17:4, 17:5, 17:7, 17:11, 17:15, 17:21, 18:5, 21:16 artifact 29:22, 64:9 aside 55:22, 86:1 asked 8:9, 8:12, 10:21, 20:7, 35:9, 46:12, 46:14, 61:9, 77:15, 94:9, 112:17, 113:11,	113:15, 117:1 assertion 76:14, 99:18 assess 43:14, 48:13, 70:13, 70:15, 95:10, 97:4, 99:3, 101:3, 108:18, 110:10 assessed 98:9 assessing 10:2, 59:8, 120:9 assessment 20:20, 33:1, 52:10, 56:13, 86:12, 91:13, 97:16, 97:17 assessments 30:13, 57:1, 104:2 assigned 116:14, 116:16 assigns 115:14 association 108:2 assume 6:8, 62:17, 84:18, 100:2 assuming 82:8, 117:1 assumption 81:14 assurance 54:18, 114:2 atrophy 118:22 attached 4:13, 21:14, 21:15, 83:15, 86:19, 122:7 attempt 6:1 attend 15:3 attitude 53:3	attorney 6:3 attorneys 8:6 author 21:17, 44:17, 99:21, 99:22 automatically 20:1, 66:7 available 20:2, 82:5 average 100:14 aware 32:2, 42:13, 81:8, 81:15, 82:7, 82:8 away 6:4, 59:10 axial 78:14 azari 4:18, 83:3 <hr/> B <hr/> back 34:9, 34:10, 55:20, 55:21, 56:1, 105:9, 119:4 background 45:7, 97:15 bad 65:18, 82:12 barrier 40:17, 40:19 basal 36:21, 62:10, 62:14, 62:21 base 62:12, 62:20, 95:14, 96:8 based 43:2, 58:12, 58:15, 89:12 basically 49:17 basis 75:10, 107:7
---	---	--	---

because 11:6, 21:3, 41:4, 43:19, 50:16, 54:15, 58:15, 58:16, 59:5, 65:7, 65:14, 65:19, 66:20, 67:16, 68:8, 68:16, 74:5, 74:11, 77:19, 82:13, 84:19, 86:7, 89:3, 89:13, 93:6, 93:9, 101:19, 114:14, 115:11, 116:6 beck 4:18, 82:22, 83:2, 83:22, 86:17 become 85:19 beds 39:5 been 5:5, 7:8, 8:14, 10:17, 11:5, 11:7, 11:11, 11:14, 12:21, 14:7, 18:2, 20:7, 20:9, 20:12, 26:3, 26:19, 35:12, 46:14, 57:7, 71:1, 79:7, 94:9, 100:4, 101:18 before 1:2, 2:11, 5:15, 5:19, 8:12, 8:14, 8:17, 32:16, 48:19, 51:7, 74:22, 93:13, 108:21, 123:2 behalf 3:2, 3:12, 7:10 being 10:11, 29:10,	40:10, 58:6, 62:17, 63:1, 69:21, 87:20, 94:2, 115:2, 117:12 believe 12:13, 15:15, 17:10, 19:11, 26:14, 33:10, 34:8, 46:15, 47:10, 48:15, 54:12, 56:9, 62:1, 78:6, 81:4, 81:5, 81:7, 88:10, 89:17, 94:17, 94:19, 95:7, 95:20, 97:9, 99:6, 99:8, 99:17, 100:5, 102:11, 103:3, 106:20 belonged 96:19 below 37:6, 37:11, 88:3 bench 112:1 benefits 32:19, 52:12, 92:14 besides 15:12 best 70:4 better 5:21, 33:1, 53:2 between 17:22, 34:1, 53:9, 57:2, 88:14, 94:1, 116:18 bicep 43:16, 43:17 big 43:17, 53:7,	61:19, 76:6, 90:20, 113:22, 118:4 biggest 40:5 biomarker 92:7 biopsy 6:21 bl 54:5, 54:8, 54:10 blanket 72:2 blood 28:17, 28:21, 29:3, 29:4, 29:5, 29:9, 30:3, 38:6, 38:9, 38:12, 38:14, 40:12, 40:22, 41:11, 41:16, 41:21, 42:3, 42:5, 42:22, 43:2, 43:4, 54:21, 57:1, 57:8, 57:12, 57:13, 58:4, 58:9, 60:9, 61:12, 61:17, 62:5, 63:3, 76:6, 88:3, 112:21, 112:22, 113:9, 115:7, 115:14, 115:19, 115:22, 116:1, 116:4, 116:14, 116:15 blood-brain 40:17 blown 54:16 blows 116:16 blue 115:18, 116:16 board 23:4, 23:8,	92:13, 92:20, 102:4, 102:10, 102:20 board-approved 110:11 boards 23:10, 80:1, 102:12 bodies 79:20 body 7:6, 41:20, 50:22, 51:5 boil 48:21, 75:16 bone 68:21 bones 68:8, 68:9 borrow 85:15 bottom 44:12 brain 6:19, 10:4, 19:1, 19:6, 20:21, 22:18, 31:2, 34:15, 35:3, 35:20, 36:4, 36:21, 37:6, 38:20, 38:21, 39:6, 40:2, 40:8, 40:18, 41:3, 42:12, 44:20, 50:12, 55:9, 56:16, 57:13, 57:16, 61:19, 62:20, 65:6, 65:10, 67:19, 68:7, 68:15, 68:22, 69:7, 69:14, 70:6, 70:13, 70:18, 70:21, 70:22, 74:16, 74:19, 88:2, 95:13, 113:16, 113:19,
--	---	--	---

113:22, 114:14 branches 39:12 break 6:10, 6:11, 45:15, 45:21, 93:12, 93:19 brief 47:6 briefly 94:5, 94:12 bright 41:5 bring 103:18 bringing 39:18 broaden 19:4 brought 10:13, 51:6, 51:7 burn 41:17 business 85:9	camp 46:5, 46:6 can't 7:13, 52:20, 54:15, 67:9, 116:11 cancer 22:6, 22:7, 22:9, 22:18, 72:20, 72:21, 95:5, 95:7, 95:16, 97:21, 98:6, 100:14, 102:5, 103:11, 108:22 cancers 96:8 cannot 70:11 capillary 39:5 cardiac 35:2 cardiology 53:11, 53:14 care 9:6, 49:10, 75:6, 80:13, 85:20 carney 5:6 carotid 28:9, 30:22 case 7:9, 8:4, 10:12, 10:22, 11:12, 14:6, 19:12, 19:22, 20:8, 22:21, 35:14, 53:12, 64:14, 70:20, 81:5, 84:16, 86:3, 90:1, 93:4, 123:10 cases 8:22, 9:4, 9:10, 10:1, 10:3, 11:16,	21:2, 24:14, 73:9, 90:3, 101:1 categorization 75:7 cause 42:19, 102:14 cbf 57:1, 59:1, 59:21, 61:6 ceiling 57:18 center 72:20, 72:21, 87:7, 95:5, 102:5, 103:11 centimeter 66:4 centimeters 65:8 central 20:21 centrally 40:7 cerebral 17:22, 28:10, 30:3, 37:21, 38:6, 39:12, 39:22, 41:7, 41:11, 57:1, 57:8, 60:9, 60:13, 62:3, 62:6, 62:9, 62:19, 76:6, 118:22 certain 7:6, 18:1, 19:8, 41:16, 42:4, 42:5, 48:10, 52:21, 70:11, 72:4, 88:3, 111:2, 115:8 certainly 47:10 certificate 23:5, 23:7, 123:1	certified 23:4 certify 123:4 cetera 46:10 chair 73:6 challenging 114:11 change 27:5, 48:20, 94:13 changed 32:7, 66:18 changes 93:21, 118:3 chapter 44:18, 77:16 chapters 44:18 characteristics 90:5 characterize 110:6, 110:8, 110:18 charge 7:22, 51:14 charging 7:17 chart 104:17 chase 3:5, 8:7 chat 46:1 chatted 93:20 check 78:6, 79:17 checked 77:12, 91:19 checkmark 76:20, 77:3 chief 51:13, 100:3 children 32:11, 85:18
<hr/> C <hr/>			
calculate 57:1, 59:1 california 23:15, 72:17 call 13:21, 14:17, 19:18, 25:3, 56:13, 58:5, 69:1, 69:2, 89:1, 117:7 called 24:10, 28:3 calls 73:8 calvarium 69:3 came 61:15, 70:7 camera 49:19			

choices 89:8	clearances 27:19	97:20, 98:4, 98:10, 98:21, 99:4, 99:15, 100:20, 104:3, 106:4, 106:20, 108:12, 110:2, 111:22, 112:3, 117:11, 117:18, 118:6, 118:9, 118:16, 119:7, 120:1, 120:6, 120:10, 120:14, 120:18	coming 9:18, 25:7, 39:10, 39:17
choose 33:21	clinical 19:8, 19:9, 24:4, 24:14, 33:5, 48:22, 80:13, 85:2, 90:5, 91:18, 92:1, 92:11, 109:9, 114:11	cognitively 21:18, 21:22	comment 75:4, 82:3
choroid 40:13, 40:14, 40:16, 41:4	clinically 43:9	collaboration 53:9	commentary 76:3
choroidal 63:12	clipped 14:9, 14:14	colleagues 72:22, 112:5, 114:12	comments 17:3
chose 23:13, 35:9, 76:8	clips 14:8	color 38:2, 38:5, 55:3, 114:3, 115:11, 115:15, 116:15, 116:17	commission 123:21
chosen 79:6	close 29:7, 65:7	coloration 89:11, 115:6	committee 7:10, 8:1
circuit 1:4, 3:3, 112:12	closely 53:13, 73:3	colorectal 73:16	common 32:6, 41:5, 43:8, 68:6
circulation 30:20, 31:6, 54:20, 54:22, 91:16	closer 37:7	colors 115:2, 115:17	commonly 89:17, 92:22
circumstance 75:19	coauthor 21:17	columbia 2:12, 123:19	commonplace 95:17
circumstances 75:17	coauthors 96:22, 97:1, 119:8, 119:17	column 54:6, 55:8	communication 24:12
cite 28:10	code 27:22, 28:1, 32:2, 107:13	columns 114:4	companies 85:9
cited 83:13	cognitive 4:21, 10:2, 10:5, 10:8, 10:15, 10:16, 18:4, 22:2, 22:12, 22:19, 35:12, 35:13, 41:8, 52:10, 70:15, 79:9, 80:12, 81:12, 81:21, 82:9, 82:12, 82:13, 86:4, 86:7, 86:10, 86:12, 95:6, 95:12, 95:18, 95:21, 96:6, 96:10, 97:5, 97:19,	combination 39:8	company 33:16
civil 3:15, 3:21		combined 64:15	comparative 72:3
clarification 47:19		come 38:21, 108:2	compare 28:21, 116:11
clarify 6:7, 9:2, 46:11, 55:13, 60:5		comes 38:21, 39:3, 92:18	complaint 1:8
clarity 5:22		comfort 52:18, 53:5	complaints 98:19
clavicles 95:16			complete 7:14, 122:5
clear 59:4, 64:11, 83:12			completely 51:2, 59:4, 76:12, 110:5, 110:8, 110:17
clearance 27:17, 27:18, 28:3, 28:14, 28:15			complex 73:9
			complicated 57:22, 73:1, 93:6
			component 38:16
			comports 49:4

comprise 62:22 comprised 64:3 computed 25:3, 78:13, 78:14 computer 16:21, 24:18, 54:12 concentration 37:20 concern 30:18 concerning 27:6, 46:2 concise 104:2 conclude 61:16 conclusion 35:15, 38:1, 81:22, 82:1, 82:6, 82:7, 86:2, 98:22, 110:15 conclusions 25:8, 98:13, 104:13, 109:17, 110:1 conditions 84:7 confer 112:5 conferences 75:9 confused 66:11, 67:5 consent 83:1, 92:11 consider 21:17, 66:21, 67:17, 110:12 considered 80:12, 82:14, 102:17, 103:21, 108:6 considers 63:15	consist 6:17 consists 27:12 consultation 24:11 consulting 9:12 consuming 103:21 contact 75:6 contacted 8:3 context 74:12, 75:1, 114:11 continue 111:22 contrast 19:6, 32:9, 32:10, 50:8, 69:12, 70:13, 78:9, 92:8 control 33:13, 37:1, 41:4, 79:13 controlled 80:5 conversation 46:7 conversations 99:12 copies 11:21 copy 12:2, 12:3, 12:8, 12:13, 45:13, 94:3, 94:11 coregistered 88:14 corner 83:10 correct 8:15, 23:17, 23:20, 25:13, 25:19, 31:15,	31:19, 32:21, 34:12, 46:19, 49:1, 49:2, 56:7, 83:14, 83:17, 85:20, 86:5, 97:5, 97:8, 97:21, 98:11, 98:12, 98:16, 104:5, 104:8, 104:13, 115:16, 118:11, 119:9, 122:5, 123:5 corrections 122:6 correctly 79:9, 118:14 corroborate 47:7 cosmetic 27:21 cost 85:3, 103:17 cost-benefit 85:17 costs 85:4 could 5:8, 12:6, 22:12, 38:13, 38:16, 40:15, 41:22, 42:8, 42:10, 43:17, 51:19, 58:5, 62:16, 63:2, 63:3, 63:4, 64:3, 64:4, 65:21, 70:3, 76:16, 85:2, 87:3, 90:16, 104:16, 108:17, 109:4, 110:12, 112:15, 113:12, 113:20, 117:2, 117:16, 118:20, 118:22 couldn't 99:16	council 1:2, 3:2, 7:11, 112:12 counsel 5:6, 83:12, 87:3, 112:11, 123:8 couple 62:2, 62:7, 68:11, 115:3 course 70:16, 84:18, 101:3, 107:6 courses 88:10 court 1:3, 10:18, 47:2, 47:4 create 56:22, 64:16 creates 57:8 credentialing 79:20 criticism 35:19 cross-sectional 96:9 cta 82:2, 91:9 ctp 27:13 cts 49:7 curriculum 12:16 curved 36:3 cut 108:3 <hr/> D <hr/> dangerous 74:15 darker 116:16 dash 56:22, 63:13,
---	---	--	---

96:12, 98:20 data 18:10, 18:12, 18:15, 18:20, 19:20, 20:4, 20:9, 20:17, 24:22, 27:11, 29:22, 30:11, 35:1, 54:17, 56:15, 58:9, 58:11, 58:17, 64:2, 64:12, 66:17, 68:7, 73:5, 76:12, 79:6, 84:17, 86:11, 89:15, 91:12, 93:3 data's 65:12, 65:13, 91:10 date 9:19, 78:18, 96:4, 101:21, 106:12, 122:11 dated 25:13, 25:18 daubert 11:8 day 24:4, 24:13, 26:3, 41:15, 123:13 deal 76:7 debunking 83:1 decade 66:18 decide 107:8 decided 32:13 decision 85:10 decisions 73:22, 74:13, 87:20 decline 96:1, 99:15,	111:22 deep 62:19 deeper 40:6 defendant 11:11 defense 11:15 defibrillators 51:22 deficit 35:1, 118:16 deficits 31:6 define 64:8 degree 10:8, 20:6, 41:11, 41:16, 42:3, 101:3, 118:21 deliver 7:5, 120:1 delivered 104:4 delivers 41:21 dementia 18:1, 48:13 demonstrate 28:18, 29:13 demonstrated 18:3, 35:12 density 88:17, 88:21, 91:5 department 74:13, 96:20 depending 75:18 depends 75:16 deponent 122:1 deposed 8:14, 11:5, 46:14	deposition 1:11, 2:1, 5:2, 5:14, 7:15, 7:18, 7:21, 8:13, 9:7, 9:16, 9:18, 13:7, 14:11, 14:19, 15:4, 46:9, 46:16, 82:17, 94:15, 94:20, 101:15, 105:11, 123:3 derived 91:4 describe 64:10 described 97:22 describes 101:8 design 101:8, 102:1, 102:3 designated 7:9 designates 59:21, 61:6 designed 101:9, 104:4 detail 114:14 details 59:18 detectible 110:1 detection 34:19, 50:10, 50:18 determinant 22:17 determination 53:13 determinations 53:15 determine 74:14, 114:19 determined 118:10	determining 117:17 device 28:2, 28:4, 28:13, 28:17, 28:20, 28:21, 29:3, 29:5, 29:8, 29:12, 31:14, 33:14, 49:11, 49:12, 49:18 devices 32:4, 33:16, 80:3, 84:1, 92:4 diabetes 33:19 diagnose 10:15, 33:19, 33:21, 49:7, 93:8, 120:12, 120:20 diagnosis 10:8, 24:2, 33:5, 33:22, 52:14, 85:1, 100:12, 120:18 diagnostic 6:18, 21:3, 23:4, 23:9, 23:11, 55:15, 68:3, 69:17, 70:5, 70:21, 81:3, 82:3, 86:3, 91:3, 96:20, 99:15, 102:16, 103:7, 108:6, 109:6, 118:1, 120:16 diagnostically 91:11 diathesis 100:21 differences 57:2 different 22:7, 26:11, 27:19, 27:22, 28:1, 29:10,
---	---	---	--

52:19, 53:5, 59:12, 60:12, 60:17, 60:18, 71:22, 76:3, 76:8, 76:9, 76:12, 78:8, 119:18, 119:19 differentiation 69:6 diffusion 44:19, 91:5 direct 56:13, 75:5 directly 24:7, 74:6 disagree 45:2, 48:10, 52:13, 84:4 discovery 85:6 discuss 42:11 discussed 15:16, 27:10, 29:18, 48:16, 86:8, 92:19, 93:22, 116:14 discussing 27:14, 56:7, 61:8, 114:22 discussion 13:11, 13:18, 118:18, 119:1 disease 70:17, 100:15 disfunction 117:11 distal 30:22 distinction 49:14, 49:15 distributed 115:17 district 2:12, 123:19 doctor 5:8, 14:22, 26:11, 45:21,	46:19, 79:17, 87:11, 93:18, 95:1, 110:14, 116:12 doctor-patient 52:5 doctors 32:16, 52:11 document 12:4, 12:10, 95:1, 105:15, 106:3 documented 17:4 documents 13:8, 14:22, 15:11, 82:5, 90:14 doing 33:2, 42:17, 74:1, 85:13, 102:7, 104:13, 110:12, 117:9 dolin 3:14, 47:16 dollar 91:1 dollars 85:3, 85:7 done 5:19, 11:2, 20:17, 58:6, 59:5, 59:13, 64:14, 67:10, 70:22, 71:6, 71:7, 72:5, 72:7, 80:16, 98:11, 99:3, 105:6, 112:6, 121:4 dose 68:17, 69:15, 95:15 down 24:1, 48:21, 54:6, 55:14, 62:12, 75:16, 96:13, 96:15,	113:14, 115:18 downward 57:18 dr 4:14, 4:15, 4:16, 12:10, 13:9, 13:21, 16:7, 17:20, 24:18, 25:9, 25:13, 25:18, 27:3, 27:5, 35:15, 35:19, 35:21, 36:12, 37:5, 44:3, 44:5, 45:2, 47:21, 48:11, 56:5, 70:7, 71:17, 72:7, 83:16, 100:10, 111:11, 112:15, 112:16, 112:18, 113:13, 115:4 drain 61:11 drainage 39:2, 43:18 drained 39:7 draining 38:15, 38:16, 40:6 draw 49:14 drive 3:16 drug 27:16, 27:20, 28:1, 28:11, 28:18, 29:2, 33:20, 51:3, 82:21, 85:6, 92:17 drugs 7:5, 80:2, 84:1, 84:19 duly 5:5 during 45:21, 93:19	duties 71:15 duty 73:11 dynamic 78:9, 88:10, 92:8 dysfunction 18:4, 70:15, 80:12, 86:4, 86:13, 97:5, 97:18, 97:20, 98:5, 98:21, 100:20, 118:7 <hr/> E <hr/> e-mail 8:5 each 36:20, 68:10, 75:6, 92:5 ear 73:17 earlier 12:9, 27:14, 29:18, 49:13, 64:17, 82:3, 91:9, 91:20, 105:17, 114:6, 114:22 easily 85:2 edited 26:19 edition 44:17 editor 44:14, 44:15 effect 7:7, 20:5 effects 22:12 efficacy 84:21 eight 13:3, 22:4, 95:9, 101:12, 101:18
--	--	---	--

eighth 44:17 either 18:4, 18:22, 19:5, 19:18, 26:6, 66:21, 79:14, 81:19, 83:3, 89:19 electrophysiology 53:10, 53:14 elevated 41:10 elevation 42:5, 43:5 elizabeth 83:2 else 16:5, 21:8, 30:10 elsevier 44:17 emergency 74:13, 90:19 employed 123:9 enable 69:17 end 69:16, 104:10, 104:11 endovascular 74:5, 87:14, 90:21 ends 24:8, 69:21 energy 69:7, 69:10 engage 20:7 engaged 20:12, 20:20 enhancement 78:9, 92:9 enough 22:14, 29:7, 51:10, 52:15, 53:12, 69:3, 95:17, 109:1	enrolled 92:11 enter 94:17 entirety 15:19 entities 50:15 entitled 82:22, 93:7 epileptiform 42:20, 43:9 equates 73:13 equivalent 29:6 errata 122:7 esquire 3:4, 3:5, 3:13, 3:14 established 79:12 estimate 62:16 estimation 65:9 et 4:19, 46:10 european 77:9 evaluate 24:17, 86:9 evaluated 117:12 evaluation 17:13, 20:16, 20:19, 79:8, 82:9, 86:10, 99:20, 100:9, 111:7 even 11:17, 63:13, 73:2, 81:4, 81:18, 82:7, 101:18 event 47:9, 86:18	ever 8:16, 10:17, 11:7, 11:11, 32:3, 46:14, 81:19, 106:21, 113:4 every 24:4, 24:13, 33:4, 48:22 everyone 45:14 everything 21:11, 39:4, 116:18 evidence 30:1, 30:2 exacerbated 100:22 exactly 18:18, 18:19, 44:7, 46:11, 56:12, 118:14 examination 4:2, 5:6, 29:21, 50:12, 104:3, 106:19, 107:9, 112:11, 117:10 examinations 79:6, 106:18 examine 70:6 examined 52:3, 122:3 example 22:8, 27:21, 28:16, 28:22, 29:1, 32:6, 33:17, 38:6, 42:7, 42:14, 43:18, 51:17, 70:7, 72:6, 72:7, 73:7, 73:8, 73:19, 74:8, 74:18, 80:1, 89:9, 93:2, 99:21, 103:14	examples 50:3, 84:7 exclude 33:22, 80:11, 113:21, 120:12, 120:18 excluded 10:17 excuse 21:1, 21:19, 50:20, 52:20, 58:17, 61:12, 65:8, 71:4, 90:21, 94:6 executive 44:10 exhibit 4:14, 4:15, 4:16, 4:18, 4:19, 4:20, 5:2, 11:19, 14:3, 14:4, 14:10, 14:11, 14:17, 14:19, 27:4, 44:3, 44:5, 44:9, 44:19, 45:11, 47:20, 53:18, 55:21, 60:8, 60:11, 71:17, 75:20, 76:20, 81:9, 82:16, 82:17, 93:11, 94:18, 94:19, 94:20, 104:17, 105:5, 105:7, 105:10, 105:11, 105:15, 109:17, 109:18, 109:19, 112:15, 119:4, 119:5, 119:6 expect 42:3, 49:20, 75:11 expected 43:4, 58:1 expecting 19:16, 65:2
---	---	--	--

expense 85:12 expensive 85:1, 103:22 experienced 111:21 expert 7:9, 8:14, 8:17, 9:12, 10:18, 10:21, 13:2, 20:8, 21:5, 46:9, 72:9, 94:6 expertise 10:12, 47:13, 47:16, 74:4 expires 123:21 explain 26:5, 117:22 explained 76:11 explanation 117:11, 118:15 explanations 70:14 extensive 72:7, 84:9 extent 15:6 eye 46:5	falls 88:3 false 56:19 familiar 88:20, 106:15 family 119:2 fancy 67:7 far 20:20, 22:22, 59:10, 61:10, 66:9, 100:2 fashion 58:19 fault 25:17 fda 12:9, 15:14, 28:3, 29:7, 31:7, 31:13, 31:17, 31:22, 33:4, 33:8, 34:1, 34:3, 48:11, 48:16, 48:20, 48:22, 49:9, 79:3, 79:7, 79:12, 82:22, 84:22, 92:4, 102:11 fda-approved 32:4, 32:8, 32:10 feature 22:2, 22:20 features 22:4, 91:5 federal 1:4, 3:3, 31:18, 112:12 feed 38:20 feeding 31:1, 39:21 feeds 62:6 feel 15:7, 52:22, 67:1	fellow 26:15, 52:17, 53:1 fellows 41:2 fellowship 23:6, 23:11, 26:14 fellowships 72:6 felt 111:3 few 40:18, 62:22, 112:14 field 6:15, 52:18, 63:15 fields 75:7 fifth 99:21 figure 37:5, 37:15, 37:22, 56:6, 59:21, 60:1, 60:2, 60:8, 60:11, 60:16, 61:4 figures 57:15 filed 12:9 filing 94:2 filler 4:17, 12:10, 13:9, 14:3, 14:18, 15:12, 15:22, 16:1, 16:7, 17:20, 21:7, 24:18, 25:9, 25:13, 35:15, 35:21, 37:5, 45:2, 48:11, 53:18, 72:7, 111:13, 112:18	filler's 4:15, 13:21, 15:21, 27:3, 27:5, 35:19, 36:12, 44:3, 44:5, 47:21, 55:21, 56:5, 71:17, 83:16, 111:11, 112:16, 113:13, 115:4 final 9:19, 26:20, 53:13, 75:4, 96:15 finally 26:21, 88:17 financial 123:10 find 16:12, 72:12, 72:13, 73:15, 86:20 finding 41:6, 50:5 findings 29:21 fine 77:18, 78:20 finish 5:22, 6:11, 39:15 finished 39:14 first 8:3, 10:21, 14:3, 18:11, 20:7, 27:10, 35:20, 53:18, 58:8, 60:11, 60:14, 61:5, 61:9, 63:22, 77:8, 102:3, 104:17, 106:3, 106:8, 106:10, 110:21, 113:17, 115:4, 119:14 fissure 37:14, 39:12
F			
f1 71:2, 71:6 fact 31:17, 33:4, 41:10, 47:9, 96:19 fades 6:4 failure 9:5 fair 119:13, 119:18 fairfax 3:16	falls 88:3 false 56:19 familiar 88:20, 106:15 family 119:2 fancy 67:7 far 20:20, 22:22, 59:10, 61:10, 66:9, 100:2 fashion 58:19 fault 25:17 fda 12:9, 15:14, 28:3, 29:7, 31:7, 31:13, 31:17, 31:22, 33:4, 33:8, 34:1, 34:3, 48:11, 48:16, 48:20, 48:22, 49:9, 79:3, 79:7, 79:12, 82:22, 84:22, 92:4, 102:11 fda-approved 32:4, 32:8, 32:10 feature 22:2, 22:20 features 22:4, 91:5 federal 1:4, 3:3, 31:18, 112:12 feed 38:20 feeding 31:1, 39:21 feeds 62:6 feel 15:7, 52:22, 67:1	fellow 26:15, 52:17, 53:1 fellows 41:2 fellowship 23:6, 23:11, 26:14 fellowships 72:6 felt 111:3 few 40:18, 62:22, 112:14 field 6:15, 52:18, 63:15 fields 75:7 fifth 99:21 figure 37:5, 37:15, 37:22, 56:6, 59:21, 60:1, 60:2, 60:8, 60:11, 60:16, 61:4 figures 57:15 filed 12:9 filing 94:2 filler 4:17, 12:10, 13:9, 14:3, 14:18, 15:12, 15:22, 16:1, 16:7, 17:20, 21:7, 24:18, 25:9, 25:13, 35:15, 35:21, 37:5, 45:2, 48:11, 53:18, 72:7, 111:13, 112:18	filler's 4:15, 13:21, 15:21, 27:3, 27:5, 35:19, 36:12, 44:3, 44:5, 47:21, 55:21, 56:5, 71:17, 83:16, 111:11, 112:16, 113:13, 115:4 final 9:19, 26:20, 53:13, 75:4, 96:15 finally 26:21, 88:17 financial 123:10 find 16:12, 72:12, 72:13, 73:15, 86:20 finding 41:6, 50:5 findings 29:21 fine 77:18, 78:20 finish 5:22, 6:11, 39:15 finished 39:14 first 8:3, 10:21, 14:3, 18:11, 20:7, 27:10, 35:20, 53:18, 58:8, 60:11, 60:14, 61:5, 61:9, 63:22, 77:8, 102:3, 104:17, 106:3, 106:8, 106:10, 110:21, 113:17, 115:4, 119:14 fissure 37:14, 39:12

fissures 36:17 fit 111:22 five 58:3, 66:3, 91:1, 96:3 flood 116:16 floors 61:14 flow 30:3, 38:6, 38:9, 38:12, 38:14, 38:17, 40:12, 40:22, 41:11, 41:16, 41:21, 42:3, 42:5, 42:22, 43:2, 43:4, 54:21, 57:1, 57:8, 57:12, 57:13, 58:4, 58:9, 59:10, 60:9, 61:12, 61:17, 62:5, 63:3, 63:5, 63:12, 76:6, 88:4, 112:21, 112:22, 113:9, 115:7, 115:14, 115:19, 115:22, 116:1, 116:4, 116:14 flows 58:21, 116:15 focal 112:21, 112:22 focus 34:19, 35:9, 74:18, 84:10 focusing 23:14 folks 83:3 follow 73:14 follow-up 108:15, 109:13,	121:2 following 87:2, 87:7, 96:7 follows 5:5 food 27:16, 27:20, 28:11, 28:18, 29:2, 33:20, 51:3, 82:21, 92:17 football 46:5, 46:6 footnote 96:14 foregoing 122:4, 123:3, 123:4 foreign 50:22, 51:5 forgot 94:4 form 111:17 formal 26:1, 100:11, 101:2, 101:21, 103:18, 103:20, 108:20, 109:6 formalize 109:9 forth 50:15 forty-five 46:18 found 104:21 four 17:11, 38:19, 38:22, 97:1, 114:4 francisco 23:15, 72:17 free 15:7 friday 1:13	front 12:4, 14:6, 112:19, 115:4 frontal 116:17 full 5:8, 73:13, 79:4, 106:9 fully 72:11, 104:11 function 10:2, 10:5, 10:9, 35:3, 41:8, 52:10, 54:14, 57:3, 57:4, 79:9, 86:10, 86:12, 91:14, 95:6, 96:6, 99:4, 104:3, 112:3 functions 36:22 further 38:13, 50:16, 58:12, 89:12, 99:19, 100:9, 110:7, 111:3, 112:9, 121:1 furthermore 35:13, 50:11 fusion 84:11 <hr/> G <hr/> gadolinium 32:8, 32:10, 49:4, 49:5, 49:13 ganglia 36:21, 62:10, 62:14, 62:21 gave 45:13 geared 84:20 general 5:17, 23:12, 29:11, 51:16,	52:17, 72:14, 90:13, 92:2, 95:19, 106:16 generalizing 84:18 generated 91:3 generates 20:1 generically 19:20, 90:15 gentlemen 11:21 george 12:11, 18:19, 25:1, 25:21, 75:12, 81:3, 89:21, 89:22 germane 94:9 getting 42:15 give 14:4, 22:14, 24:11, 51:3, 51:11, 62:8, 70:2 given 28:15, 30:18, 53:6, 77:9, 81:6, 81:13, 92:10, 94:5, 106:21, 122:6, 123:5 giving 101:10 gladly 53:1 glioma 78:11 go 5:17, 9:7, 27:8, 28:2, 31:10, 34:9, 34:10, 36:7, 39:14, 44:9, 44:12, 47:1, 47:20, 50:7,
--	--	--	--

50:22, 55:20, 55:21, 56:1, 58:20, 60:7, 62:15, 69:18, 71:7, 73:9, 85:6, 85:12, 90:4, 101:17, 104:12, 111:3, 113:12, 119:4, 119:17 goal 22:14 goes 39:4, 66:11, 67:1, 82:2 going 5:18, 11:6, 25:2, 38:15, 39:19, 39:20, 43:20, 47:4, 48:9, 50:13, 55:14, 58:4, 62:5, 70:2, 74:15, 75:13, 82:15, 100:19, 102:14, 102:15, 102:21, 102:22, 103:1, 103:3, 105:4, 112:4, 114:3 good 25:6, 31:5, 41:14, 42:15, 50:5, 51:1, 69:5, 69:6, 69:13, 73:20, 74:10, 75:2, 82:12 governs 102:11 gradient 57:18 gram 40:21 grant 76:22, 77:2, 77:7 grants 91:18, 92:1	graph 105:2 gray 43:22, 62:19, 113:14 gray-white 69:5 great 53:15, 69:12, 108:21 gregory 3:14 gross 55:8, 55:19, 113:15, 114:8, 114:10, 114:13 group 100:10, 116:2, 119:15 guess 37:3, 76:13, 81:6, 90:22, 115:18 guessing 119:11 guidance 7:3 gym 43:20 <hr/> H <hr/> half 64:19, 66:3 hand 123:13 handed 82:20 hang 31:12 hansen 4:19 happen 100:8 happened 65:12 happening 109:8 hard 75:14	harrington 3:5 harvard 23:12 head 6:19, 6:22, 16:11, 22:8, 22:18, 37:7, 50:18, 73:21, 99:5, 100:14, 103:10 health 35:13, 43:15, 43:22, 49:10 hear 68:10 heard 9:20 hearing 11:8, 12:19 held 2:1 help 68:10, 73:4, 108:16, 117:22, 118:22, 120:16 helpful 42:16 helping 73:5 hematology 94:7 hematomas 118:4 hemorrhage 49:21, 50:1, 50:2, 114:1 hemorrhages 49:8 here 12:3, 14:8, 14:17, 17:4, 27:4, 37:3, 39:9, 43:21, 50:3, 50:15, 55:5, 56:5, 56:7, 58:7, 59:5, 59:13,	59:20, 62:18, 73:10, 75:12, 75:14, 83:7, 94:2, 94:5, 96:13, 96:15, 99:1, 99:6, 102:2, 104:1, 110:1 hereby 122:2, 123:3 hereunto 123:12 hey 24:10, 33:18, 55:2, 89:2 high 63:13, 64:4, 66:20, 66:22, 67:4, 67:7, 67:15, 67:17, 67:19, 68:5, 68:12, 103:10, 108:11, 112:21, 112:22, 115:18 high-grade 78:10 high-rated 73:3 high-resolution 63:17, 64:1, 66:12, 66:15, 67:2, 68:6, 68:14, 69:14 higher 37:7, 69:10, 74:3, 95:15 highest 58:4, 58:13, 115:14, 116:14 highlighting 17:6 highly 40:19, 50:9 himself 26:6 hippocampal 59:22, 61:7, 63:2, 63:12,
---	---	--	---

63:16, 112:21, 113:1 hippocampi 35:22, 36:2, 36:3, 37:8, 37:11, 38:10, 45:8, 59:11, 113:2 hippocampus 38:12, 38:14, 40:16, 41:12, 42:17, 42:21, 43:4, 58:22, 59:6, 59:12, 61:18, 62:1, 62:7, 62:21, 63:18, 67:3, 76:7, 112:18, 113:7, 113:9 hire 89:2 histories 91:19, 92:1 hit 46:5 hn 96:12, 98:20 home 107:11 hopkins 51:18 hospital 12:11, 13:17, 18:20, 23:12, 25:1, 53:7, 72:14, 72:15, 72:18, 75:13, 80:1, 90:1 hospital's 81:3 hour 7:21, 45:17 house 61:11, 63:4 houses 19:21 however 34:17, 56:15,	85:7 human 38:19 hundred 58:5, 65:7, 72:11, 97:9, 107:20 hundreds 65:21 hyper 42:4 hyperperfusion 43:22 hypothetical 70:2, 70:19, 117:1 hypothetically 70:2, 70:11 <hr/> I <hr/> i-rapid 27:12, 82:4, 89:2 idea 50:4, 66:14, 95:11, 95:19, 96:2, 108:16, 108:19 ideally 108:21 identification 5:3, 14:12, 14:20, 54:20, 82:18, 94:21, 105:12 identified 43:13, 63:18, 67:3 identify 18:3, 50:9 image 7:3, 37:4, 37:6, 37:18, 54:11, 56:11, 63:17, 67:2, 67:5, 76:9, 88:15, 112:19, 112:20, 113:5,	113:14, 113:17, 115:5, 115:22, 116:1 images 13:12, 13:13, 13:17, 19:2, 19:18, 20:2, 24:20, 26:22, 27:12, 29:17, 29:20, 34:11, 34:13, 34:14, 34:18, 35:14, 37:19, 38:4, 55:16, 57:14, 65:21, 68:3, 71:1, 74:2, 76:5, 90:8, 91:2, 91:6, 91:10, 113:14, 114:8, 114:17, 114:22 imaging 6:18, 17:12, 19:19, 21:2, 21:3, 30:9, 41:3, 42:8, 42:9, 42:10, 44:19, 49:18, 64:1, 67:18, 68:7, 68:15, 69:6, 69:14, 72:8, 73:21, 74:4, 75:3, 76:15, 77:1, 77:2, 77:7, 77:11, 78:10, 89:15, 90:7, 90:15, 92:7, 120:16 immediately 49:8 impact 22:16 impaired 21:18, 21:22 impairment 10:15, 10:16, 22:2, 22:13,	22:19, 35:13, 86:7, 95:18, 106:20, 108:12, 110:2, 117:18, 118:6, 118:9, 120:10, 120:13, 120:15, 120:18 implication 110:21 imply 110:6 implying 111:8 important 12:19, 40:6, 84:2 incidence 95:20, 95:21 include 58:21, 84:9, 85:3, 120:17 included 15:19, 37:5, 57:7 includes 59:22, 61:6, 61:12, 61:13 including 36:22, 44:18, 62:9, 62:13, 62:19, 62:20, 72:13, 89:8 increase 68:16, 68:17, 68:18 increased 30:3, 43:2 increases 42:22 indicate 115:6 indicating 17:21 indication 27:17, 28:16, 31:7, 81:4, 81:6, 81:11, 81:21, 84:22,
---	---	--	--

85:8 indications 33:15 individual 21:18, 50:21, 67:3, 89:1, 92:15, 93:9, 115:14, 115:19, 116:1, 116:2, 116:8, 116:13 individual's 115:12 individually 63:18 individuals 18:3, 26:16, 41:6, 52:21, 57:16, 116:2, 120:20 infarction 30:2, 30:8, 30:19, 34:20, 54:21, 59:9, 87:22, 91:15, 114:1, 118:2 infarctions 31:5 infection 41:18 inferences 85:16 inflow 116:20 information 52:15, 81:13, 91:4, 102:22, 118:19 informed 83:1, 92:11 inherent 64:9, 64:10 inherently 56:18 initial 9:21, 63:14, 111:12, 113:13 initiated 26:10	initiating 32:17 injected 19:7 injury 10:4, 34:15, 74:19, 92:9 input 54:13, 57:3 instance 63:11, 66:17, 79:16 instances 85:22 institute 32:11 institution 73:3 institutional 92:13, 92:19, 102:4, 102:9, 102:12, 102:20, 110:10 institutions 32:12, 74:9, 75:11, 79:19 instructs 6:5 intended 30:12, 34:18, 54:16, 54:19, 55:15, 58:18, 63:17, 67:2, 91:11, 93:5 intensity 88:9, 88:12 intent 93:8, 109:3 intention 111:4, 120:19 interaction 100:21 interest 59:1, 123:10 interested 78:2 interesting 39:1, 40:14,	41:1, 47:3, 75:7 interestingly 40:16 intern 107:2 internal 28:9, 30:22, 41:4, 94:8 interpret 65:17, 72:12 interpretation 25:17, 26:1 interpretations 69:18, 102:16 interpreting 81:7, 81:15, 120:15 interrupt 6:1, 67:12 interstate 61:15 interval 108:22 intervention 30:17, 74:16, 109:6 interventions 6:20, 7:1 interview 4:20, 95:11, 96:10, 97:7, 98:10, 99:3, 106:4, 119:7, 120:1, 120:5 intracranial 28:9, 50:10, 56:14 invented 44:20 inventory-head 96:11 inverse 111:8, 111:9 investigate 33:6 involve 84:8 involved 10:2, 23:2,	36:6, 36:22, 74:6, 77:1, 77:2, 77:7, 84:8, 95:4, 120:15 involvement 9:21 involving 22:3, 22:22 iodine 37:20, 38:20, 39:9, 39:18 iodine's 39:19 iphones 49:17 ipsilateral 38:7 irradiated 97:21, 98:5 irritate 41:17, 42:16 irritating 42:21 ischemaview 27:13 ischemic 70:17, 118:3 issue 35:2, 35:3, 66:9, 68:20, 99:17 issues 69:22, 70:9 it'd 107:6 it'll 53:20 itself 39:6, 58:22, 64:13, 91:12 <hr/> J <hr/> james 82:22, 83:2 january 8:5, 77:20 january-february 78:19
--	---	--	---

jason 1:11, 2:1, 4:2, 5:4, 5:10, 33:18, 96:14, 122:2 jcat 77:22, 78:13 jeff 99:20 jim 86:17 job 1:20 jogs 19:3 john 3:13 johnson 1:11, 2:1, 4:2, 4:14, 5:4, 5:10, 60:3, 96:14, 112:15, 122:2 journal 78:13, 82:21 jpeg 26:22, 27:12, 54:2, 54:12 jpeggs 29:17 judge 3:12, 7:11, 13:14, 13:16, 34:15, 51:8, 52:3, 70:3, 86:4, 111:21 judge's 94:3 judgment 51:11 judicial 1:2, 3:2, 7:10, 112:12 june 123:14 <hr/> K <hr/> k 27:17, 27:18,	28:3, 32:5, 86:19, 86:21 karen 1:22, 2:11, 123:2 keep 65:4, 68:18, 107:21 key 17:7 keys 99:9 kiloelectron 69:11 kind 9:4 kinds 71:22 know 5:20, 18:19, 24:9, 26:16, 28:22, 29:2, 31:20, 31:21, 43:19, 45:8, 53:11, 53:17, 76:5, 81:12, 81:18, 83:3, 84:6, 84:11, 88:5, 95:13, 96:2, 99:2, 100:3, 102:1, 102:2, 102:13, 103:2, 103:13, 108:18, 109:14, 109:15, 112:18 knowledge 33:8, 54:9, 56:8, 80:18 known 28:8, 28:21, 31:8, 120:14 <hr/> L <hr/> label 82:16, 84:15, 113:5, 113:6 labeled 88:14, 105:14,	105:21, 112:20 labels 76:5, 76:6 lack 10:15, 20:4, 38:5, 54:21 large 37:21, 39:3, 39:11, 56:16, 88:17, 88:21, 97:7 largely 30:15, 47:7, 84:20 larger 31:1 largest 40:1 last 34:2, 37:3, 49:6 late 8:5 later 46:6, 78:7 lateral 36:4, 36:5, 40:13 law 2:3, 3:6, 31:18, 82:21, 102:11 lawsuit 46:4 layperson's 30:5 lead 35:14, 42:21, 43:1, 43:17 leading 116:9 leads 61:11 learned 16:2 least 26:3, 35:9, 55:8, 59:16,	64:4, 100:6, 109:6, 119:16, 119:17 led 34:17 left 93:12, 112:21, 113:14 left-hand 83:8, 83:10 legal 8:17 length 68:12 lenticulostriate 39:13 less 36:4 let's 19:13, 22:4, 24:3, 31:10, 32:15, 34:9, 34:10, 41:16, 41:17, 46:22, 47:19, 47:20, 55:20, 56:1, 56:21, 58:2, 58:20, 62:4, 65:7, 66:2, 70:1, 71:7, 77:8, 84:18, 86:14, 86:18, 105:14, 106:2, 119:16 level 18:2, 37:8, 37:12, 38:17, 52:18, 58:13, 68:19, 73:12, 74:4, 92:19 levels 53:5, 98:18 liberties 3:15, 3:21 lieu 17:6, 99:19 life 22:16, 22:17
---	---	---	--

likelihood 108:11	105:9, 105:14, 105:19, 106:2, 111:16, 112:15, 113:18, 115:3, 116:21, 117:10, 118:13	87:20	margins 64:11
likely 26:17, 35:2, 38:1, 70:12, 119:3	looked 13:9, 13:12, 16:1, 34:12, 94:4, 94:11, 95:6	major 22:17	mark 11:20, 14:2, 14:3, 14:10, 77:17
limitations 79:21	looking 13:17, 30:21, 31:5, 36:8, 48:20, 50:22, 68:8, 78:8, 85:2, 92:22, 102:20, 118:12	majority 9:5, 15:18, 39:2, 39:20, 68:21	marked 5:2, 11:18, 11:20, 14:11, 14:19, 82:17, 94:20, 105:11
limited 17:20	looks 29:8, 82:11, 82:12, 119:11, 119:12	make 5:22, 20:16, 24:2, 30:13, 46:8, 53:13, 53:15, 54:11, 54:15, 57:5, 62:16, 65:16, 69:17, 73:4, 82:11, 85:9, 85:16, 88:12, 89:8, 102:16	marker 43:22
limiting 114:7	lose 67:16	makes 33:16, 76:6	market 28:22, 89:4
line 26:8, 55:14, 99:22, 102:3, 119:14	lost 99:9	making 72:2, 73:22, 74:12, 81:14	marketed 29:10, 79:8
list 25:6, 33:4, 76:17, 84:9, 99:22	lot 40:22, 71:22, 75:13, 107:5	malpractice 9:3, 11:12	marketing 33:18, 79:13, 80:5
listed 119:8, 119:15	loud 44:14	man 45:7	mass 51:16, 52:17
literature 13:10, 16:6, 63:15, 85:15	low 103:14, 115:19	manageable 65:16	massachusetts 23:12, 72:14
little 75:5, 79:2, 83:21, 96:17	low-cost 108:17	manufacturers 33:14	match 116:1
live 22:10, 107:12	lower 69:7, 116:15	many 8:21, 13:1, 20:12, 20:17, 21:16, 32:11, 75:18, 84:2, 119:8	material 33:18
lobectomy 42:14	lowest 116:16	map 54:16, 60:9, 60:12, 60:13, 60:18	materials 101:5
local 92:12	lymphatic 43:18	maps 55:2, 59:7, 87:17, 88:20, 89:9, 89:18, 114:3, 116:19	math 107:20
long 10:21, 22:11, 81:19, 95:17	M	margin 36:5	mathematical 57:21
look 18:10, 20:15, 29:6, 30:16, 41:2, 43:1, 49:5, 51:4, 55:1, 56:21, 65:20, 74:20, 81:21, 83:20, 86:14, 86:18, 96:13, 96:15, 97:12, 101:5,	machine 49:19		mathematically 64:15, 65:15
	made 17:6, 20:2, 76:2, 76:14,		matter 7:11, 8:17, 11:19, 35:5, 44:1, 69:5, 116:17
			maybe 41:18, 52:1, 99:7, 103:15, 105:9
			md 51:13, 53:3, 72:19, 95:5,

<p>96:11, 96:22, 100:4, 101:2, 102:5, 102:9 mdasi 96:12, 98:19 mean 7:1, 9:3, 28:12, 30:5, 32:22, 41:13, 54:3, 54:8, 88:4, 95:8, 110:18, 115:21, 118:8 meaning 19:9, 29:17, 40:20, 43:11, 59:21, 74:12, 89:20 meaningful 69:17 means 33:1, 34:13, 63:11, 65:19, 96:16, 118:10 meant 114:2 measure 116:7 measured 41:22 measurement 65:10 measurements 88:13 measures 28:17, 28:20, 29:9 measuring 43:15 mechanism 41:20 mechanisms 41:21 med 107:1 medical 11:12, 23:12, 28:2, 28:4,</p>	<p>28:13, 28:17, 29:12, 33:14, 67:18, 72:4, 80:1, 84:1, 84:3, 84:7, 90:6, 90:15, 94:3, 94:6, 94:11 medicine 6:15, 31:19, 32:1, 75:8, 79:13, 79:14, 94:8 medicines 49:9 meet 9:5 members 119:2 memory 36:6, 70:9, 96:7, 98:19, 107:17 mentally 111:22 mention 74:22 mentioned 94:4 mentioning 102:2 metal 51:5 method 89:3 methodology 18:21, 30:11, 31:9, 34:17, 59:13, 61:22, 76:9, 78:10, 79:7, 89:13, 92:18, 93:7, 103:12, 110:12 methods 98:8, 101:6 metric 92:9 mgh 80:21</p>	<p>michael 5:10 microvascular 70:17, 118:3 middle 28:10, 38:6, 39:12, 39:22, 62:3, 62:6, 62:9, 62:18 might 53:1, 53:2, 59:18, 69:2, 100:7, 101:18, 101:21, 118:13, 119:3 milliliters 62:2, 62:4, 62:8, 115:9 millimeter 67:21, 68:4 millimeters 64:19, 64:21, 64:22, 65:8, 66:3, 68:11 million 85:3, 85:7 mind 94:10 mine 14:13 mini-mental 106:18, 107:9 minority 110:2 minute 27:9, 53:19 misconceptions 83:2 mislabel 73:11 mislabeled 35:22 mismatch 88:14 missing 78:3, 78:5, 78:6, 114:1 moderate 98:18</p>	<p>module 88:19, 89:16, 96:12 moment 17:16, 67:13 months 11:1 montreal 106:19 more 10:13, 26:17, 30:19, 36:4, 41:21, 57:6, 65:16, 69:10, 73:1, 78:1, 93:6, 98:15, 100:6, 101:18, 101:22, 103:3, 103:16, 110:4, 110:16, 110:19, 111:14, 114:14, 119:14 most 19:10, 38:1, 40:6, 43:8, 43:19, 61:16, 62:22, 68:6, 75:10, 84:3, 88:19 mostly 84:15 motion 29:22 movement 37:1 moves 19:3 mri 32:9, 49:16, 49:19, 50:7, 50:8, 51:9, 51:12, 51:19, 52:12, 52:13, 53:4, 53:8, 68:2, 69:19, 70:3, 70:11, 90:8, 91:6, 92:9, 93:3,</p>
--	---	--	---

117:2 mr 51:6 much 6:9, 22:16, 53:2, 95:15 multidisciplinary 75:8 multiple 57:15, 64:2, 64:14, 66:13, 67:6 must 26:2 myths 83:1	22:18, 73:21, 96:12, 100:14, 103:11 ned 3:21 need 6:12, 9:22, 14:6, 15:6, 28:14, 28:18, 29:13, 42:13, 51:2, 68:12, 73:5, 103:6, 110:19, 111:3, 111:6, 114:14 needed 50:22, 107:7 needles 7:3, 7:5 negative 50:13 neither 123:8 neoplasms 50:10 nervous 20:21 neuro 21:1, 70:8 neuro-mri 51:13 neurocognitive 17:12, 120:13 neuroimaging 10:13, 21:5, 72:9, 72:12, 73:2, 73:14 neurointerventional 90:22 neurological 20:15, 20:16, 20:19, 44:16 neurologist 10:10, 23:19, 24:2, 24:8, 24:16, 34:7, 70:8, 90:19, 119:1	neurologists 23:22, 72:1, 72:5, 74:22, 75:1, 75:9 neurology 34:4, 34:5, 48:13, 107:3 neuroplasm 78:11 neuropsych 108:20 neuropsychiatrist 10:11 neuropsychiatry 100:3 neuropsychologic- al 100:11, 103:18, 103:20 neuropsychologis- ts 109:2 neuropsychology 33:9, 48:12, 103:16 neuroradiologist 5:13, 8:20, 20:19, 26:2, 72:6, 90:18 neuroradiologists 71:16, 72:1 neuroradiology 6:16, 20:8, 23:5, 23:9, 23:14, 26:14, 33:12, 48:13, 77:10 neurosurgeon 23:16, 71:12 neurosurgeons 71:16, 72:2, 72:10, 72:22, 73:4, 74:3, 74:6, 74:8, 74:17, 75:9, 90:21 neurosurgery 44:16, 73:7	never 111:6, 113:10 new 3:15, 3:21, 29:5 newman 3:12, 7:11, 13:15, 13:16, 51:8, 52:3, 70:3, 70:7, 86:4, 111:21 newman's 34:15 next 28:6, 29:16, 31:11, 37:15, 41:9, 58:20, 59:20, 70:4, 76:20, 77:3, 77:17, 91:2, 104:11 niche 69:21 noise 65:16, 65:18, 68:18 noisy 64:7, 64:8, 64:10, 64:11, 65:14 nomenclature 58:14, 68:1 non-con 82:2 non-contrast 118:17 non-impaired 104:22 non-neurology 107:4 normal 38:19, 41:15, 42:3, 43:3 normalization 58:6 normalized 57:17, 116:7, 116:13
N			
nail 50:18 name 5:8, 106:9 names 119:12 narrow 24:1 native 91:6 natural 100:17 nature 9:1, 9:2, 17:2, 58:10, 110:9 near 40:15 nearby 40:11 necessarily 58:19, 64:12, 66:19, 69:16, 73:14, 76:11, 94:5, 94:8, 107:8 necessary 58:21, 84:17 neck 6:19, 6:22, 21:2, 22:8,			

normalizes 57:11 normalizing 57:22, 115:1 north 3:16 northwest 2:4, 3:7 nose 73:17 notarial 123:13 notary 2:11, 123:1, 123:18 notes 16:18, 16:20, 17:2, 17:6, 48:11 nothing 31:13, 81:20 notice 2:11 novel 20:6 number 5:2, 11:3, 12:21, 14:11, 14:19, 17:5, 17:20, 19:16, 19:17, 21:14, 22:3, 22:20, 39:11, 39:17, 54:17, 54:18, 60:4, 61:19, 61:21, 68:18, 72:15, 72:18, 72:20, 82:17, 86:8, 86:9, 94:19, 94:20, 95:10, 97:8, 102:19, 105:11, 107:16, 115:8 <hr/> O <hr/> object 6:3 objection 6:3, 116:9	objective 58:15, 97:16, 97:20, 98:4 observed 41:12, 58:13, 110:2, 118:16 obtained 63:13 occasion 6:2, 120:4 occlusion 28:8 occlusions 30:21 occupation 5:12 off-label 80:2, 82:22, 83:22, 84:1, 84:9, 85:11, 85:19 offer 109:2, 117:22 office 17:1 officer 123:2 offices 2:2 official 78:18 often 22:11, 23:22, 24:1, 52:17, 75:5 oftentimes 85:8, 85:15 oh 21:1, 21:19, 25:11, 44:4, 45:16, 46:15, 48:5, 71:5, 77:19, 87:5, 88:5, 96:18, 98:3, 102:22 old 46:17 oncology 94:7	one 8:6, 14:5, 14:9, 14:10, 19:2, 21:1, 22:4, 22:5, 22:12, 23:1, 26:15, 32:6, 36:20, 38:22, 40:17, 41:15, 42:7, 42:12, 47:22, 53:16, 54:17, 57:22, 59:5, 60:21, 61:13, 61:20, 64:19, 65:13, 65:20, 67:21, 68:4, 70:22, 71:5, 71:6, 72:15, 72:20, 74:21, 76:13, 76:21, 77:21, 78:1, 81:10, 82:10, 84:10, 86:8, 87:20, 88:21, 93:11, 94:18, 95:10, 95:19, 96:3, 99:20, 100:6, 100:7, 100:13, 102:1, 104:16, 105:18, 109:18, 112:16, 112:21, 114:12, 114:21, 116:1, 116:11, 116:22, 118:20, 119:8 one-third 98:14, 110:3 ones 9:13, 69:9, 76:18 ongoing 9:14 only 34:18, 43:5, 61:16, 65:15, 81:10, 82:1, 100:7, 104:15	operate 73:12 operations 73:6 opine 10:6, 82:10 opinion 9:21, 18:7, 20:5, 35:4, 37:11, 38:10, 41:9, 59:2, 59:14, 71:18, 71:21, 76:3, 80:2, 86:2, 86:6, 86:20, 111:17, 111:20, 112:2 opinions 11:9, 27:6, 72:4, 74:21, 75:21, 94:13, 94:14 opposed 43:14, 103:16 option 89:16 oral 77:8 order 19:2, 19:13, 58:22, 62:2, 66:2, 67:21, 68:11, 69:11 ordered 24:5, 24:15 organ 61:19 organs 40:18, 42:4, 42:5 original 26:18 originally 60:13, 94:1 orodental 92:9 ossicles 68:9
---	--	--	---

other 9:13, 11:8, 18:9, 29:10, 30:13, 31:15, 32:4, 39:7, 56:19, 62:10, 65:19, 68:10, 68:20, 74:9, 74:21, 75:3, 75:6, 75:21, 75:22, 76:13, 81:20, 82:10, 85:4, 85:5, 90:3, 93:21, 95:16, 106:17, 107:4, 107:6, 111:17, 112:5, 114:4, 116:4, 116:12, 118:10, 118:20 others 9:20, 74:9 otherwise 6:8, 123:11 otolaryngologist 73:17 otolaryngologists 73:19 out 38:16, 38:21, 39:3, 39:7, 40:10, 44:14, 54:11, 54:15, 57:14, 72:5, 76:16, 96:3, 106:3 outcome 47:1, 58:11, 123:11 outcomes 22:3 outflow 39:1, 57:4, 59:22, 61:7, 65:3 outpatient 81:16, 81:18, 81:20, 82:9	outpatients 81:17 output 35:2, 54:14, 65:4 outside 58:22, 102:8 outweigh 32:19, 52:12 outweighed 92:14, 92:15 over 33:13, 66:18, 73:9, 104:4 overt 97:18 own 12:3 <hr/> <p style="text-align: center;">P</p> <hr/> pacemaker 51:11, 51:12, 51:15, 51:16, 51:21, 51:22, 53:4, 53:8 pacemakers 52:19, 52:21 package 93:1, 93:5 pacs 82:5 page 4:2, 17:18, 18:11, 25:10, 27:10, 28:6, 31:11, 36:8, 37:4, 37:16, 44:10, 44:13, 48:1, 48:2, 53:19, 56:1, 56:2, 56:3, 78:21, 78:22, 79:5, 80:7, 81:9, 81:10, 83:5, 83:18, 86:21, 87:8, 97:12, 101:6, 104:11, 104:17, 109:19, 112:19, 113:12 pages 1:21 paired 36:3, 36:20 paper 108:3, 119:19, 119:22, 120:5 paragraph 31:11, 34:13, 37:3, 41:10, 48:10, 49:4, 49:6, 56:2, 71:8, 71:10, 71:14, 71:17, 79:4 parameters 64:17 parenchyma 39:6, 57:16, 68:15, 74:16 part 15:3, 31:2, 35:4, 41:19, 43:19, 47:22, 53:22, 59:16, 63:3, 63:5, 66:10, 102:7 participants 98:9 particular 6:15, 8:4 particularly 6:20, 17:10, 73:1, 73:22, 75:1, 95:14 parties 123:9 parts 7:6 pass 110:7 passed 109:12, 110:18 pat 8:8, 46:1, 93:20	patented 44:20 pathologic 41:12, 43:5 pathological 43:13, 43:16 patient 32:19, 50:1, 92:16, 106:22, 114:12, 118:8, 119:2 patient's 18:16, 95:12, 117:12, 118:1 patient-reported 96:7, 97:15, 98:19 patients 22:6, 22:10, 22:17, 28:8, 30:17, 38:5, 42:11, 74:14, 78:10, 87:14, 89:6, 91:14, 92:10, 92:14, 92:15, 93:9, 95:6, 97:8, 97:20, 98:5, 98:14, 100:14, 103:13, 104:21, 108:18, 108:21, 109:5, 110:3, 110:13, 111:2, 120:10 patrick 3:4 patterns 84:20 pauline 3:12 peak 57:13, 57:17 pediatric 23:6, 23:14, 49:5, 49:13, 84:14, 85:11 peers 75:10
--	---	---

<p>pen 76:19, 76:21</p> <p>penn 51:17, 80:22</p> <p>people 58:3, 69:2, 108:3, 116:4</p> <p>percent 61:17, 61:22, 62:22, 72:11, 89:10, 104:21</p> <p>perf 54:5, 54:8</p> <p>perform 84:2, 117:13</p> <p>performed 59:7, 59:8, 82:4</p> <p>performing 87:2, 87:6</p> <p>perfused 40:19</p> <p>perfusion 17:12, 17:22, 18:2, 18:18, 18:20, 19:19, 24:17, 25:3, 25:17, 26:22, 30:16, 31:4, 31:9, 34:22, 35:11, 35:14, 38:4, 41:3, 42:8, 42:9, 42:10, 56:22, 63:16, 66:16, 74:2, 74:4, 74:11, 74:20, 75:3, 76:15, 76:19, 77:1, 77:2, 77:7, 77:10, 77:17, 78:9, 79:5, 80:11, 81:17, 81:19, 82:1, 82:4, 89:15, 93:3, 113:6, 114:22, 115:12, 115:22, 116:19,</p>	<p>117:6, 117:13</p> <p>perfusions 89:14</p> <p>period 19:8</p> <p>peripheral 19:7</p> <p>permission 102:10</p> <p>person 117:2, 117:18</p> <p>person's 115:19</p> <p>personal 75:5</p> <p>pertains 73:22</p> <p>ph 90:17</p> <p>pharmaceutical 85:9</p> <p>phase 84:21, 85:4</p> <p>philbin 3:4, 11:22, 13:18, 14:15, 16:15, 81:9, 83:12, 83:15, 87:3, 87:9, 88:6, 93:14, 105:6, 109:19, 112:13, 116:10, 120:22, 121:3</p> <p>phoenix 47:4</p> <p>phone 46:7, 95:11, 97:7, 99:3, 104:20</p> <p>photons 68:18, 69:3, 69:4, 69:7, 69:10</p> <p>phrased 34:16</p> <p>phrases 17:7</p> <p>physical 43:15, 64:5</p>	<p>physician 31:14, 90:20</p> <p>physician's 79:14</p> <p>physicians 28:6, 28:7, 81:8, 81:15, 119:19</p> <p>physiologic 118:19</p> <p>physiological 118:15</p> <p>picture 36:8</p> <p>pictures 19:5, 49:17</p> <p>pie 104:17</p> <p>pitfall 31:4, 43:1</p> <p>place 7:3, 68:6</p> <p>places 39:19</p> <p>plaintiff's 11:15</p> <p>plausible 45:1, 45:6, 112:17</p> <p>play 50:3</p> <p>please 5:9, 6:7, 17:17, 44:14, 106:8</p> <p>plexus 40:13, 40:15, 40:16, 41:4</p> <p>pllc 2:3, 3:6</p> <p>plots 88:9, 88:12</p> <p>pneumonia 107:7</p> <p>point 10:14, 76:16, 87:4, 100:5, 111:7, 112:16,</p>	<p>113:2, 114:21, 116:22</p> <p>pointed 40:10, 57:14, 59:9, 62:17, 115:5</p> <p>pointing 37:22</p> <p>points 17:20, 63:7, 95:9</p> <p>population 18:1, 69:22, 90:20, 98:21, 100:17, 108:11</p> <p>populations 85:11</p> <p>position 37:7</p> <p>possibilities 118:1</p> <p>possibility 120:13</p> <p>possible 51:18, 70:14, 117:10, 119:3</p> <p>posterior 31:6</p> <p>potentially 102:16, 119:12</p> <p>practice 31:19, 32:1, 32:3, 33:9, 33:11, 34:3, 79:12, 79:14, 79:21, 80:13, 84:3, 102:8, 102:18, 104:8, 109:9</p> <p>practicing 72:1</p> <p>practitioner 113:5</p> <p>preclinical 85:5</p> <p>predominant 87:16</p> <p>predominantly 6:18</p>
--	---	---	--

<p>preexisting 100:18, 100:21</p> <p>preliminary 17:21</p> <p>premise 70:4</p> <p>preparation 15:3, 101:14</p> <p>prepare 13:6</p> <p>prepared 13:2</p> <p>prescribing 32:17, 32:18, 84:20</p> <p>presence 49:21, 51:21</p> <p>present 3:20, 55:1, 118:5, 118:9</p> <p>presentation 77:9, 118:2, 120:17</p> <p>pressure 28:17, 28:21, 29:3, 29:4, 29:5, 29:9</p> <p>presume 8:13</p> <p>pretty 6:9, 22:9, 103:10</p> <p>prevalence 100:16</p> <p>previous 109:17</p> <p>previously 48:16</p> <p>primarily 11:14</p> <p>primary 23:1, 90:6, 90:17, 90:18, 91:13</p> <p>principal 44:14, 44:15</p> <p>printed 12:8</p>	<p>prior 13:1, 114:1</p> <p>privileged 79:22</p> <p>privileging 79:20</p> <p>probability 100:19</p> <p>probably 38:18, 43:19, 77:20, 100:6, 119:15</p> <p>problem 43:13, 51:22</p> <p>problems 43:17, 96:7</p> <p>procedures 6:21</p> <p>process 31:13, 65:3, 89:18, 93:2</p> <p>processed 20:2, 24:20, 26:21, 27:12, 30:12, 34:18, 35:1, 58:18, 66:1, 76:12, 91:12</p> <p>processes 39:4, 39:5, 88:22</p> <p>processing 57:21, 76:9, 79:7, 89:3, 93:4</p> <p>produced 24:18</p> <p>products 49:10</p> <p>profession 5:11</p> <p>professional 9:6</p> <p>professionals 90:7, 90:15</p> <p>profile 32:13, 53:1</p> <p>prognostication 118:21</p>	<p>program 51:12, 51:14, 51:15, 51:16, 51:17, 53:8, 109:12</p> <p>programs 53:4</p> <p>prohibited 31:18</p> <p>prohibits 31:14, 31:22</p> <p>project 20:13</p> <p>properties 64:6</p> <p>proportionally 116:19</p> <p>proposing 32:18</p> <p>prospective 92:8</p> <p>protect 74:16</p> <p>protocols 19:11</p> <p>provide 7:14, 9:10, 12:22, 16:13, 20:18, 69:5, 69:12, 69:13, 72:3, 79:20, 91:3, 118:19, 118:20</p> <p>provided 10:9, 20:9, 24:9, 24:22, 26:1, 29:17, 29:20, 34:11, 34:13, 37:4, 71:1, 81:12, 86:11</p> <p>provides 27:20, 87:1</p> <p>providing 73:4</p> <p>proximal 28:9, 30:22</p> <p>pseudo-normaliza- tion 58:14</p>	<p>pseudo-normalized 57:20, 58:8</p> <p>pseudo-normalizes 57:12</p> <p>pseudonormalizing 115:1</p> <p>psychiatric 107:5</p> <p>psychiatrist 70:8, 119:1</p> <p>psychological 101:2, 102:15, 108:20</p> <p>public 2:12, 123:1, 123:18</p> <p>publication 77:22, 78:6, 78:8, 95:4, 95:8, 96:5, 101:21</p> <p>publications 12:21, 21:14, 22:3, 77:16, 94:18</p> <p>published 76:14, 76:18, 78:12, 96:16</p> <p>pull 106:2</p> <p>purpose 32:5, 42:15, 70:5, 98:6</p> <p>purposes 18:17, 19:9, 31:15, 59:8, 82:12, 82:13, 93:7, 103:8</p> <p>pursuant 2:11</p> <p>pursue 87:21</p> <p>pushed 19:21</p> <p>put 8:10, 14:7, 50:15, 51:9, 55:22, 76:19,</p>
--	--	--	---

77:17, 86:1, 103:17, 105:4, 107:9 putamen 36:15, 36:16, 36:17, 36:19, 37:12 putting 22:9, 30:7, 77:3	92:2, 98:2, 104:16, 106:3, 106:8, 106:10, 109:11, 110:14, 113:17, 114:5 questionnaire 105:19, 105:20 questions 6:6, 7:15, 11:6, 15:8, 35:11, 48:19, 99:9, 99:12, 99:13, 99:16, 103:3, 104:15, 106:11, 107:20, 112:10, 112:14, 115:3, 121:1 queue 24:8 quickly 19:3, 55:1 quote 49:7, 118:6	54:17, 74:12, 87:1, 87:6, 90:6, 91:3 rate 7:20, 7:22 rather 12:11, 35:10, 57:12, 74:3 raw 18:10, 18:12, 18:15, 19:20, 20:4, 20:9, 20:17, 27:11, 64:2, 91:10 read 24:15, 29:19, 44:13, 71:10, 71:14, 79:9, 96:4, 107:16, 122:3 real 103:2 really 34:18, 39:2, 42:20, 43:21, 51:1, 54:15, 58:6, 68:9, 68:10, 68:12, 69:4 reason 7:13, 45:2, 52:13, 60:5, 61:7, 68:14, 84:16 reasonable 9:6, 31:16, 32:14, 48:15, 49:18, 50:8, 50:14, 50:17, 52:16, 52:22, 53:6, 61:16, 65:9, 65:21, 68:19, 73:15, 80:13, 82:14, 85:16, 85:21, 91:8, 95:11, 100:16, 102:13, 102:17, 103:10,	103:15, 103:17, 114:9, 117:3, 117:13 reasonably 28:19, 50:11, 57:6, 109:2, 109:5 reasons 14:7, 42:10, 49:13, 65:13 rebuttal 13:22, 14:4, 27:3, 27:5, 45:11, 47:21, 55:21, 75:21, 75:22, 76:2, 76:8 recall 10:20, 16:10, 17:8, 88:21, 95:8, 97:6, 102:18, 103:8, 106:1, 109:4 recalled 46:3 receive 94:10 received 8:5, 101:19 receives 40:21, 40:22, 95:15 receiving 100:22 recently 99:12 recessed 45:18, 93:15, 112:7 recognize 12:4, 14:22, 95:1, 105:15 recollection 106:6 recommended 79:21 reconvened 45:19, 93:16,
Q	R		
qualification 23:8, 45:3 qualifications 11:9 qualitative 30:15, 58:10, 59:7 qualitatively 116:21 quality 22:16, 22:17, 29:21, 54:18, 68:3, 69:6, 69:14, 114:2 quantified 41:22 quantify 97:19, 98:3 quantitation 88:2 quantitative 58:10, 58:16, 58:17, 58:18, 58:19, 59:6, 59:11, 92:7 question 5:18, 5:19, 6:4, 6:7, 6:9, 6:11, 10:4, 34:2, 34:3, 35:10, 45:16, 46:12, 53:16, 55:7, 55:17, 61:3, 61:4, 63:7, 77:17, 82:14, 88:6,	radiation 68:17, 69:15, 95:14, 95:15, 96:8 radiograph 24:3 radiologist 23:4, 53:11, 71:12 radiologists 90:22 radiology 23:6, 23:8, 23:11, 96:20 radiotherapy 92:10 raised 10:5 random 58:3 range 43:3 rapid 19:5, 19:22,		

112:8 record 5:21, 12:6, 94:11, 95:3, 121:5, 123:5 records 54:1, 94:3, 94:7, 94:8 recurrent 43:1, 78:11 red 37:19, 38:2, 57:15, 113:6, 115:6, 115:15, 115:18, 116:3, 116:15 reduce 65:16 reduced 123:7 refer 14:6, 15:7, 17:14, 19:20, 21:20, 66:19 reference 78:2 referenced 13:10, 15:18, 16:3, 16:6 referred 100:10 referring 18:5, 49:7, 67:19, 80:15, 117:6, 117:9 refers 91:8, 96:17 reflect 38:9 reflective 38:12 refresh 106:5 regarding 80:3 regardless 41:6, 41:7 regina 5:6	region 40:9, 41:22, 59:21, 61:6, 61:18, 62:6, 62:11, 63:16, 88:2, 112:22, 113:1 regional 30:2, 30:16, 34:22, 38:2, 57:2 regions 116:17 regular 75:10 regulate 33:9, 33:11, 34:3, 48:16, 79:12 regulates 33:13 regulating 31:18, 31:22 regulatory 49:10 related 10:3, 13:11, 13:12, 13:17, 37:19, 43:12, 46:4, 88:20, 92:7, 100:17, 100:18, 123:9 relating 17:11, 35:19 relation 75:6, 120:4 relationship 17:22, 52:6 relative 41:10, 57:6, 57:8, 57:9, 57:10, 60:9, 60:12 relatively 29:1, 34:19, 35:10 relevance 12:22	relevant 12:19, 35:8, 46:10, 46:20 reliable 28:19 reliably 18:3, 29:15, 49:22, 50:2 rely 72:22, 101:2, 114:16, 114:17 remains 18:7 remember 8:7, 39:16, 46:11, 47:4, 47:5, 117:4 remembering 99:11 remission 22:10, 22:15 render 49:11 reparative 41:19 repeated 64:2, 66:13 repetitive 11:6 repetitively 19:1 reply 111:13 report 4:14, 4:15, 4:16, 8:10, 9:10, 11:19, 12:8, 13:1, 13:2, 13:12, 13:22, 14:3, 14:4, 15:12, 15:21, 16:1, 17:15, 17:16, 18:9, 18:11, 21:7, 21:10, 21:16, 24:19, 25:8, 25:9, 25:12, 25:18,	26:4, 26:8, 26:10, 26:18, 27:2, 27:3, 27:5, 27:6, 27:9, 27:10, 31:11, 34:9, 35:19, 35:21, 36:7, 36:12, 37:5, 44:3, 45:11, 47:21, 47:22, 48:7, 53:18, 54:1, 54:3, 55:22, 56:6, 60:4, 60:14, 60:17, 61:5, 66:13, 73:11, 76:1, 78:22, 80:8, 80:9, 81:4, 81:22, 83:16, 91:17, 94:2, 94:14, 111:12, 112:16, 112:20, 113:13, 115:4 reported 1:22 reporter 123:1 reports 13:10, 13:20, 22:22 require 59:12 required 102:10, 110:5, 110:8, 110:17 requires 69:15 research 17:21, 67:10, 90:17, 93:6, 93:10, 110:11 resection 42:15, 42:16 reside 37:11, 39:11 resident 26:13, 26:17,
--	---	---	---

32:7 resolution 63:14, 64:4, 65:4, 66:20, 66:22, 67:5, 67:7, 67:15, 67:17, 67:20, 68:5, 68:12, 68:16 respected 53:7 respond 98:1 responds 47:22 response 4:16, 14:18, 15:8, 15:13, 16:1, 21:8, 56:5, 76:1 responsibility 71:11 responsible 62:18 rest 14:9, 39:22, 57:16 result 110:4 results 98:15, 104:12, 110:16, 111:2 resume 76:17, 78:5 retained 11:14 reveal 29:18, 29:20 revealed 94:15 review 26:7, 53:22, 54:1, 54:17, 71:17, 82:6, 92:13, 92:20, 101:14, 102:4, 102:10, 102:12, 102:20, 110:11	reviewed 13:8, 13:11, 15:2, 15:10, 15:11, 15:22, 16:14, 21:7, 21:8, 21:12, 24:20, 25:7, 27:1, 27:3, 48:6, 94:1 reviewing 111:11 revised 87:6 reza 25:18, 75:11 rigorous 98:16, 110:4, 110:17 risk 87:22, 92:16 risk-benefit 32:13, 32:15, 52:22 risks 32:20, 32:22, 52:12, 92:13 robust 53:8 role 84:2, 97:1, 119:22, 120:12, 120:17 roles 119:20 room 90:19 roommate 46:5, 47:16 rough 62:16, 96:2 roughly 11:17, 100:15 rules 5:18, 6:9 run 36:4, 68:20, 93:4 <hr/> S <hr/> safe 102:13	safety 84:22 said 8:13, 10:20, 13:20, 15:10, 33:18, 52:2, 52:20, 61:5, 67:7, 70:9, 71:6, 105:17, 117:15, 123:6 sales 49:11 same 7:22, 16:7, 19:1, 34:2, 36:8, 48:1, 49:12, 50:7, 55:7, 55:8, 65:4, 116:3, 122:4 san 23:15, 72:17 satisfactory 29:21 saw 54:2, 108:13, 113:15 say 18:9, 18:11, 19:13, 20:18, 22:4, 24:3, 24:10, 25:3, 25:16, 27:11, 27:16, 28:5, 29:6, 35:11, 36:14, 37:2, 37:3, 38:9, 38:13, 40:5, 40:15, 41:16, 41:17, 49:20, 50:2, 50:16, 54:5, 55:2, 56:20, 58:2, 62:4, 63:2, 63:3, 63:4, 64:4, 65:7, 66:2, 66:11, 66:16, 67:1,	79:3, 79:5, 86:17, 89:2, 102:22, 113:6, 114:7, 116:3, 119:18 saying 82:11, 102:21 says 29:7, 49:6, 54:4, 56:10, 63:10, 66:22, 67:6, 77:10, 79:17, 83:22, 87:1, 90:5, 90:9, 91:22, 96:15, 98:8, 98:13, 98:17, 104:6, 106:4, 110:20 scale 58:2, 115:1, 115:8 scaled 58:12, 116:18 scan 35:20, 50:8, 51:19, 52:20, 53:22, 58:3, 63:13, 67:22, 69:19, 70:12, 70:21, 70:22, 82:1, 82:4, 115:13, 115:22, 117:3, 117:7, 117:8, 118:18 scanned 19:12, 53:2 scanner 18:16, 18:17, 18:21, 18:22, 19:3, 49:20, 50:7, 50:17 scanners 49:16, 50:4, 51:1 scanning 32:9, 53:3 scans 13:14, 13:16,
---	--	---	--

24:15, 25:22, 33:5, 49:1, 53:20, 54:5, 64:3, 68:2 scenario 57:11, 70:10, 70:19, 85:14, 95:13, 111:10, 117:14 school 23:13 science 92:15 scientific 13:9, 15:15, 16:2, 16:6, 17:4, 17:5 score 103:14, 108:14 scoring 104:7, 104:8 screen 100:8, 103:13, 103:19 screening 98:15, 98:20, 104:20, 106:18, 108:10, 108:17 screens 111:14 scroll 65:20 seal 123:13 second 19:3, 31:12, 34:11, 55:7, 60:17, 73:19, 79:4, 95:19, 111:12, 115:9 seconds 19:14 section 31:22 see 18:10, 18:12, 34:14, 43:9, 46:22, 49:22,	54:4, 55:5, 55:13, 55:17, 55:19, 67:9, 68:13, 71:7, 76:10, 77:8, 89:18, 91:22, 96:13, 100:13, 108:16, 110:20, 116:3, 118:5, 120:5 seeing 38:2, 38:17, 39:9, 88:20 seem 45:1, 45:6, 46:10 seems 48:15, 66:11 seen 13:21, 18:12, 20:16, 38:4, 43:5, 54:3, 57:13, 81:18, 101:12, 108:14, 113:4, 113:10, 116:17 segment 19:1 seizures 42:19 select 30:17 selection 28:7, 87:13, 89:5 sell 27:21, 28:13, 28:16, 33:15 send 78:1, 103:15, 108:15 senior 73:7 sense 57:22 sensitive 50:9 sent 33:17, 109:13	sentence 43:12, 49:6, 58:20, 59:2, 59:20, 91:2, 110:22 separate 10:7, 63:11 september 123:22 septic 61:11 series 55:14, 88:1, 99:8, 113:13 served 8:16 server 19:21, 89:1 service 24:4, 24:14, 26:3, 109:3 set 19:2, 19:5, 19:11, 57:13, 58:2, 58:4, 58:17, 65:22, 76:12, 88:22, 123:12 setting 22:8, 57:17, 87:21, 89:7, 120:14 settings 41:2 settled 9:19, 9:20 seven 13:3, 48:3, 72:18, 101:22 several 44:18, 85:3 severe 98:18, 118:22 shall 40:5 shannon 3:21 shaped 55:3	share 16:12 shared 94:3 sheet 122:7 short 34:16, 38:11, 75:14, 92:21 shorthand 123:1 shot 50:18 should 49:22, 50:1, 50:8, 50:13, 57:7, 83:8, 86:11, 99:18, 100:5 shouldn't 55:3, 81:6, 116:5 show 35:12, 37:15, 55:8, 105:4 showing 115:18 shown 36:14, 111:4, 115:2 side 11:15, 22:11, 36:20, 39:1, 39:7, 61:15, 113:14 sign 55:17 signature 122:11 signature-plkal 123:15 signed 26:4, 26:20, 122:7 significant 20:6, 29:22, 34:22, 37:20, 40:12, 42:4,
---	--	--	---

42:22, 69:15, 113:21, 116:20, 118:3 significantly 29:9, 66:18 signs 34:14, 97:18 similar 38:4, 68:1, 75:11, 106:17 simple 35:10, 108:17 simply 80:16 since 11:2, 11:5, 12:21, 18:12, 21:9, 27:2 singular 34:19 sinks 61:13 sir 14:1, 16:4, 18:6, 18:14, 23:7, 25:15, 29:20, 36:10, 48:8, 52:4, 52:7, 64:22, 69:2, 77:5, 77:13 situations 107:6 six 22:4 sixteen 11:1 sixth 99:21 sizable 38:3 skin 41:15, 41:18 skull 69:1, 69:4, 69:8, 69:9, 95:14, 96:8 skull-based 95:7, 97:21,	98:5 slabs 64:16, 66:1 slash 91:5 slice 37:6, 40:11, 40:12 slices 19:17, 64:5, 64:6, 64:15, 64:18, 65:6, 65:15, 65:22, 67:20 slipped 94:10 slow 62:12 small 12:21, 61:17, 68:10 so-called 103:19 society 77:9, 92:16 soft 69:12 software 12:10, 19:22, 27:13, 27:14, 27:18, 33:17, 33:19, 54:19, 66:6, 89:4, 90:6, 93:1, 115:13 some 6:19, 6:21, 7:2, 9:14, 10:3, 11:5, 13:9, 13:12, 13:17, 15:19, 16:1, 16:6, 17:3, 17:6, 22:21, 24:14, 38:18, 39:13, 40:8, 42:1, 42:10, 43:16, 48:19, 53:4, 59:18,	59:22, 61:7, 69:2, 72:2, 79:19, 79:22, 83:22, 85:21, 89:8, 89:11, 89:13, 91:19, 95:18, 103:11, 107:11, 107:19, 108:1, 108:22, 109:10, 118:10, 118:13, 118:20 somebody 95:13, 107:2, 107:12 somebody's 43:15, 50:18 someone 109:12 something 14:16, 32:16, 50:19, 56:19, 62:1, 65:6, 66:2, 66:15, 69:10, 102:8, 102:17, 102:19, 103:2, 115:7, 115:8, 115:9, 118:4 something's 78:4 sometimes 74:14, 100:17, 100:18, 100:20, 118:5 somewhere 19:12, 64:18, 67:20, 78:19, 119:16 sophisticated 67:8 sorry 44:4, 61:3, 87:3 sort 7:2, 49:4, 70:18, 106:12, 106:22, 107:13, 108:3, 109:10,	113:17, 115:13, 116:7 sought 97:19, 98:3 source 19:19, 89:15, 91:10 speak 39:5, 65:22 special 7:10, 8:1 specialist 10:9, 52:8, 73:18, 86:9 specialize 6:14 specialties 75:5 specialty 120:8, 120:9 specific 28:15, 50:11, 50:14, 51:4, 85:1 specifically 10:13, 30:19, 53:14, 79:6 specify 30:20, 103:6 spend 85:7 sphygmomanometer 29:3 spinal 84:11 spine 6:19, 6:21, 20:22, 73:8, 74:18 spots 112:20 stacking 65:15 standard 9:6, 58:1, 80:13, 84:8, 85:20, 102:8, 102:18
---	---	--	---

standardized 104:3 stanford 80:21 start 6:1, 67:18, 67:19, 68:4, 91:22, 92:6, 108:21 started 19:7 starting 34:13 starts 48:1, 56:2, 100:15 state 12:6, 35:20, 52:15, 66:20, 72:10, 80:1, 95:3, 107:13, 111:4, 111:5 state-of-the-art 66:14 stated 35:15, 59:15, 67:6, 71:18, 72:7 statement 29:16, 48:14, 63:19, 75:15, 75:19, 80:14, 82:11, 84:4, 84:5, 90:13, 114:11 statements 48:10, 72:3, 80:3 states 1:3, 28:14, 43:6, 43:16, 111:1 stating 90:15 statistically 26:16 status 4:21, 41:7,	95:12, 96:10, 98:10, 106:4, 106:19, 107:9, 119:7, 120:2, 120:6, 120:10 stays 18:22 stenographically 123:6 step 38:13 still 9:14, 18:22, 27:9, 39:9, 69:16, 72:20, 100:3 stop 44:21, 50:13, 62:12 stopped 13:18 story 49:5 straightforward 29:1 straining 40:4 strategem 109:10 street 2:4, 3:7, 61:15, 63:4 stress 102:15 string 54:5 stroke 19:10, 28:8, 70:17, 74:7, 75:1, 87:14, 87:22, 89:7, 90:19, 93:5 strong 74:20 structural 117:7, 117:9, 117:16, 117:17, 117:20, 118:12	structure 36:19, 36:20, 40:19, 44:1, 55:9, 113:16, 113:19 structures 36:3, 40:7, 62:10, 62:20 struggle 31:8 student 107:1 studied 19:4, 22:5, 111:2 studies 18:22, 24:5, 102:19 study 30:9, 30:15, 56:15, 81:5, 82:6, 85:13, 92:6, 93:10, 96:9, 98:6, 98:22, 101:8, 101:9, 101:22, 102:3, 104:1, 105:18, 109:3, 110:9, 110:11, 111:5, 120:9, 120:19 subdural 118:4 subject 11:8, 21:18 submit 84:22 submitted 13:8, 27:11, 101:20 subsequent 21:6 subsequently 32:7 subset 111:1 subspecialty 53:10	subtracting 107:20, 107:21 successful 22:9 sued 46:6 suggest 89:19, 90:16 suggesting 17:22, 76:7 suggestive 99:17 suggests 30:1, 105:2 suite 2:5, 3:8, 3:16 summarized 65:13, 65:14 summary 44:10 sunburn 41:19 superficially 40:7 superior 39:21 supervising 100:4 supervision 123:8 supplied 63:1 suppose 107:10 supposed 29:13 sure 5:22, 16:15, 29:7, 54:10, 67:14, 73:4, 92:3, 93:14 surgeon 73:8, 73:16 surgery 42:18, 44:17, 74:1, 74:18, 84:11 surrounding 68:21
--	--	---	--

survey 104:2 survive 95:17 survivorship 96:9 suspect 107:2 suspected 78:11, 89:7, 91:14, 120:14 switched 76:4 sworn 5:5 sylvan 37:14 sylvian 36:17, 39:12 symptom 96:11 symptoms 97:19, 98:4 system 20:21, 39:8, 61:12	taking 19:5 talk 24:7, 32:15, 45:21, 67:15, 73:18, 93:18 talked 21:11, 22:21, 46:4, 49:12, 64:16, 77:16, 79:2, 83:21, 85:17, 91:9 talking 46:9, 60:20, 60:21, 67:10, 73:16, 84:19 talks 71:15, 77:15 tangential 10:12 tangentially 22:21 teach 41:1 technique 64:1, 66:12, 66:15, 103:9 technologist 90:16 technology 44:20 telephone 4:20, 96:10, 98:9, 104:4, 106:4, 119:6, 120:1, 120:5 tell 5:8, 41:3, 106:9, 114:12 temporal 42:14, 68:8, 91:4 ten 96:3 ten-minute 93:12 tend 42:9, 64:13,	74:3, 74:17, 74:18, 74:20, 75:2 tended 107:3 tends 74:5, 84:16 tensor 44:19 term 68:5, 71:2, 78:16 terms 115:2, 115:7 terribly 59:10 territory 39:22, 40:1, 54:21, 62:3, 62:7, 62:9, 62:19 test 106:16, 106:19, 106:21, 107:17, 108:13, 108:15, 109:12, 110:7 testified 5:5, 47:5 testify 47:6 testimony 45:22, 47:1, 93:19, 93:22, 122:4, 122:6, 123:5, 123:6 testing 98:16, 100:11, 101:3, 103:16, 103:19, 103:20, 108:20, 109:7, 109:13, 110:5, 110:7, 110:17, 110:19, 111:3 tests 108:2 texas 72:19, 102:5 textbook 44:14, 44:15,	45:4 th 2:4, 3:7 thank 14:15, 15:9, 16:17, 46:20, 46:21, 47:18, 53:17, 55:20, 58:20, 76:22, 77:6, 81:22 thanks 11:22, 78:21 that'd 33:19, 53:15 theme 29:11 themselves 25:22 therapeutic 7:7, 84:2, 103:7 therapies 7:1 therapy 32:17, 96:8, 100:18 thereafter 123:7 therefore 51:14, 58:21, 63:14, 67:9, 100:12, 103:21, 114:15 thicker 64:16 thickness 64:19, 66:3, 67:21 thin 64:5, 91:10 thing 18:9, 29:12, 29:14, 35:20, 36:9, 39:1, 40:14, 41:1, 47:3, 49:21, 59:5, 67:9, 70:4, 104:11,
<hr/> T <hr/>			
taheri 25:18 tailor 89:12 take 6:10, 6:11, 11:3, 16:17, 29:4, 29:5, 38:13, 45:10, 49:17, 49:18, 50:16, 53:18, 62:8, 76:17, 76:19, 86:14, 86:18, 93:12, 107:8, 115:21 taken 5:15, 23:9, 23:10, 115:13, 123:3, 123:6 takes 115:13			

106:13, 107:14, 108:4 things 25:7, 29:10, 33:21, 33:22, 42:7, 42:12, 48:17, 67:19, 70:18, 75:18, 79:22, 84:10, 85:5, 89:11, 91:19, 94:1, 97:2, 100:13, 102:1, 111:18 think 5:20, 9:19, 12:18, 12:20, 12:22, 21:11, 21:20, 23:1, 26:15, 30:8, 34:10, 35:8, 40:10, 47:5, 47:6, 48:18, 49:3, 51:7, 54:2, 54:11, 54:13, 54:14, 61:8, 70:9, 76:18, 77:16, 77:19, 77:21, 78:4, 78:17, 78:18, 79:11, 83:4, 84:19, 85:21, 88:19, 89:17, 90:12, 91:19, 104:17, 105:8, 107:16, 108:13, 111:9, 112:16, 113:11, 113:15, 114:21, 116:22, 117:15, 118:7, 121:3 thinner 64:6, 64:15 third 9:11, 99:22 thought 71:5, 105:18 thoughts 16:9, 17:3,	17:7, 17:9 thread 67:16 three 17:11, 25:6 threshold 87:17, 88:3 thresholded 89:9 throat 73:18 thrombectomy 87:14, 89:6 through 5:17, 7:5, 27:8, 28:2, 28:3, 31:10, 38:22, 39:5, 39:6, 39:7, 39:10, 39:18, 40:4, 41:20, 51:9, 62:5, 69:4, 69:8, 69:9, 85:6, 85:12, 93:4, 103:17, 104:12, 107:9 tics 96:10, 97:4, 98:10, 98:15, 103:14, 104:2, 105:19, 105:20, 106:5, 109:12, 110:4, 110:7, 110:18, 111:6, 120:20 till 5:19, 6:3 time 6:10, 7:17, 12:22, 14:5, 15:7, 18:13, 19:8, 19:17, 20:7, 22:11, 30:4, 30:9, 45:15, 52:19, 72:14, 72:16, 72:17, 72:19,	76:17, 81:19, 86:18, 88:4, 88:9, 88:10, 88:12, 103:17, 103:20, 107:8, 112:10 times 8:21, 10:20, 11:3, 13:2, 19:17, 20:12, 20:17, 64:2, 66:13, 67:6 tiny 68:9 tissue 19:4, 39:20, 40:21, 69:12, 90:7, 92:22 title 77:10, 96:4, 106:20 today 7:15, 7:18, 20:5, 94:2 today's 13:7, 94:15, 106:12 together 8:10, 14:7 token 50:7 told 21:9, 33:17, 52:11, 75:22 tomography 24:18, 25:4, 78:14 took 76:2 tool 51:1, 69:16, 88:11, 88:18, 91:13, 98:20, 108:7, 108:10, 109:4, 113:20, 114:2 tools 10:15, 22:7,	87:1, 87:6, 97:16, 97:17 top 16:11, 37:7, 99:5, 106:5 top-to-bottom 65:9 topic 13:11 torridon 2:3, 3:6 toward 17:12 towards 84:20, 94:9, 100:22, 109:5 traffic 61:14, 63:5 trainee 26:4, 26:6 training 23:14, 72:8 transcript 4:13, 46:8, 123:4 transcription 122:5 transit 30:3, 88:4 trauma 74:19 traumatic 10:4, 74:19 treat 22:5, 22:7, 24:9, 49:8, 93:8, 103:1 treated 24:13 treating 50:5 treatises 16:2 treatment 22:15, 42:12, 74:7, 87:21, 95:7, 100:12, 101:1, 101:4,
---	--	--	---

108:22 treatments 84:8, 119:3 triage 109:5, 110:13 trial 84:21, 85:2, 91:18, 92:1, 92:12 trials 92:5 trouble 99:11 true 56:18, 61:10, 75:18, 84:12, 111:9, 122:4, 123:4 truly 29:8 truthful 7:14 try 74:16 trying 39:16, 59:4, 95:10, 109:9 tumor 42:15, 42:16, 42:18, 43:2, 50:12, 70:18, 113:22, 118:4 tumors 42:12, 49:8, 50:6 turn 17:15, 44:2, 53:19, 78:21, 83:5, 86:21, 88:19, 91:18, 102:22, 104:16, 107:10, 109:16 two 21:2, 23:1, 35:11, 39:3, 54:18, 61:21, 74:7, 75:4, 75:7, 86:9,	95:9, 95:20, 112:20 two-year 23:10 type 18:21, 32:9, 49:12, 51:4, 51:11, 90:14, 99:3, 106:16 types 18:1, 48:17, 50:3, 52:21, 75:3, 78:9, 87:2, 87:7 typewriting 123:7 typical 18:21, 58:13 typically 64:17, 66:1, 67:18, 68:15, 74:2, 100:8 <hr/> U ucsf 51:16, 80:20 uh-huh 12:12, 24:6, 26:9, 31:3, 100:1, 102:6 ultrasound 49:19 uncommon 81:16, 81:17, 90:13 uncommonly 73:9 under 90:5, 123:7 understand 6:7, 7:8, 61:22, 72:11, 73:1, 73:6, 73:12, 76:4, 76:10, 85:10, 97:3, 98:2, 103:13, 111:13, 114:3, 120:16	understanding 25:8, 26:7, 30:6, 51:8, 51:10, 73:13, 73:21, 74:10, 75:2 understood 6:8, 25:5 undue 102:15 unfortunate 50:20, 50:21 unique 85:14 united 1:3, 28:14 university 12:11, 18:19, 23:15, 25:1, 72:16, 72:19, 75:12, 81:2, 81:3, 90:1, 102:5 unknown 14:8 unless 6:4, 38:5, 39:14 unquote 118:6 upper 61:14, 83:8, 83:10 usage 54:19, 80:2, 85:11 usc 31:22 use 7:3, 10:14, 17:11, 22:7, 29:4, 32:11, 33:5, 33:21, 41:3, 42:8, 42:9, 48:12, 48:22, 49:12, 50:6, 50:17, 50:19, 56:12,	56:14, 57:19, 68:1, 70:3, 78:16, 80:11, 82:22, 84:9, 85:19, 87:15, 87:16, 89:5, 89:13, 89:17, 89:19, 90:3, 91:1, 93:5, 103:12, 108:3, 109:4, 113:18, 113:20, 118:14, 118:17 useful 108:6 user 90:18 users 90:6 uses 83:22, 84:1, 84:14, 92:5 using 31:14, 49:13, 61:21, 64:10, 68:7, 74:11, 76:11, 83:11, 85:17, 88:1, 90:7, 92:18, 93:1, 96:9, 97:15, 98:9, 117:20, 120:20 usually 10:10, 19:1, 19:8, 20:21, 26:13, 30:17, 51:22, 53:9, 64:18, 74:13, 74:19, 80:3, 90:19, 100:6, 103:6, 114:14 utility 98:20 utilized 91:11 <hr/> V <hr/> valid 64:12
---	--	--	---

validation 103:9, 103:12 value 58:15 variability 89:14 variety 22:6, 27:19, 36:22, 39:19, 41:20, 89:4 vascular 31:6, 40:1, 41:7, 56:10, 59:10, 75:3, 116:20 vasculature 56:14 vecchione 3:13, 4:3, 5:7, 12:1, 14:13, 14:16, 14:21, 16:13, 16:16, 45:16, 45:20, 47:17, 82:15, 82:19, 83:14, 83:17, 83:19, 87:5, 87:10, 88:8, 93:11, 93:17, 94:22, 105:3, 105:8, 105:13, 109:20, 112:4, 112:9, 112:17, 113:11, 113:15, 116:9, 116:22, 121:2 vein 16:7, 19:7, 40:4 veins 37:21, 38:3, 38:15, 39:3, 40:5, 40:6, 40:8 venous 39:1, 39:7, 54:14, 57:3, 59:22, 61:7 ventricle 36:5, 40:13	verbal 24:12 verbally 25:14, 98:1 vermont 81:2 version 96:16 vessel 88:17, 88:21 vessels 31:1, 56:16, 57:14, 61:17, 62:17, 63:1, 63:19, 67:3 vice 73:6 view 10:14, 41:2, 56:6, 100:5 views 27:6 violation 33:20 virginia 3:17 visible 37:18 vitae 12:16 vof 57:4 volt 69:11 volume 19:4, 24:14, 60:13, 61:14, 62:2, 62:5, 62:8, 62:22, 82:21, 87:22, 88:2, 103:10 volumes 88:14, 88:15 volumetry 87:17 <hr/> W <hr/> wait 5:18, 6:3, 51:2	waiting 49:9 want 6:10, 11:20, 12:17, 27:21, 28:1, 28:16, 39:15, 46:11, 46:12, 58:2, 58:3, 65:18, 67:15, 67:16, 69:5, 84:10, 86:15, 86:21, 89:2, 93:12, 103:11, 105:9, 109:16, 115:2 wanted 43:14, 60:5 warm-up 25:16 warranted 98:16 washington 1:12, 2:6, 3:9, 12:11, 18:19, 25:1, 25:21, 75:12, 81:3, 89:21, 89:22 water 61:13 way 19:5, 19:10, 19:11, 30:7, 30:12, 42:1, 46:12, 50:4, 54:16, 58:11, 61:21, 66:16, 67:10, 74:21, 82:10, 115:11 we'll 14:2, 50:7, 73:18 we're 22:9, 38:17, 39:9, 58:4, 60:20, 60:21, 65:2, 65:19, 68:2, 68:8, 70:10, 72:2, 73:16, 74:12, 76:11, 84:18, 92:18, 102:7, 102:14, 102:15, 102:22, 103:1, 121:3 we've 9:19, 21:11, 22:6, 79:2, 79:11, 83:21, 108:13, 111:7 wedge 55:2 wefel 99:20, 100:10 welcome 46:21 weren't 93:1, 94:8 whatever 16:14, 65:5 whenever 6:12, 79:17 whereof 123:12 whether 8:12, 31:21, 47:5, 48:9, 49:18, 51:5, 51:8, 52:16, 70:16, 73:15, 74:15, 84:11, 86:3, 86:6, 87:21, 88:3, 89:9, 95:10, 102:12, 103:9, 110:10, 110:11, 111:21, 117:17 white 116:17 whole 62:6, 62:8 winn 44:16 within 16:7, 53:10 without 29:21, 49:9,
--	--	--

64:10, 70:13, 82:1, 85:12, 116:20 witness 7:9, 46:9, 47:9, 81:11, 83:18, 123:12 woman 107:7 wood 51:5 wool 108:2 word 57:5, 57:6, 57:19, 64:11, 91:1, 108:1 words 107:16, 118:14 work 23:22, 24:8, 46:9, 53:13, 73:3, 120:6 worked 73:20, 74:9 working 8:4, 119:15, 119:19 world 32:12 worth 102:2 wouldn't 20:18, 51:2, 56:19, 56:20, 69:18, 107:8, 111:6, 114:15, 114:17 wrap 55:1 writes 45:3 writing 21:10 written 13:2, 21:7, 26:4, 26:19, 47:7, 99:1	wrong 73:12 <hr/> Y <hr/> yale 51:15, 70:8, 73:2, 73:7, 74:8 yeah 17:17, 22:1, 34:6, 38:18, 44:7, 45:16, 47:3, 47:20, 48:4, 53:21, 60:15, 61:10, 77:19, 77:22, 79:1, 80:9, 81:11, 87:5, 91:8, 91:21, 92:3, 95:22, 96:6, 98:7, 99:1, 101:10, 101:19, 104:19, 106:1, 107:1, 118:17 year 12:9, 23:13, 86:16, 106:12 year-old 47:14 years 95:9, 101:12, 101:19, 101:22 yep 101:11, 109:21 youmans 44:16 young 1:22, 2:11, 123:2 yourself 41:18, 71:11 <hr/> Z <hr/> zip 107:13 <hr/> \$ <hr/> \$700 7:21	<hr/> 0 <hr/> 00 45:18 07 121:5 <hr/> 1 <hr/> 10 45:18, 45:19, 107:20 105 4:20 11 4:14, 45:19, 48:11, 53:19, 93:15, 93:16, 104:18, 112:7, 112:8, 113:12 1100 2:5, 3:8 12 1:14, 49:4, 49:6, 77:16, 81:9, 121:5 120 62:4, 65:7, 69:11 122 97:11 123 1:21 13 56:2 134 27:12, 29:17 14 4:15, 4:16, 71:8, 71:14, 71:18, 93:15, 123:22 140 69:11 15 94:19 16 65:5, 65:8, 65:22	160 62:4 17 2:4, 3:7, 25:13 18 11:1, 46:3, 47:13, 101:21 1998 86:17 <hr/> 2 <hr/> 2 37:15 20 65:5, 89:10, 119:12, 119:17 20006 2:6, 3:9 2016 101:20 2017 101:20 202 2:7, 3:10, 3:18 2024 25:13, 25:18 2025 1:13, 66:16, 66:19, 123:14 2029 123:22 21 31:21 22 25:18 22203 3:17 23 1:8 249 2:7, 3:10 25 8:22, 10:20, 48:1, 48:4 29 93:16 <hr/> 3 <hr/> 30 1:13, 89:10
---	---	---	--

300 3:16 396 31:22	83:18 801 2:4, 3:7 82 4:18 869 3:18	
4		
40 89:10 4250 3:16	9	
5	9 1:14 90015 1:8 94 4:19 95 63:5	
5-2 86:21, 87:5 5-5 90:4 51 112:7 510 27:17, 27:18, 28:3, 28:14, 28:15, 32:5, 86:19, 86:21 511 87:6, 90:4 5210 3:18 53 82:21 56 104:21 57 112:8 584243 1:20		
6		
60 19:13, 100:15 6900 2:7, 3:10		
7		
70 83:7 7th 123:13		
8		
80 83:6, 83:8,		